

EXHIBIT A

Confidential - Subject to Protective Order

Page 294

IN THE UNITED STATES DISTRICT COURT
FOR THE SOUTHERN DISTRICT OF WEST VIRGINIA
CHARLESTON DIVISION

- - -

IN RE: ETHICON, INC. PELVIC :MDL NO. 2327
REPAIR SYSTEM, PRODUCTS :
LIABILITY LITIGATION :VOLUME II
:

THIS DOCUMENT RELATES TO ALL CASES AND
VARIOUS OTHER CROSS-NOTICED ACTIONS

CONFIDENTIAL - SUBJECT TO PROTECTIVE ORDER

- - -

January 8, 2014

- - -

Transcript of the continued deposition of
THOMAS A. BARBOLT, Ph.D., called for Videotaped
Examination in the above-captioned matter, said
deposition taken pursuant to Superior Court Rules of
Practice and Procedure by and before Michelle L.
Gray, a Certified Court Reporter, Registered
Professional Reporter, and Notary Public, at the
offices of Riker Danzig Scherer Hyland & Perretti
LLP, Headquarters Plaza, One Speedwell Avenue,
Morristown, New Jersey, commencing at 9:07 a.m.

- - -

GOLKOW TECHNOLOGIES, INC.
877.370.3377 ph| 917.951.5672 fax
deps@golkow.com

Confidential - Subject to Protective Order

Page 295	Page 297
<div>1 APPEARANCES:</div> <div>2</div> <div>3 AYLSTOCK, WITKIN, KREIS & OVERHOLTZ, PLLC</div> <div>4 BY: DANIEL THORNBURGH, ESQUIRE</div> <div>5 17 East Main Street, Suite 200</div> <div>6 Pensacola, Florida 32502</div> <div>7 (850) 202-1010</div> <div>8 dthornburgh@awkolaw.com</div> <div>9 Representing the Plaintiffs</div> <div>10</div> <div>11 THOMAS, COMBS & SPANN, PLLC</div> <div>12 BY: DAVID B. THOMAS, ESQUIRE</div> <div>13 BY: PHILIP J. COMBS, ESQUIRE</div> <div>14 300 Summers Street, Suite 1380</div> <div>15 Charleston, West Virginia 25301</div> <div>16 (304) 414-1805</div> <div>17 dthomas@tcspllc.com</div> <div>18 pcombs@tcspllc.com</div> <div>19 Appearing on behalf of the Defendants; Ethicon,</div> <div>20 Inc.; Ethicon Women's Health and Urology, a Division</div> <div>21 of Ethicon, Inc.; Gynecare; and Johnson & Johnson</div> <div>22</div> <div>23</div> <div>24</div> <div>25</div>	<div>1 - - -</div> <div>2 I N D E X</div> <div>3 - - -</div> <div>4</div> <div>5 Testimony of: THOMAS A. BARBOLT, Ph.D.</div> <div>6 By Mr. Thornburgh 304, 630</div> <div>7 By Mr. Thomas 557</div> <div>8</div> <div>9 - - -</div> <div>10 E X H I B I T S (Cont'd.)</div> <div>11 - - -</div> <div>12</div> <div>13 NO. DESCRIPTION PAGE</div> <div>14 T-2248 Binder Titled 307</div> <div>15 IFU-1 Animal Studies</div> <div>16 Volume I</div> <div>17 Tabs 1-32</div> <div>18</div> <div>19 T-2249 Binder Titled 307</div> <div>20 IFU-1 Animal Studies</div> <div>21 Volume I</div> <div>22 Tabs 33-44</div> <div>23</div> <div>24</div> <div>25</div>
Page 296	Page 298
<div>1 APPEARANCES VIA TELEPHONE:</div> <div>2</div> <div>3 AYLSTOCK, WITKIN, KREIS & OVERHOLTZ, PLLC</div> <div>4 BY: BOBBY J. (BRAD) BRADFORD, ESQUIRE</div> <div>5 BY: D. RENÉE BAGGETT, ESQUIRE</div> <div>6 17 East Main Street, Suite 200</div> <div>7 Pensacola, Florida 32502</div> <div>8 (850) 202-1010</div> <div>9 rbaggett@awkolaw.com</div> <div>10 Representing the Plaintiffs</div> <div>11</div> <div>12 FREEARK, HARVEY & MENDILLO, P.C.</div> <div>13 BY: RANSOM P. WULLER, ESQUIRE</div> <div>14 115 West Washington Street</div> <div>15 Belleville, Illinois 62222</div> <div>16 (618) 233-2686</div> <div>17 rwuller@freeark.com</div> <div>18 Representing Heartland Women's Healthcare,</div> <div>19 Ltd., and Dr. Elisabeth G. Beyer-Nolen</div> <div>20</div> <div>21 BONNE, BRIDGES, MUELLER, O'KEEFE & NICHOLS</div> <div>22 BY: SUSAN FAYE L. FRANCISCO, ESQUIRE</div> <div>23 3699 Wilshire Boulevard, 10th Floor</div> <div>24 Los Angeles, California 90010</div> <div>25 (213) 738-5842</div> <div>sfrancisco@bonnebridges.com</div> <div>Representing Gerald Thorpe, M.D.; Keller vs.</div> <div>Siddighi, et al., San Bernardino County Superior</div> <div>Court, case No. CIVDS1307951</div> <div>VIDEOTAPE TECHNICIAN:</div> <div>Lee Bittman</div> <div>TRIAL TECHNICIAN:</div> <div>Michael Andrews</div> <div>- - -</div>	<div>1 - - -</div> <div>2 E X H I B I T S (Cont'd.)</div> <div>3 - - -</div> <div>4</div> <div>5 NO. DESCRIPTION PAGE</div> <div>6 T-2250 Critical Reviews 350</div> <div>7 In Biocompatibility</div> <div>8 Volume I, Issue 3</div> <div>9 1985</div> <div>10 ETH.MESH.10575391-453</div> <div>11</div> <div>12 T-2251 Long-Term Comparative 378</div> <div>13 Study of Nonabsorbable</div> <div>14 Sutures</div> <div>15 (Postlethwait)</div> <div>16 ETH.MESH.10575759-64</div> <div>17</div> <div>18 T-2252 8/10/90 391</div> <div>19 Ten Year In Vivo Suture</div> <div>20 Study Scanning Electron</div> <div>21 Microscopy Five Year Report</div> <div>22 ETH.MESH.111336474-87</div> <div>23</div> <div>24</div> <div>25</div>

2 (Pages 295 to 298)

Confidential - Subject to Protective Order

Page 299				Page 301			
1	- - -			1	- - -		
2	E X H I B I T S (Cont'd.)			2	E X H I B I T S (Cont'd.)		
3	- - -			3	- - -		
4				4			
5	NO.	DESCRIPTION	PAGE	5	NO.	DESCRIPTION	PAGE
6	T-2253	10/15/92	403	6	T-2259	E-mail Thread	514
7		Seven Year Data for Ten		7		3/2/04	
8		Year Prolene Study: ERF-85-219		8		Subject, Reminder on Blue	
9		ETH.MESH.11336034-70		9		Mesh!	
10				10		ETH.MESH.00865322-23	
11	T-2254	ERF Accession No. 83-477	466	11			
12		Project No. 16104		12	T-2260	An Independent	531
13		Summary		13		Biomechanical Evaluation	
14		ETH.MESH.10645237-42		14		of Commercially Available	
15				15		Suburethral Slings	
16	T-2255	E-mail Thread	508	16		(Pariente)	
17		2/27/04		17		ETH.MESH.01221055-58	
18		Subject, Mesh		18			
19		ETH.MESH.00863391-93		19	T-2261	LCM Project, Photographs	541
20				20		Comparing Laser Cut Mesh	
21				21		vs. Mechanical Cut Mesh	
22				22			
23				23	T-2262	Deposition Subject Matter	548
24				24			
25				25			
Page 300				Page 302			
1	- - -			1	- - -		
2	E X H I B I T S (Cont'd.)			2	E X H I B I T S (Cont'd.)		
3	- - -			3	- - -		
4				4			
5	NO.	DESCRIPTION	PAGE	5	NO.	DESCRIPTION	PAGE
6	T-2256	E-mail Thread	511	6	T-263	Binder Titled, Seven	617
7		11/12/04		7		Year Dog Study	
8		Subject, TR: Mesh		8			
9		Fraying: Dr. Eberhard		9	T-264	10/15/92	618
10		Letter		10		Seven Year Data for	
11		ETH.MESH.02180826-27		11		Ten Year Prolene Study:	
12				12		ERF: 85-219	
13	T-2257	Telefax, 11/10/04	513	13		ETH.MESH.09888187-223	
14		Letter from Eberhard		14			
15		In German		15	T-2265	Copies of Pages	649
16		ETH.MESH.02180828-30		16		From Lab Notebook	
17				17		9/22/87	
18	T-2258	Translation of Eberhard	513	18		DEPO.ETH.MESH.00000367-68	
19		Letter of 10/18/04		19			
20		ETH.MESH.02180833		20		- - -	
21				21			
22				22			
23				23			
24				24			
25				25			

3 (Pages 299 to 302)

Confidential - Subject to Protective Order

Page 303	Page 305
<p>1 - - -</p> <p>2 DEPOSITION SUPPORT INDEX</p> <p>3 - - -</p> <p>4</p> <p>5 Direction to Witness Not to Answer</p> <p>6 PAGE LINE</p> <p>7 None</p> <p>8 Request for Production of Documents</p> <p>9 PAGE LINE</p> <p>10 423 3</p> <p>11 Stipulations</p> <p>12 PAGE LINE</p> <p>13 None</p> <p>14 Questions Marked</p> <p>15 PAGE LINE</p> <p>16 None</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p>	<p>1 within the IFU, that you were designated as a</p> <p>2 witness to discuss.</p> <p>3 Do you recall that IFU statement?</p> <p>4 A. Yes.</p> <p>5 Q. Go ahead and take out Exhibit</p> <p>6 Number 2246, which is the IFU that we marked</p> <p>7 yesterday.</p> <p>8 THE VIDEOGRAPHER: Off the record.</p> <p>9 (Brief pause.)</p> <p>10 THE VIDEOGRAPHER: Back on the video</p> <p>11 record, 9:10.</p> <p>12 BY MR. THORNBURGH:</p> <p>13 Q. Doctor, do you have Exhibit</p> <p>14 Number 2246?</p> <p>15 A. Yes.</p> <p>16 Q. And do you recall that you had a</p> <p>17 discussion yesterday regarding this claim in the</p> <p>18 IFU?</p> <p>19 The first claim is: Animal studies</p> <p>20 show the implantation of Prolene mesh elicits a</p> <p>21 minimal inflammatory reaction in tissues, which is</p> <p>22 transient, and can -- and is followed by the</p> <p>23 deposition of a thin fibrous layer of tissue, which</p> <p>24 can grow through the interstices of the mesh, thus</p> <p>25 incorporating the mesh into the adjacent tissue.</p>
Page 304	Page 306
<p>1 - - -</p> <p>2 THE VIDEOGRAPHER: We're now on the</p> <p>3 record.</p> <p>4 Today is January 8, Year 2014. It's</p> <p>5 9:07 a.m.</p> <p>6 This begins Volume 2, Tape Number 1</p> <p>7 of the videotape deposition of Dr. Thomas A.</p> <p>8 Barbolt.</p> <p>9 Please proceed.</p> <p>10 - - -</p> <p>11 ... THOMAS A. BARBOLT, Ph.D., having</p> <p>12 been previously sworn, was examined and testified as</p> <p>13 follows:</p> <p>14 - - -</p> <p>15 CONTINUED EXAMINATION</p> <p>16 - - -</p> <p>17 BY MR. THORNBURGH:</p> <p>18 Q. Good morning, Doctor.</p> <p>19 A. Good morning.</p> <p>20 Q. How are you doing this morning?</p> <p>21 A. Very good.</p> <p>22 Q. Another cold day in New Jersey?</p> <p>23 A. It will change.</p> <p>24 Q. Doctor, we talked a little bit about</p> <p>25 the IFU yesterday, and a statement that you were --</p>	<p>1 Do you recall that?</p> <p>2 A. Yes.</p> <p>3 Q. From yesterday, right?</p> <p>4 A. Yes.</p> <p>5 Q. And you had identified in</p> <p>6 Exhibit 2241 a list of -- I believe it was -- I'm</p> <p>7 sorry. Maybe we didn't mark it yesterday -- the IFU</p> <p>8 binder that you have in front of you.</p> <p>9 Let's go ahead and mark both of those</p> <p>10 binders as exhibits.</p> <p>11 We'll mark the first one as Exhibit</p> <p>12 Number 2248.</p> <p>13 MR. THOMAS: Do you mind if I</p> <p>14 identify the volumes?</p> <p>15 MR. THORNBURGH: Go ahead.</p> <p>16 (Whereupon, a discussion was held off</p> <p>17 the record.)</p> <p>18 MR. THOMAS: For the record, Volume 1</p> <p>19 of the documents that have been provided to the</p> <p>20 plaintiffs in response to the notice of deposition</p> <p>21 for the language in the information for use just</p> <p>22 identified by counsel.</p> <p>23 Exhibit 2248 is Volume 1, which</p> <p>24 contains Tabs 1 through 32 of those documents.</p> <p>25 (Whereupon, a discussion was held off</p>

4 (Pages 303 to 306)

Confidential - Subject to Protective Order

Page 307	Page 309
<p>1 the record.)</p> <p>2 MR. THOMAS: Exhibit 2249 is Volume 2</p> <p>3 of the studies which are responsive to the 30(b)(6)</p> <p>4 topic just discussed by counsel. And these are</p> <p>5 Tabs 33 through 34 produced by Ethicon and as</p> <p>6 documents upon which Dr. Barbolt relies in support</p> <p>7 of that designation.</p> <p>8 (Document marked for identification</p> <p>9 as Exhibit T-2248.)</p> <p>10 (Document marked for identification</p> <p>11 as Exhibit T-2249.)</p> <p>12 BY MR. THORNBURGH:</p> <p>13 Q. Okay. Now, Doctor, do you agree with</p> <p>14 me that this claim in the IFU says that animal</p> <p>15 studies show the implantation of Prolene mesh</p> <p>16 elicits a minimal inflammatory reaction in tissues</p> <p>17 which is transient. Right?</p> <p>18 A. Yes.</p> <p>19 Q. And it discusses in the first</p> <p>20 sentence, first part of that sentence, that the</p> <p>21 animal studies relate to Prolene mesh. Correct?</p> <p>22 A. Yes.</p> <p>23 Q. Okay. And in the -- in the documents</p> <p>24 that you submitted or the list that you submitted as</p> <p>25 part of exhibits numbered 2248 and 2249, the vast</p>	<p>1 Q. Okay. And that study is related to</p> <p>2 sutures, right?</p> <p>3 A. Yes.</p> <p>4 Q. Suture packed with permeable labels.</p> <p>5 I assume that's a study, but that's a suture study,</p> <p>6 correct?</p> <p>7 A. Yes.</p> <p>8 Q. The next one is a epoxy-tipped nylon</p> <p>9 and Prolene biological evaluation.</p> <p>10 That's also a suture document, isn't</p> <p>11 it?</p> <p>12 A. Yes.</p> <p>13 Q. The next tab in your notebook,</p> <p>14 excerpt from NDA 1634, that's just a repeat of</p> <p>15 what's up here, it appears, but from 1973, right,</p> <p>16 also suture?</p> <p>17 MR. THOMAS: Object to the form of</p> <p>18 the question.</p> <p>19 THE WITNESS: Yeah. It's a different</p> <p>20 version.</p> <p>21 BY MR. THORNBURGH:</p> <p>22 Q. A different version, but updated</p> <p>23 version from 1973 related to sutures, correct?</p> <p>24 A. Yes, that's correct.</p> <p>25 Q. The next document is the Prolene mesh</p>
Page 308	Page 310
<p>1 majority of those are suture studies, right?</p> <p>2 A. There are some suture studies in that</p> <p>3 list.</p> <p>4 Q. Well, I said vast majority of those</p> <p>5 are suture studies, right?</p> <p>6 A. I didn't make that assessment.</p> <p>7 Q. Okay. Well, let's look at it real</p> <p>8 quick.</p> <p>9 Your Tab Number 1 in Exhibit 2248 is</p> <p>10 a suture study, correct?</p> <p>11 A. Yes.</p> <p>12 Q. Tab 2 is a suture study, correct?</p> <p>13 A. Yes.</p> <p>14 Q. Tab 3 is a suture study, correct?</p> <p>15 A. Yes.</p> <p>16 Q. Tab 4 is a suture study, correct?</p> <p>17 A. Yes.</p> <p>18 Q. Tab 5, it says excerpt from NDA</p> <p>19 16374, package insert, labeling approved 1969.</p> <p>20 That's also a suture NDA, correct?</p> <p>21 A. Yes.</p> <p>22 Q. The Postlethwait study that you have</p> <p>23 listed here isn't a study that you conducted, right?</p> <p>24 A. This is a study from the open</p> <p>25 literature.</p>	<p>1 biological evaluation in rabbits, which is from</p> <p>2 1973, which is the study that we ended talking about</p> <p>3 from yesterday, correct?</p> <p>4 A. Yes.</p> <p>5 Q. And in that study, it showed that</p> <p>6 there was chronic inflammation seen in all rats --</p> <p>7 in all rabbits in that study at the end period of</p> <p>8 that study, at day 28, correct?</p> <p>9 A. I would have to look at the specifics</p> <p>10 there, but there was the record of chronic</p> <p>11 inflammation in some rabbits at the 28-day time</p> <p>12 point.</p> <p>13 Q. And, by the way, that rabbit study</p> <p>14 that you did that formed the basis of the claim in</p> <p>15 the IFU was a short-term study, correct?</p> <p>16 MR. THOMAS: Object to the form of</p> <p>17 the question.</p> <p>18 THE WITNESS: It's a 28-day study.</p> <p>19 BY MR. THORNBURGH:</p> <p>20 Q. That's considered in the laboratory</p> <p>21 science field to be a short-term study, tissue</p> <p>22 reaction study, correct?</p> <p>23 MR. THOMAS: Objection.</p> <p>24 THE WITNESS: Yes.</p> <p>25 BY MR. THORNBURGH:</p>

5 (Pages 307 to 310)

Confidential - Subject to Protective Order

Page 311	Page 313
<p>1 Q. The next study that you have listed</p> <p>2 in that binder is a Prolene polypropylene suture</p> <p>3 tissue response. That's another suture study,</p> <p>4 correct?</p> <p>5 A. Yes.</p> <p>6 Q. The following study is a suture</p> <p>7 study, correct?</p> <p>8 A. Yes.</p> <p>9 Q. Then there's another publication from</p> <p>10 Postlethwait, which is also related to sutures,</p> <p>11 correct?</p> <p>12 A. Well, I see Tab 14 is not the</p> <p>13 Postlethwait. That is the next one in the list.</p> <p>14 Q. Well, Tab 14 is suture. Tab 15 is</p> <p>15 suture, right?</p> <p>16 A. Yes.</p> <p>17 Q. Tab 16, Salthouse, that's a former</p> <p>18 employee of Ethicon, isn't it?</p> <p>19 A. What was that? Tab 15?</p> <p>20 Q. Yep.</p> <p>21 A. Tab 15?</p> <p>22 Q. The tab after Postlethwait.</p> <p>23 A. Tab 14.</p> <p>24 Q. You said it was 15 a moment ago.</p> <p>25 Let's go ahead and mark that as 14.</p>	<p>1 A. Yes.</p> <p>2 Q. And as the ladies and gentlemen can</p> <p>3 see, the document I am holding up, the remaining</p> <p>4 studies appear to be vast -- the vast majority of</p> <p>5 these studies are suture studies, right?</p> <p>6 MR. THOMAS: Object to the form of</p> <p>7 the question.</p> <p>8 BY MR. THORNBURGH:</p> <p>9 Q. Well, let's go through the exercise.</p> <p>10 Tab 16, Ethilon and Prolene ocular</p> <p>11 tissue response. That's suture, right?</p> <p>12 A. Yeah.</p> <p>13 Q. The next document listed here is</p> <p>14 another suture study, right?</p> <p>15 A. Yes.</p> <p>16 Q. The following study is another suture</p> <p>17 study, correct?</p> <p>18 A. Yes.</p> <p>19 Q. The following study, size 5-0 and</p> <p>20 zero Prolene cobalt and ethylene oxide sterilized,</p> <p>21 effects of sterilization on tissue reaction.</p> <p>22 That's -- is that -- that was not</p> <p>23 looking at mesh, was it?</p> <p>24 A. That's a suture study.</p> <p>25 Q. Right. And we're looking at the</p>
Page 312	Page 314
<p>1 Tab 15 is Salthouse, right?</p> <p>2 A. 14 is Salthouse.</p> <p>3 Q. Okay. Let's make sure we're on the</p> <p>4 same page here.</p> <p>5 Tab 14. Salthouse is a former</p> <p>6 employee of Ethicon, right?</p> <p>7 A. Yes, that's correct.</p> <p>8 Q. And that's also a suture study,</p> <p>9 correct?</p> <p>10 A. Yes.</p> <p>11 Q. Tab 15 is another suture study?</p> <p>12 A. Yes.</p> <p>13 Q. Now, we can go through all these. I</p> <p>14 don't want to waste anybody's time here, but you'd</p> <p>15 agree with me that the vast majority -- the</p> <p>16 overwhelming majority of these studies that you</p> <p>17 listed are suture studies, correct?</p> <p>18 MR. THOMAS: Objection to form.</p> <p>19 THE WITNESS: I wouldn't make that</p> <p>20 statement unless I've gone through the exercise that</p> <p>21 you're doing. If you've done that, then I have no</p> <p>22 reason to doubt -- to doubt your conclusion.</p> <p>23 BY MR. THORNBURGH:</p> <p>24 Q. Well, we know from Tab 1 through 15</p> <p>25 there's only one mesh-related study, right?</p>	<p>1 effects of EO, which is a sterility method, correct?</p> <p>2 A. Yes. It is a sterilization method.</p> <p>3 Q. The next study that you have listed</p> <p>4 here is another suture study that looked at Procol</p> <p>5 versus Lubrol, which are antioxidants, additives</p> <p>6 contained within the resin, correct?</p> <p>7 A. Yes.</p> <p>8 Q. Again, it's related to sutures,</p> <p>9 right?</p> <p>10 A. Yes.</p> <p>11 Q. Prolene -- the next study is another</p> <p>12 suture study, followed by another suture study.</p> <p>13 Now we are at the FDA</p> <p>14 reclassification of Prolene polypropylene</p> <p>15 non-absorbable sutures.</p> <p>16 That's related to sutures, right?</p> <p>17 A. That's correct.</p> <p>18 Q. The following study is a suture</p> <p>19 study, right?</p> <p>20 A. Yes.</p> <p>21 Q. Prolene polypropylene suture. That's</p> <p>22 another suture study, right?</p> <p>23 A. Yes.</p> <p>24 Q. Another suture study followed by</p> <p>25 that, right?</p>

6 (Pages 311 to 314)

Confidential - Subject to Protective Order

Page 315	Page 317
<p>1 A. Yes.</p> <p>2 Q. Now we're at the Prolene suture dyed</p> <p>3 size stability study, Number 749. That's clearly a</p> <p>4 suture study, right?</p> <p>5 A. Yes.</p> <p>6 Q. Followed by the 91-day ophthalmic</p> <p>7 tissue reaction study in rabbits.</p> <p>8 That's a suture study, right?</p> <p>9 A. Yes.</p> <p>10 Q. Followed by a one-month dural tissue</p> <p>11 reaction study of dyed NGP. That's a suture study,</p> <p>12 right?</p> <p>13 A. Yes.</p> <p>14 Q. 182, intramuscular tissue reaction</p> <p>15 study in rats is a suture study, right?</p> <p>16 A. Yes.</p> <p>17 Q. Followed by six-month dural tissue</p> <p>18 reaction absorption efficacy study of ETHISORB,</p> <p>19 which isn't even Prolene, is it?</p> <p>20 A. That is a Dormier substitute for</p> <p>21 ETHISORB. This is the material that is part of</p> <p>22 TVT-S.</p> <p>23 Q. It's not -- my question is very</p> <p>24 specific. Okay? It's a yes or no question.</p> <p>25 ETHISORB is not Prolene, is it?</p>	<p>1 MR. THOMAS: Object to the form of</p> <p>2 the question.</p> <p>3 THE WITNESS: To look at the tissue</p> <p>4 reaction, integration, and response.</p> <p>5 BY MR. THORNBURGH:</p> <p>6 Q. Well, it was looking at -- the</p> <p>7 specific endpoint in that study was looking at --</p> <p>8 for necrosis to determine if the Prolene in the TVT</p> <p>9 was cytotoxic.</p> <p>10 MR. THOMAS: Object.</p> <p>11 BY MR. THORNBURGH:</p> <p>12 Q. Right?</p> <p>13 MR. THOMAS: Objection to form.</p> <p>14 THE WITNESS: That's one of the</p> <p>15 endpoints of that study.</p> <p>16 BY MR. THORNBURGH:</p> <p>17 Q. Do you have that study with you?</p> <p>18 A. Of course.</p> <p>19 Q. All right. Why don't you pull it out</p> <p>20 and read what the purpose of that study was.</p> <p>21 It should be in Tab 2 of your IFU.</p> <p>22 A. I'll go to Tab 32 of my list of</p> <p>23 studies.</p> <p>24 Q. I meant to say Volume 2.</p> <p>25 A. I am looking on ETH.MESH.05315244,</p>
Page 316	Page 318
<p>1 A. That's correct.</p> <p>2 Q. Then you have a 28-day intramuscular</p> <p>3 tissue reaction study in rats with polypropylene</p> <p>4 mesh from the TVT device.</p> <p>5 That is a study we looked at</p> <p>6 yesterday that showed a moderate inflammatory</p> <p>7 response that was chronic, right?</p> <p>8 MR. THOMAS: Objection to form of the</p> <p>9 question.</p> <p>10 BY MR. THORNBURGH:</p> <p>11 Q. I think it was described as a mild to</p> <p>12 moderate inflammatory response, which was chronic,</p> <p>13 correct?</p> <p>14 MR. THOMAS: Object to the form of</p> <p>15 the question.</p> <p>16 THE WITNESS: I think you're thinking</p> <p>17 of the autoclave study that we discussed</p> <p>18 yesterday --</p> <p>19 BY MR. THORNBURGH:</p> <p>20 Q. I'm sorry. I thought that's what we</p> <p>21 were looking at here.</p> <p>22 So 28-day intramuscular tissue</p> <p>23 reaction study that we discussed briefly yesterday,</p> <p>24 that was a study to look at the cytotoxic effect of</p> <p>25 polypropylene, right?</p>	<p>1 the protocol. The purpose of the protocol. The</p> <p>2 purpose of the study. The purpose of the study is</p> <p>3 to assess the tissue reaction of polypropylene mesh</p> <p>4 from the TVT (Ulmsten) device when implanted in rat</p> <p>5 gluteal muscle for up to 28 days and to compare this</p> <p>6 reaction to that elicited by current production</p> <p>7 Prolene polypropylene mesh.</p> <p>8 Q. And you recall that that study was</p> <p>9 conducted after the TVT device tested severely</p> <p>10 cytotoxic by one of your laboratories in Ohio,</p> <p>11 right?</p> <p>12 MR. THOMAS: Object to the form of</p> <p>13 the question.</p> <p>14 THE WITNESS: To clarify, this study</p> <p>15 was conducted after an in vitro cytotoxicity test</p> <p>16 that showed -- in fact, there were two studies. One</p> <p>17 showed a moderate in vitro cytotoxicity, and the</p> <p>18 other showed severe in vitro cytotoxicity.</p> <p>19 BY MR. THORNBURGH:</p> <p>20 Q. So the reason that you had decided to</p> <p>21 conduct the study is to look at the in vivo</p> <p>22 cytotoxicity of the TVT device, correct?</p> <p>23 A. Well, I just read the purpose of this</p> <p>24 experiment.</p> <p>25 Q. Doctor, I don't -- Doctor, I mean --</p>

7 (Pages 315 to 318)

Confidential - Subject to Protective Order

Page 319	Page 321
<p>1 MR. THOMAS: Let him answer the</p> <p>2 question, please, Dan.</p> <p>3 MR. THORNBURGH: Well, he's not</p> <p>4 answering the question.</p> <p>5 MR. THOMAS: Yes, he is.</p> <p>6 MR. THORNBURGH: He knows the answer.</p> <p>7 He's not being straightforward with the jury.</p> <p>8 The reason that -- the reason why you</p> <p>9 have --</p> <p>10 MR. THOMAS: Stop just a minute.</p> <p>11 Stop just a minute. Just a minute.</p> <p>12 You're not going to characterize the</p> <p>13 witness's testimony for the jury or anybody. You</p> <p>14 can ask him questions.</p> <p>15 MR. THORNBURGH: You can move to</p> <p>16 strike.</p> <p>17 MR. THOMAS: If you --</p> <p>18 BY MR. THORNBURGH:</p> <p>19 Q. Doctor -- Doctor, you know. You are</p> <p>20 the -- you were the investigator at Ethicon who</p> <p>21 ordered that this study be conducted, right?</p> <p>22 A. Yes.</p> <p>23 Q. And you did it for the purpose of</p> <p>24 showing that the TVT device is not cytotoxic in</p> <p>25 vivo. That was the reason why you did it, right?</p>	<p>1 language was already in the IFU?</p> <p>2 A. Yes. By 2000 that language was</p> <p>3 already in the IFU.</p> <p>4 Q. And the purpose of that study was to</p> <p>5 look at -- to see if the -- if Triclosan increased</p> <p>6 the inflammatory response in tissue, right?</p> <p>7 A. Yes.</p> <p>8 Q. The ISO intracutaneous reactivity</p> <p>9 test in rabbits of Vypro mesh, Vypro Prolene</p> <p>10 composite, September 25, 2000 -- 2000, that was a --</p> <p>11 that was a study that was -- well, do you know what</p> <p>12 the pore size of that Vypro Prolene composite was?</p> <p>13 MR. THOMAS: Object to the form of</p> <p>14 the question.</p> <p>15 THE WITNESS: I could determine that</p> <p>16 by looking at the document, but I think it would be</p> <p>17 considered a large pore mesh.</p> <p>18 BY MR. THORNBURGH:</p> <p>19 Q. Larger pores than are contained</p> <p>20 within the Prolene TVT, correct?</p> <p>21 A. Yes.</p> <p>22 Q. The next study is an exploratory</p> <p>23 91-day tissue reaction study -- let me make sure I</p> <p>24 got it right -- tissue reaction study in</p> <p>25 polypropylene-based surgical mesh in rats dated</p>
Page 320	Page 322
<p>1 A. The purpose of this study is as</p> <p>2 stated in the protocol, which is the overall</p> <p>3 direction of the study. And that purpose was to</p> <p>4 assess the tissue reaction of polypropylene mesh</p> <p>5 from TVT when implanted in rat gluteal muscle for up</p> <p>6 to 28 days.</p> <p>7 Q. Were you not trying to determine</p> <p>8 whether or not the TVT device was cytotoxic in vivo</p> <p>9 in this study?</p> <p>10 A. Any in vivo cytotoxicity related to</p> <p>11 TVT mesh would have been revealed during the conduct</p> <p>12 of this study in response to the purpose to the</p> <p>13 study.</p> <p>14 Q. Another short-term study, correct, by</p> <p>15 definition in the laboratory scientific community?</p> <p>16 A. This is a short-term experiment.</p> <p>17 Q. Then you have the 182 intramuscular</p> <p>18 tissue reaction study in rats using polypropylene</p> <p>19 mesh with Triclosan.</p> <p>20 That was after that statement had</p> <p>21 already been included in the IFU label, right?</p> <p>22 After the statement -- after the</p> <p>23 statement that animal studies show the implantation</p> <p>24 of Prolene mesh elicits a minimal inflammatory</p> <p>25 reaction in tissue which is transient, right? That</p>	<p>1 2001, right?</p> <p>2 A. Yes.</p> <p>3 Q. After that language was already</p> <p>4 contained in the IFU, right?</p> <p>5 A. Yes.</p> <p>6 Q. And, also, not a GLP study, was it?</p> <p>7 A. That's correct.</p> <p>8 Q. Not a good laboratory practices</p> <p>9 study, correct?</p> <p>10 A. It should be differentiated from a</p> <p>11 FDA GLP study, which is in compliance with federal</p> <p>12 regulations.</p> <p>13 All other non-GLP studies conducted</p> <p>14 at Ethicon are done in the spirit of GLP and are</p> <p>15 conducted in every manner like a GLP study, except</p> <p>16 for quality assurance unit oversight.</p> <p>17 There's the -- following of the same</p> <p>18 SOPs, the same policies and procedures are applied,</p> <p>19 and the study is conducted as it would be under GLP</p> <p>20 other than quality assurance unit oversight.</p> <p>21 Q. The next study you have listed there</p> <p>22 is a 28-day tissue reaction study of Prolene</p> <p>23 polypropylene mesh and autoclave Prolene</p> <p>24 polypropylene mesh implanted intramuscularly. We</p> <p>25 looked at that study yesterday. And that study,</p>

8 (Pages 319 to 322)

Confidential - Subject to Protective Order

Page 323	Page 325
<p>1 also a short-term study, showed up to a moderate 2 inflammatory response, correct? 3 MR. THOMAS: Object to the form of 4 the question. 5 THE WITNESS: Yes. It was up to 6 moderate with an average of mild. 7 BY MR. THORNBURGH: 8 Q. It was mild to moderate, correct? 9 That was the summary in the study? 10 MR. THOMAS: Object to the form of 11 the question. 12 THE WITNESS: I recall it was -- we 13 can check. I recall it was minimal to mild. Let me 14 just look at that quickly. 15 Tab 36. 16 In that summary, then, the reaction 17 was typical for implanted Prolene mesh and consisted 18 of an initial mild to moderate subacute inflammation 19 which gradually changed with time into a minimal to 20 moderate chronic form body reaction. 21 BY MR. THORNBURGH: 22 Q. The histological evaluation in 23 comparison to mechanical pullout strength of Prolene 24 mesh and Prolene Soft mesh in a rabbit model. 25 That's dated 2002, right?</p>	<p>1 A. This would be considered relatively 2 large pore size. 3 Q. Larger than the pores in the TVT, 4 correct? 5 A. Yes. 6 Q. A three-month preclinical trial to 7 assess the fixation force of a new TVT-X and a sheep 8 model. That was, I think, a 12-week study, right? 9 A. It says three months. 10 Tab Number 40. 11 Q. Yeah. It would be a short-term 12 study, wouldn't it? 13 A. That would be considered a subchronic 14 or mid-term study. 15 Q. Not a long-term study, correct? 16 A. That's correct. 17 Q. And the primary endpoint in that 18 study was to look at the pullout force, correct? 19 A. Let me just take a look at 40. I 20 think there were other endpoints. 21 Q. Right, but the primary endpoint was 22 to look at the pullout force. 23 A. Well, I'll confirm in a moment. 24 Q. By the way, did you ever find the 25 pathology report related to this study?</p>
Page 324	Page 326
<p>1 A. Yes. 2 Q. How many -- how many days or weeks 3 was that study? 4 A. Let me confirm. 5 That would be Tab 37. 6 That study was out to 14 days. 7 Implantation. 8 Q. So, clearly, a short-term study, 9 correct? 10 A. Yes. 11 Q. You have a 90-day subchronic toxicity 12 study after intraperitoneal implantation of a 13 laminated composite composed of soft Prolene mesh 14 PDS film and INTERCEED fabric. 15 That's not TVT mesh, is it? 16 A. No. 17 Q. A 24-week intramuscular study in rats 18 comparing trilaminar prototype from Project Coyote 19 of soft Prolene polypropylene mesh, that's clearly 20 not TVT, is it? 21 A. That's just another variant of 22 Prolene polypropylene mesh. 23 Q. It's not TVT, is it? 24 A. No. 25 Q. What is the pore size?</p>	<p>1 MR. THOMAS: Object to the form of 2 the question. 3 THE WITNESS: We're still looking for 4 that. 5 BY MR. THORNBURGH: 6 Q. Did you inquire about the lost slides 7 yesterday? 8 MR. THOMAS: Object to the form of 9 the question. 10 THE WITNESS: No. 11 BY MR. THORNBURGH: 12 Q. Did you inquire with anybody whether 13 or not -- 14 A. Can I answer the question of a couple 15 ago, and then we can move forward? 16 Q. Sure. I think my question was -- 17 MR. THOMAS: Excuse me. He's looking 18 for the primary endpoint. 19 MR. THORNBURGH: I am trying to 20 refresh his memory. 21 MR. THOMAS: If he -- he's looking 22 right now. If you want to ask him a different 23 question -- 24 MR. THORNBURGH: I was going to 25 remind him that my question related to the primary</p>

Confidential - Subject to Protective Order

Page 327	Page 329
<p>1 endpoint of the study, Dave.</p> <p>2 MR. THOMAS: Please, Dan. This is</p> <p>3 going to be a long day, and you're very contentious</p> <p>4 with the witness and with me this morning. I</p> <p>5 understand we didn't end on the best of terms</p> <p>6 yesterday. Excuse me --</p> <p>7 MR. THORNBURGH: I am being at my</p> <p>8 best behavior right now.</p> <p>9 MR. THOMAS: Well, please. Just slow</p> <p>10 down. Let the witness answer the question, and let</p> <p>11 him finish his answer before you ask another one.</p> <p>12 That's what he's doing right now.</p> <p>13 THE WITNESS: The aim of this</p> <p>14 preclinical study was to evaluate less invasive TVT</p> <p>15 mesh, and then it goes on.</p> <p>16 BY MR. THORNBURGH:</p> <p>17 Q. Goes on to say what?</p> <p>18 MR. THOMAS: He's going to tell you,</p> <p>19 Dan.</p> <p>20 THE WITNESS: Studying the fixation</p> <p>21 phase divided into three components.</p> <p>22 And then -- yeah. So I would</p> <p>23 conclude that the primary objective is biomechanical</p> <p>24 with a histology component included.</p> <p>25 BY MR. THORNBURGH:</p>	<p>1 That would be considered a short-term</p> <p>2 study, correct?</p> <p>3 A. That would be a mid-term study.</p> <p>4 Q. Not a long-term study, right?</p> <p>5 A. That's correct.</p> <p>6 Q. How long does it take before mesh</p> <p>7 starts to contract?</p> <p>8 MR. THOMAS: Object to the form of</p> <p>9 the question; scope.</p> <p>10 BY MR. THORNBURGH:</p> <p>11 Q. Are you prepared to answer that</p> <p>12 question today?</p> <p>13 MR. THOMAS: Object to the form of</p> <p>14 the question.</p> <p>15 THE WITNESS: No -- because it</p> <p>16 depends on a lot of factors. And if there are any</p> <p>17 specific studies you want to talk about that are in</p> <p>18 the compilation of documents that we've provided,</p> <p>19 I'd be glad to talk about those.</p> <p>20 BY MR. THORNBURGH:</p> <p>21 Q. Well, Ethicon studies showed that</p> <p>22 Prolene mesh can shrink up to 30 to 50 percent,</p> <p>23 right?</p> <p>24 MR. THOMAS: Object to the form of</p> <p>25 the question; scope.</p>
Page 328	Page 330
<p>1 Q. What steps did you take yesterday to</p> <p>2 locate the pathology report?</p> <p>3 MR. THOMAS: Object to the form of</p> <p>4 the question.</p> <p>5 THE WITNESS: I did not take any</p> <p>6 steps.</p> <p>7 BY MR. THORNBURGH:</p> <p>8 Q. Did you make an inquiry to Joerg</p> <p>9 Holste whether or not any of the meshes that were</p> <p>10 explanted in that study showed encapsulation of the</p> <p>11 mesh?</p> <p>12 A. No.</p> <p>13 Q. Did you make an inquiry with anybody</p> <p>14 yesterday as to whether or not any of the slides</p> <p>15 were lost?</p> <p>16 MR. THOMAS: Object to the form of</p> <p>17 the question.</p> <p>18 BY MR. THORNBURGH:</p> <p>19 Q. During or -- during or after that</p> <p>20 study was conducted?</p> <p>21 A. No.</p> <p>22 Q. And in the next document you have</p> <p>23 listed here is an investigational study of Swine</p> <p>24 models to evaluate mesh contraction and tissue</p> <p>25 integration over a 13-week period.</p>	<p>1 Dan, that's not even on the</p> <p>2 designations --</p> <p>3 BY MR. THORNBURGH:</p> <p>4 Q. Are you prepared to discuss that</p> <p>5 today, Doctor?</p> <p>6 A. No.</p> <p>7 Q. Well, I mean, what is part of the</p> <p>8 designations is porosity studies. And that --</p> <p>9 porosity studies, clearly, one of the things that</p> <p>10 you can look at is mesh contraction.</p> <p>11 Did you look at any studies involving</p> <p>12 mesh contraction --</p> <p>13 MR. THOMAS: Object.</p> <p>14 BY MR. THORNBURGH:</p> <p>15 Q. -- other than -- other than the one</p> <p>16 that you have listed here?</p> <p>17 MR. THOMAS: Object to the form of</p> <p>18 the question; scope.</p> <p>19 THE WITNESS: This is one that we've</p> <p>20 conducted, Tab 41.</p> <p>21 BY MR. THORNBURGH:</p> <p>22 Q. What mesh was involved in that case?</p> <p>23 A. I'll have to look at the detail.</p> <p>24 Q. Let me just try to simplify. Was TVT</p> <p>25 mesh involved in that case?</p>

10 (Pages 327 to 330)

Confidential - Subject to Protective Order

Page 331	Page 333
<p>1 A. Let me confirm.</p> <p>2 Q. Perhaps it was the heavyweight small</p> <p>3 pore.</p> <p>4 MR. THOMAS: You've asked three</p> <p>5 questions. You haven't let him answer any of them</p> <p>6 yet. Let him answer a question, please.</p> <p>7 THE WITNESS: Three mesh implants</p> <p>8 were studied: Prolene mesh, Prolene Soft mesh, and</p> <p>9 ULTRAPRO mesh.</p> <p>10 Although it doesn't indicate the</p> <p>11 version of Prolene mesh, the date of the study,</p> <p>12 6/21/07, would suggest that it's 5 mil flat mesh.</p> <p>13 BY MR. THORNBURGH:</p> <p>14 Q. Which is a different mil that is</p> <p>15 used -- different Prolene fiber size than is used in</p> <p>16 the TVT Prolene mesh, correct?</p> <p>17 A. Yes.</p> <p>18 Q. Do you know what the pore sizes are</p> <p>19 in that particular Prolene mesh that was studied?</p> <p>20 MR. THOMAS: Object to form; asked</p> <p>21 and answered.</p> <p>22 THE WITNESS: I know that it's less</p> <p>23 than the 6 mil TVT mesh.</p> <p>24 BY MR. THORNBURGH:</p> <p>25 Q. Does it say current production,</p>	<p>1 A. No, it does indicate it is Prolene</p> <p>2 Soft. Prolene Soft is one of the meshes that were</p> <p>3 evaluated.</p> <p>4 Q. What you can say for certain is that</p> <p>5 that mesh wasn't the Prolene mesh contained within</p> <p>6 TVT?</p> <p>7 A. I can't say that for certain, but I</p> <p>8 believe it is not.</p> <p>9 Q. You have the biocompatibility risk</p> <p>10 assessment report for Proceed's surgical mesh. Is</p> <p>11 that a large -- is that a lightweight large pore</p> <p>12 mesh?</p> <p>13 MR. THOMAS: Object to the form of</p> <p>14 the question.</p> <p>15 BY MR. THORNBURGH:</p> <p>16 Q. The Proceed?</p> <p>17 A. This would be Prolene Soft mesh.</p> <p>18 Q. So it's a 3.5 lightweight mesh,</p> <p>19 correct?</p> <p>20 A. Yes.</p> <p>21 Q. Not the same mesh in TVT, correct?</p> <p>22 A. That's correct.</p> <p>23 Q. Then you have the biocompatibility</p> <p>24 risk assessment report for the Gynecare TVT product</p> <p>25 family. That's -- that would be related to --</p>
Page 332	Page 334
<p>1 Prolene mesh?</p> <p>2 A. No, it does not.</p> <p>3 Q. So you don't know sitting here today</p> <p>4 if that's the current production at the time or if</p> <p>5 that was some sort of prototype of the Prolene mesh,</p> <p>6 do you?</p> <p>7 MR. THOMAS: Object to the form of</p> <p>8 the question.</p> <p>9 THE WITNESS: I think if it were a</p> <p>10 prototype, it would indicate such.</p> <p>11 What I have in front of me is not</p> <p>12 sufficient to positively identify that was 5 mil</p> <p>13 mesh, but all the data points are in that direction.</p> <p>14 BY MR. THORNBURGH:</p> <p>15 Q. You can't tell from looking at that</p> <p>16 if it's a 3.5 mil Prolene mesh, can you?</p> <p>17 MR. THOMAS: Object to the form of</p> <p>18 the question.</p> <p>19 THE WITNESS: Yes, I can.</p> <p>20 BY MR. THORNBURGH:</p> <p>21 Q. How can you tell?</p> <p>22 A. Because that would be Prolene Soft</p> <p>23 mesh.</p> <p>24 Q. And it doesn't indicate it's Prolene</p> <p>25 Soft. Is that what you're saying?</p>	<p>1 that's the TVT product, right?</p> <p>2 A. Yes.</p> <p>3 Q. So you would agree with me that the</p> <p>4 vast majority of the documents that you listed in</p> <p>5 your list regarding the statement that Prolene mesh</p> <p>6 elicits a minimal inflammatory reaction in tissue</p> <p>7 which is transient, either were suture studies, not</p> <p>8 mesh studies, short-term studies, not long-term</p> <p>9 studies or mid term, not long-term studies, or</p> <p>10 involved -- some of the studies involved meshes that</p> <p>11 were large pore lightweight meshes, correct?</p> <p>12 MR. THOMAS: Excuse me. Object to</p> <p>13 the form of the question.</p> <p>14 THE WITNESS: All of those studies</p> <p>15 are included in this list.</p> <p>16 BY MR. THORNBURGH:</p> <p>17 Q. Did you ever conduct a study or did</p> <p>18 Ethicon ever conduct a study that looked at the</p> <p>19 TVT -- strike that.</p> <p>20 Did Ethicon ever conduct a study that</p> <p>21 looked at the Prolene mesh in the TVT and compare it</p> <p>22 to a negative control to determine the inflammatory</p> <p>23 response in TVT?</p> <p>24 A. No. That would not be so useful.</p> <p>25 Q. You -- ULTRAPRO was compared to</p>

11 (Pages 331 to 334)

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 335</p> <p>1 Prolene, wasn't it?</p> <p>2 MR. THOMAS: Object to the form of</p> <p>3 the question; scope.</p> <p>4 THE WITNESS: In the study that I</p> <p>5 just mentioned, yes.</p> <p>6 BY MR. THORNBURGH:</p> <p>7 Q. Well, do you recall -- do you recall</p> <p>8 doing a study that looked at --</p> <p>9 A. I just want to clarify which study</p> <p>10 that was, because we've been talking about a lot of</p> <p>11 studies.</p> <p>12 That would be Tab 42.</p> <p>13 Q. Can you read off the name of that</p> <p>14 study for me?</p> <p>15 A. An investigational study of Swine</p> <p>16 models to evaluate mesh contraction and tissue in</p> <p>17 growth over a 13-week period.</p> <p>18 I misspoke.</p> <p>19 It's the same study, but the study</p> <p>20 that I intended to call out was Tab 41.</p> <p>21 Tab 42 is simply the pathology report</p> <p>22 for that study.</p> <p>23 Q. Do you recall doing a study that</p> <p>24 looked at the tissue response to ULTRAPRO and</p> <p>25 compared it to the old construction heavyweight</p>	<p style="text-align: right;">Page 337</p> <p>1 THE VIDEOGRAPHER: We're now going</p> <p>2 off the video record. It's now 9:45.</p> <p>3 (Short break.)</p> <p>4 (Whereupon, the court reporter read</p> <p>5 back the requested portion of the record.)</p> <p>6 THE VIDEOGRAPHER: Back on the video</p> <p>7 record, 9:56.</p> <p>8 THE WITNESS: Now, it's my</p> <p>9 understanding that the literature search results</p> <p>10 from the two literature searches conducted have been</p> <p>11 provided to the plaintiff's counsel. That includes</p> <p>12 all the studies in their entirety that came from</p> <p>13 that literature search of RDCS.</p> <p>14 BY MR. THORNBURGH:</p> <p>15 Q. Is that list larger than the list</p> <p>16 that you provided in Exhibit 2241?</p> <p>17 MR. THOMAS: Those are the lists of</p> <p>18 the gross searches that were provided from 1960 to</p> <p>19 1980 and then the two searches from 1980 to 2000.</p> <p>20 Those are the lists that we're talking about.</p> <p>21 MR. THORNBURGH: I am asking the</p> <p>22 witness.</p> <p>23 MR. THOMAS: That's fine.</p> <p>24 THE WITNESS: Could you repeat?</p> <p>25 BY MR. THORNBURGH:</p>
<p style="text-align: right;">Page 336</p> <p>1 Prolene and found that the tissue response was --</p> <p>2 there's a greater inflammatory response with the old</p> <p>3 construction 6 mil Prolene compared to the ULTRAPRO?</p> <p>4 MR. THOMAS: Object to the form of</p> <p>5 the question.</p> <p>6 THE WITNESS: I don't believe so.</p> <p>7 BY MR. THORNBURGH:</p> <p>8 Q. Do you know if that study was ever</p> <p>9 conducted?</p> <p>10 MR. THOMAS: Object to the form of</p> <p>11 the question.</p> <p>12 THE WITNESS: I am not aware of such</p> <p>13 a study. It's not a study that we provided.</p> <p>14 BY MR. THORNBURGH:</p> <p>15 Q. Like we talked about yesterday when</p> <p>16 we talked about the porosity studies, was there a</p> <p>17 larger list that was created by you or someone else</p> <p>18 which contained more studies that are currently</p> <p>19 listed in this section regarding the studies related</p> <p>20 to the statement that the inflammatory response is</p> <p>21 minimal and transient?</p> <p>22 MR. THOMAS: I'm sorry. Object to</p> <p>23 the form of the question. I'm trying to go with my</p> <p>24 screen and I've lost my --</p> <p>25 (Brief interruption.)</p>	<p style="text-align: right;">Page 338</p> <p>1 Q. Yes. Is there a larger list of</p> <p>2 studies than is contained in your section regarding</p> <p>3 the minimal and transient inflammatory response?</p> <p>4 A. Yes, there is a larger list, as I've</p> <p>5 described.</p> <p>6 From those two literature searches,</p> <p>7 studies were obtained from R&D central file, which</p> <p>8 were felt to be relevant to each of the topics under</p> <p>9 discussion.</p> <p>10 Some of those studies turned out not</p> <p>11 to be relevant. Those studies that were determined</p> <p>12 to be relevant to each of the topics for discussion</p> <p>13 were then compiled for this particular topic. You</p> <p>14 see this list of 44 documents.</p> <p>15 Q. Now, if there was a study that looked</p> <p>16 at and compared ULTRAPRO, which is a lightweight</p> <p>17 large pore mesh, to Prolene 6 mil mesh, that study</p> <p>18 did not make it onto your list, did it?</p> <p>19 A. It would have fallen out of the</p> <p>20 original R&D central file search, and it would have</p> <p>21 been included in this list, because it would have</p> <p>22 contained TVT mesh, even though it's a comparison to</p> <p>23 some other mesh.</p> <p>24 So that would have definitely been</p> <p>25 relevant.</p>

12 (Pages 335 to 338)

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 339</p> <p>1 Q. You don't see any study on this list</p> <p>2 that you provided -- strike that.</p> <p>3 You chose what documents -- what</p> <p>4 studies would be listed in your IFU list of studies</p> <p>5 that support the claim that the inflammatory</p> <p>6 response is minimal and transient, right?</p> <p>7 A. Yes.</p> <p>8 Q. And nowhere on that list is a study</p> <p>9 that compared ULTRAPRO to Prolene and found that</p> <p>10 ULTRAPRO elicited a more minimal inflammatory</p> <p>11 response, correct?</p> <p>12 A. That is not on this list, and I am</p> <p>13 not aware of such a study.</p> <p>14 Q. That would have been a relevant study</p> <p>15 to include on this list if it existed, correct?</p> <p>16 MR. THOMAS: Object to the form of</p> <p>17 the question.</p> <p>18 THE WITNESS: Yes.</p> <p>19 BY MR. THORNBURGH:</p> <p>20 Q. That would have been a relevant study</p> <p>21 to do to compare the difference in inflammatory</p> <p>22 response of a lightweight large pore mesh to TVT,</p> <p>23 correct?</p> <p>24 MR. THOMAS: Object to the form of</p> <p>25 the question.</p>	<p style="text-align: right;">Page 341</p> <p>1 mesh in TVT would elicit a minimal transient</p> <p>2 inflammatory response, right?</p> <p>3 A. That 1973 study needs to be</p> <p>4 considered in context with the NDAs for Prolene</p> <p>5 suture, where long-term studies were conducted two</p> <p>6 years in rat, three years in dog, three months in</p> <p>7 rabbits, looking at the same polypropylene --</p> <p>8 Prolene polypropylene fiber that's used in Prolene</p> <p>9 mesh.</p> <p>10 It's the leveraging of those</p> <p>11 long-term studies and the 1973 study, which is</p> <p>12 relatively short term as you point out, forms the</p> <p>13 basis for the information provided by preclinical to</p> <p>14 the folks that prepare the IFU.</p> <p>15 MR. THORNBURGH: Move to strike;</p> <p>16 nonresponsive.</p> <p>17 BY MR. THORNBURGH:</p> <p>18 Q. In that list -- in fact, in this</p> <p>19 entire list of 43 studies, 44 studies, that is the</p> <p>20 only Prolene mesh study that formed the basis for</p> <p>21 the claim in the IFU that the Prolene and TVT will</p> <p>22 elicit a minimal transient inflammatory response,</p> <p>23 correct?</p> <p>24 MR. THOMAS: Object to the form of</p> <p>25 the question; scope.</p>
<p style="text-align: right;">Page 340</p> <p>1 THE WITNESS: Yes, it would have been</p> <p>2 a relevant study.</p> <p>3 BY MR. THORNBURGH:</p> <p>4 Q. Of the 44 studies that made it onto</p> <p>5 your final list to support the claim that TVT</p> <p>6 elicits a minimal transitory inflammatory response,</p> <p>7 31 of those studies are suture studies, correct?</p> <p>8 A. I accept your count.</p> <p>9 Q. Well, Tab 1 through Tab 31, correct?</p> <p>10 A. I've not been keeping track.</p> <p>11 Q. And of the 13 studies involving</p> <p>12 mesh --</p> <p>13 A. Excuse me. Just for clarification, I</p> <p>14 was just scanning the 1 through 31, and I see that</p> <p>15 Number 10 is, in fact, a 1973 study with Prolene</p> <p>16 mesh. It's the same mesh.</p> <p>17 Q. Oh, I'm sorry. Correct.</p> <p>18 So of the first 31 studies, only one</p> <p>19 involved Prolene mesh, correct?</p> <p>20 A. Yes.</p> <p>21 Q. And that one study in the first 31</p> <p>22 was a short-term study, correct?</p> <p>23 A. Yes.</p> <p>24 Q. And that's the study that formed the</p> <p>25 basis of the language in the IFU that the Prolene</p>	<p style="text-align: right;">Page 342</p> <p>1 THE WITNESS: I don't believe the</p> <p>2 results from the 1973 Prolene mesh study that went</p> <p>3 for 28 days can be assessed without considering the</p> <p>4 long-term results from the Prolene suture studies</p> <p>5 documented in the Prolene suture NDA.</p> <p>6 MR. THORNBURGH: Move to strike;</p> <p>7 nonresponsive.</p> <p>8 BY MR. THORNBURGH:</p> <p>9 Q. Answer my question, please.</p> <p>10 MR. THOMAS: He did answer your</p> <p>11 question.</p> <p>12 BY MR. THORNBURGH:</p> <p>13 Q. My question is: In this list of 43</p> <p>14 studies -- 44 studies, the short-term 28-day study</p> <p>15 from 1973 was the only Prolene mesh study that</p> <p>16 formed the basis for the claim in the IFU that the</p> <p>17 Prolene in TVT will elicit a minimal transitory</p> <p>18 inflammatory response. Correct?</p> <p>19 A. Yes.</p> <p>20 Q. Of the 13 mesh studies contained</p> <p>21 within your IFU list of studies that support the</p> <p>22 claim that Prolene mesh in TVT elicits a minimal</p> <p>23 transient inflammatory response, approximately 12 of</p> <p>24 those were either short-term or mid-duration</p> <p>25 studies, correct?</p>

13 (Pages 339 to 342)

Confidential - Subject to Protective Order

Page 343	Page 345
<p>1 MR. THOMAS: Object to the form of</p> <p>2 the question.</p> <p>3 THE WITNESS: I accept your count.</p> <p>4 BY MR. THORNBURGH:</p> <p>5 Q. You also have been designated as the</p> <p>6 person most knowledgeable regarding preclinical or</p> <p>7 animal studies that support the claim in the IFU</p> <p>8 that the material is not absorbed, nor is it subject</p> <p>9 to degradation or weakening by the action of tissue</p> <p>10 enzymes, correct?</p> <p>11 A. That's correct.</p> <p>12 MR. THOMAS: Object to the form of</p> <p>13 the question.</p> <p>14 I think if you look at the topic that</p> <p>15 he was identified on, it was a single sentence. And</p> <p>16 that is the scope of the designation.</p> <p>17 THE WITNESS: Well, I stand</p> <p>18 corrected. I have in front of me a compilation of</p> <p>19 studies that address a topic for discussion, and</p> <p>20 that topic indicates -- and I quote: The material</p> <p>21 is not absorbed, nor is it subject to degradation or</p> <p>22 weakening by the action of tissue enzymes. End</p> <p>23 quote.</p> <p>24 BY MR. THORNBURGH:</p> <p>25 Q. Which is the exact question I asked.</p>	<p>1 start over again. I have the right one now.</p> <p>2 The designation made by plaintiffs</p> <p>3 states, Paragraph 3: The identity of, the location</p> <p>4 of, and the substance of any and all studies, data,</p> <p>5 and/or other evidence that form the basis of the</p> <p>6 following claim/statement included in the attached</p> <p>7 instructions for use for the TVT products. The</p> <p>8 material is not absorbed, nor is it subject to</p> <p>9 degradation or weakening by the action of tissue</p> <p>10 enzymes.</p> <p>11 That's the designation.</p> <p>12 BY MR. THORNBURGH:</p> <p>13 Q. So you've been designated as the</p> <p>14 person most knowledgeable regarding studies or</p> <p>15 evidence that support the claim in the IFU that the</p> <p>16 Prolene mesh in TVT is not absorbed, nor is it</p> <p>17 subject to degradation or weakening by the action of</p> <p>18 tissue enzymes. Correct?</p> <p>19 A. Yes.</p> <p>20 Q. In other words, the claim by Ethicon</p> <p>21 in the IFU is that the Prolene mesh in the TVT will</p> <p>22 not degrade, correct?</p> <p>23 MR. THOMAS: Object to the form of</p> <p>24 the question.</p> <p>25 THE WITNESS: It says that it's not</p>
Page 344	Page 346
<p>1 MR. THOMAS: I don't think you did.</p> <p>2 BY MR. THORNBURGH:</p> <p>3 Q. Let me ask it again. I'll read from</p> <p>4 the transcript.</p> <p>5 You also have been designated as the</p> <p>6 person most knowledgeable regarding preclinical or</p> <p>7 animal studies that support the claim in the IFU</p> <p>8 that the material is not absorbed, nor is it subject</p> <p>9 to degradation or weakening by action of tissue</p> <p>10 enzymes.</p> <p>11 Correct?</p> <p>12 MR. THOMAS: Object to the form of</p> <p>13 the question. That's not the designation.</p> <p>14 The designation is and it reads</p> <p>15 verbatim in terms that you've written: The identity</p> <p>16 of, the location of, and the substance of any and</p> <p>17 all studies, data, and/or evidence that form the</p> <p>18 basis of the following claim/statement contained in</p> <p>19 the attached instructions for use for the TVT</p> <p>20 products. Animal studies show that implementation</p> <p>21 of Prolene mesh elicits a minimal inflammatory --</p> <p>22 I'm sorry.</p> <p>23 MR. THORNBURGH: You're looking at</p> <p>24 the wrong designation.</p> <p>25 MR. THOMAS: Okay. I am. Let me</p>	<p>1 absorbed, nor is it subject to degradation or</p> <p>2 weakening by the action of tissue enzymes.</p> <p>3 BY MR. THORNBURGH:</p> <p>4 Q. Is it Ethicon's position that the</p> <p>5 studies and evidence support the claim that the</p> <p>6 Prolene mesh in TVT will not degrade?</p> <p>7 MR. THOMAS: Object to the form of</p> <p>8 the question.</p> <p>9 THE WITNESS: In a general sense.</p> <p>10 BY MR. THORNBURGH:</p> <p>11 Q. What do you mean by "in a general</p> <p>12 sense"?</p> <p>13 A. Well, that statement is different</p> <p>14 from the statement that's in the IFU.</p> <p>15 Q. Part of the statement is that the</p> <p>16 Prolene mesh in the TVT will not degrade, right, by</p> <p>17 the tissue enzymes in the human body. Correct?</p> <p>18 A. Yes.</p> <p>19 Q. Is that Ethicon's position?</p> <p>20 A. Yes.</p> <p>21 Q. Is it Ethicon's position that the</p> <p>22 Prolene in the TVT is subject to degradation under</p> <p>23 certain conditions?</p> <p>24 MR. THOMAS: Object to the form of</p> <p>25 the question.</p>

14 (Pages 343 to 346)

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 347</p> <p>1 THE WITNESS: That's not what this</p> <p>2 says.</p> <p>3 BY MR. THORNBURGH:</p> <p>4 Q. Well, is it Ethicon's position that</p> <p>5 the Prolene mesh will degrade under certain -- in</p> <p>6 certain environments?</p> <p>7 MR. THOMAS: Object to the form of</p> <p>8 the question.</p> <p>9 THE WITNESS: It's Ethicon's</p> <p>10 position, as outlined in these two folders that</p> <p>11 contain 49 different studies, that the material in</p> <p>12 TVT mesh, which is Prolene polypropylene, is not</p> <p>13 absorbed, nor is it subject to degradation or</p> <p>14 weakening by the action of tissue enzymes.</p> <p>15 BY MR. THORNBURGH:</p> <p>16 Q. Now, you agree with me that Ethicon</p> <p>17 has conducted studies which have shown that in vivo,</p> <p>18 in the human body, or in animal studies, the Prolene</p> <p>19 mesh does, in fact, suffer from surface cracking on</p> <p>20 the outer layer of the mesh?</p> <p>21 MR. THOMAS: Object to the form of</p> <p>22 the question.</p> <p>23 THE WITNESS: You're making reference</p> <p>24 to surface changes observed in a seven-year dog</p> <p>25 study?</p>	<p style="text-align: right;">Page 349</p> <p>1 MR. THOMAS: Excuse me. I need to</p> <p>2 take a very quick break.</p> <p>3 THE VIDEOGRAPHER: 10:16, off the</p> <p>4 video record.</p> <p>5 (Short break.)</p> <p>6 THE VIDEOGRAPHER: Back on the video</p> <p>7 record, 10:20.</p> <p>8 BY MR. THORNBURGH:</p> <p>9 Q. Doctor, you would agree that the</p> <p>10 human body, due to the presence of O2 in various</p> <p>11 forms, is a potentially powerful oxidizer?</p> <p>12 MR. THOMAS: Object to the form of</p> <p>13 the question; scope.</p> <p>14 THE WITNESS: They can't be too -- I</p> <p>15 would agree in general, but they can't be too</p> <p>16 powerful, because too powerful would be incompatible</p> <p>17 with life.</p> <p>18 BY MR. THORNBURGH:</p> <p>19 Q. Powerful enough to degrade</p> <p>20 polypropylene, right?</p> <p>21 MR. THOMAS: Object to the form of</p> <p>22 the question.</p> <p>23 THE WITNESS: That would need to be</p> <p>24 determined.</p> <p>25 BY MR. THORNBURGH:</p>
<p style="text-align: right;">Page 348</p> <p>1 BY MR. THORNBURGH:</p> <p>2 Q. No, there's more than that, but we'll</p> <p>3 talk about the dog study.</p> <p>4 But you agree that there have been</p> <p>5 studies conducted at Ethicon that show degradation</p> <p>6 of the surface layer of the Prolene mesh?</p> <p>7 MR. THOMAS: Object to the form of</p> <p>8 the question.</p> <p>9 THE WITNESS: I only know of one</p> <p>10 study looking at surface changes in Prolene suture.</p> <p>11 That would be the seven-year dog study.</p> <p>12 And that would be -- that would be</p> <p>13 Tab 33, seven-year data for ten-year Prolene study.</p> <p>14 ERF 85-219 1992.</p> <p>15 BY MR. THORNBURGH:</p> <p>16 Q. Did you look at the five-year data?</p> <p>17 A. Yes, as part -- well, the five-year</p> <p>18 endpoints were part of this study.</p> <p>19 MR. THOMAS: Just for the record,</p> <p>20 that tab has been supplemented by this additional</p> <p>21 disclosure. I'll make sure the witness has that</p> <p>22 available to him.</p> <p>23 THE WITNESS: If we need to talk</p> <p>24 about the seven-year dog study, this would be the</p> <p>25 one to -- to discuss.</p>	<p style="text-align: right;">Page 350</p> <p>1 Q. Well, let me look at a document I</p> <p>2 believe you had listed on your list of evidence.</p> <p>3 MR. THORNBURGH: We'll mark it as</p> <p>4 Exhibit 2250. ETH.MESH.10575391.</p> <p>5 (Document marked for identification</p> <p>6 as Exhibit T-2250.)</p> <p>7 BY MR. THORNBURGH:</p> <p>8 Q. This is Critical Reviews in</p> <p>9 Biocompatibility. You've seen this?</p> <p>10 A. Yes.</p> <p>11 Q. Before, right?</p> <p>12 A. Yes.</p> <p>13 Q. It appears that the authors of this</p> <p>14 document is -- C.C. Chu?</p> <p>15 A. Yes.</p> <p>16 Q. And the referee is Postlethwait. Am I</p> <p>17 pronouncing his name correctly?</p> <p>18 A. I am not certain. I don't know him.</p> <p>19 That sounds good to me.</p> <p>20 Q. Do you know Dr. Chu?</p> <p>21 A. I've met him once.</p> <p>22 Q. Okay. And the title of this document</p> <p>23 is the degradation of biocompatibility -- I'm sorry.</p> <p>24 Strike that.</p> <p>25 The degradation of -- strike that.</p>

15 (Pages 347 to 350)

Confidential - Subject to Protective Order

Page 351	Page 353
<p>1 The title of this, what appears to be</p> <p>2 a book or a chapter in a book, is the degradation</p> <p>3 of -- "The Degradation And Biocompatibility Of</p> <p>4 Suture Material," right?</p> <p>5 A. Yes.</p> <p>6 Q. Where does this come from? What's</p> <p>7 the critical reviews and biocompatibility; do you</p> <p>8 know?</p> <p>9 A. Well, I've seen critical reviews in</p> <p>10 toxicology before. I think this is an attempt by</p> <p>11 CRC press to put forward review articles by experts,</p> <p>12 considered experts in the field, that would</p> <p>13 summarize what is known about a particular topic up</p> <p>14 to a certain point in time.</p> <p>15 Q. And this is 1985, right?</p> <p>16 A. Yes.</p> <p>17 Q. This is before the TVT was marketed,</p> <p>18 correct?</p> <p>19 A. Yes.</p> <p>20 Q. In fact, it's before the TVT was</p> <p>21 designed and developed, correct?</p> <p>22 A. Yes.</p> <p>23 Q. Do you find this to be authoritative?</p> <p>24 A. Up to 1985, yes. I think it reflects</p> <p>25 what was generally known to be so in the field.</p>	<p>1 chance to review this before today, right?</p> <p>2 A. I've read through this document at</p> <p>3 one point.</p> <p>4 Q. The authors here in this paragraph</p> <p>5 are talking about polypropylene, right?</p> <p>6 MR. THOMAS: Which paragraph are you</p> <p>7 talking about?</p> <p>8 MR. THORNBURGH: I'm sorry. The</p> <p>9 third paragraph on Page 288, Bates number ending in</p> <p>10 5419.</p> <p>11 THE WITNESS: They're talking about</p> <p>12 polyethylene sutures of which polypropylene is one.</p> <p>13 BY MR. THORNBURGH:</p> <p>14 Q. Okay. And in the highlighted</p> <p>15 section, the authors write: Although this class of</p> <p>16 polymer is resistant to hydrolysis, it is</p> <p>17 susceptible to oxidative degradation. Oxidation is</p> <p>18 not as well known as hydrolysis in biomedical</p> <p>19 polymers in 1985. The human body, due to the</p> <p>20 presence of O2 in various forms, is a potentially</p> <p>21 powerful oxidizer.</p> <p>22 Liebert and others examine the rate</p> <p>23 of oxidation of polypropylene fibers with and</p> <p>24 without antioxidants implanted subcutaneously in</p> <p>25 hamsters. They found that the pure fiber without</p>
Page 352	Page 354
<p>1 Q. And this document was -- if you look,</p> <p>2 there's an ETH.MESH. number on it, which would</p> <p>3 indicate that this document was within the files at</p> <p>4 Ethicon, correct?</p> <p>5 A. Yes. I believe it's in -- here as</p> <p>6 Tab 22 in the IFU three-folder.</p> <p>7 MR. THOMAS: Object to the form of</p> <p>8 the question.</p> <p>9 BY MR. THORNBURGH:</p> <p>10 Q. How did you find this document which</p> <p>11 made it to your list of supporting evidence</p> <p>12 regarding the claim in the IFU that the Prolene TVT</p> <p>13 does not degrade by the actions of enzymes in the</p> <p>14 human body?</p> <p>15 A. It was one of the references that FDA</p> <p>16 provided when they reclassified Prolene</p> <p>17 polypropylene suture from Class 3 to Class 2.</p> <p>18 And I think I -- yes. And that would</p> <p>19 be Tab 28 in the folder, IFU 3, entitled "FDA</p> <p>20 Reclassification Of Prolene Polypropylene</p> <p>21 Non-Absorbable Sutures, October 12, 1990."</p> <p>22 Q. Now, the authors -- turn with me to</p> <p>23 Page 288 of the critical reviews.</p> <p>24 The ETH.MESH. number is 10575419.</p> <p>25 The authors are -- you've had a</p>	<p>1 antioxidants degraded by an oxidative mechanism</p> <p>2 similar to high temperature autooxidation.</p> <p>3 The degradation began to occur after</p> <p>4 only about ten days, and this initiation period</p> <p>5 lasted about 108 days.</p> <p>6 The degradation product -- do you</p> <p>7 know what that -- what that means right here, C</p> <p>8 equals O?</p> <p>9 A. It is a carbonyl group.</p> <p>10 Q. So: The degradation product, the</p> <p>11 carbonyl group, was observed in the form after</p> <p>12 99 days of implantation. Whether this observation</p> <p>13 is applicable to polypropylene suture material is</p> <p>14 not known and needs to be further studied.</p> <p>15 Do you see that?</p> <p>16 A. Yes.</p> <p>17 Q. How many studies are you aware of</p> <p>18 that Ethicon did to determine if the Prolene in TVT</p> <p>19 can degrade as a result of or including as a result</p> <p>20 of oxidation in vivo inside the body?</p> <p>21 A. There are roughly -- well, there</p> <p>22 are -- there are 49 documents in these two -- two</p> <p>23 binders labeled IFU 3 that support the statement</p> <p>24 that's the subject matter topic that the material is</p> <p>25 not absorbed, nor is it subject to degradation or</p>

16 (Pages 351 to 354)

Confidential - Subject to Protective Order

Page 355	Page 357
<p>1 weakening by the action of tissue enzymes.</p> <p>2 Q. How many preclinical studies were</p> <p>3 done that looked at the primary endpoint degradation</p> <p>4 of the Prolene fiber in TVT?</p> <p>5 MR. THOMAS: Object to the form of</p> <p>6 the question.</p> <p>7 THE WITNESS: Every study where TVT</p> <p>8 was implanted, there is an opportunity to assess</p> <p>9 whether or not there's any degradation of the</p> <p>10 filaments and any resulting effects from that.</p> <p>11 BY MR. THORNBURGH:</p> <p>12 Q. What types of -- what types of tests</p> <p>13 are performed to determine degradation of polymer</p> <p>14 filaments?</p> <p>15 A. The key endpoints to make a</p> <p>16 determination as to whether or not a material fiber</p> <p>17 would be degraded would be to look at quantitative</p> <p>18 parameters, like molecular weight and, perhaps most</p> <p>19 importantly, tensile strength.</p> <p>20 In the absence of loss of molecular</p> <p>21 weight and in the absence of a loss in tensile</p> <p>22 strength, one cannot conclude that there's been any</p> <p>23 impact or degradation on a fiber.</p> <p>24 Q. Do you know what I mean by when I say</p> <p>25 amorphous zones or amorphous regions of the Prolene</p>	<p>1 crystalline regions offering the most strength of a</p> <p>2 fiber compared to the amorphous regions.</p> <p>3 BY MR. THORNBURGH:</p> <p>4 Q. One way of looking for degradation of</p> <p>5 Prolene would be through FTIR analysis, correct?</p> <p>6 MR. THOMAS: Object to the form of</p> <p>7 the question; scope.</p> <p>8 THE WITNESS: That could be a way,</p> <p>9 and, more likely, IR microspectroscopy, where there</p> <p>10 is a very specific focus on areas of interest.</p> <p>11 But, again, that's an analytical</p> <p>12 chemistry kind of area. Although I have some</p> <p>13 understanding of it, depending on how much detail</p> <p>14 you would need, I may or may not be able to help.</p> <p>15 BY MR. THORNBURGH:</p> <p>16 Q. And you're not at least prepared</p> <p>17 today to talk about carbonyl bands that show up on</p> <p>18 FTIR microscopy which would indicate oxidation of</p> <p>19 the Prolene fibers, correct?</p> <p>20 A. That's right. I do not have enough</p> <p>21 depth in that area.</p> <p>22 Q. Another way of analyzing degradation</p> <p>23 of a polypropylene like Prolene would be to look at</p> <p>24 melting point, right?</p> <p>25 A. Again, that's -- that's a polymer</p>
Page 356	Page 358
<p>1 fiber?</p> <p>2 A. I have a general understanding.</p> <p>3 Q. What is your understanding of</p> <p>4 amorphous zones or amorphous regions of the Prolene</p> <p>5 fiber?</p> <p>6 MR. THOMAS: Object to the form;</p> <p>7 scope.</p> <p>8 THE WITNESS: They're not</p> <p>9 crystalline, and they do not offer much contribution</p> <p>10 in the way of tensile strength.</p> <p>11 BY MR. THORNBURGH:</p> <p>12 Q. They're less stable than the</p> <p>13 crystalline bulk Prolene, correct?</p> <p>14 MR. THOMAS: Object to form; scope.</p> <p>15 THE WITNESS: They're different areas</p> <p>16 of the polymer.</p> <p>17 BY MR. THORNBURGH:</p> <p>18 Q. Less stable areas of the polymer?</p> <p>19 MR. THOMAS: Excuse me. Do you want</p> <p>20 him to answer your question?</p> <p>21 THE WITNESS: I don't know that I</p> <p>22 would characterize it as less stable. That might be</p> <p>23 a question for a polymer chemist. But, clearly,</p> <p>24 there are differences in mechanical characteristics</p> <p>25 between amorphous and crystalline regions, the</p>	<p>1 chemistry kind of term, and I'm not prepared to</p> <p>2 address any melting point endpoints.</p> <p>3 Q. Do you know -- do you know generally</p> <p>4 what I mean by melting point?</p> <p>5 MR. THOMAS: Object to the form of</p> <p>6 the question.</p> <p>7 THE WITNESS: It's the point -- it's</p> <p>8 the temperature at which a substance melts.</p> <p>9 BY MR. THORNBURGH:</p> <p>10 Q. Did you look at any -- before you</p> <p>11 came in today, did you look at any studies that were</p> <p>12 conducted by Ethicon that looked at the melting</p> <p>13 point of pieces of the outer surface of Prolene mesh</p> <p>14 which, when the study was conducted, showed evidence</p> <p>15 of oxidation of the polypropylene?</p> <p>16 MR. THOMAS: Object to the form of</p> <p>17 the question.</p> <p>18 THE WITNESS: I've not reviewed any</p> <p>19 melting point data.</p> <p>20 BY MR. THORNBURGH:</p> <p>21 Q. And in any event, these authors write</p> <p>22 that the human body is potentially a powerful</p> <p>23 oxidizer, right?</p> <p>24 A. It's as it's stated.</p> <p>25 Q. And there's a discussion about a</p>

17 (Pages 355 to 358)

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 359</p> <p>1 study by Liebert. Did you read the Liebert study 2 before you came here today? 3 A. I am looking for it right now. Give 4 me a moment to go through this list. 5 I don't see it in this list, but I 6 have reviewed that publication. 7 Q. And you're familiar, then, in the 8 Liebert study that when Liebert and his fellow 9 investigators examined the rate of oxidation of 10 polypropylene fibers, they found degradation in 11 animal study -- in animal studies of the 12 polypropylene fibers which did not contain 13 antioxidants, correct? 14 A. That's correct, as reflected by C.C. 15 Chu in this review article, when he says they found 16 that the pure fiber (without antioxidant) degraded 17 by an oxidation mechanism similar to high 18 temperature autooxidation. 19 What he doesn't say here and what is 20 called out in the Liebert paper is that the fiber 21 with antioxidant did not show any evidence of 22 degradation. 23 Q. Right. And one of the topics that 24 you've been designated to discuss is leaching, 25 right?</p>	<p style="text-align: right;">Page 361</p> <p>1 BY MR. THORNBURGH: 2 Q. And could you explain to the ladies 3 and gentlemen of the jury what we mean by "leach"? 4 A. Leaching means the movement of 5 substances from an implant into the surrounding 6 tissue. 7 Q. Okay. 8 MR. THOMAS: While you're doing this, 9 are you going to ask him questions about the 10 leaching notebooks? 11 MR. THORNBURGH: Not yet. We will be 12 asking questions about leaching. 13 MR. THOMAS: We'll put them away, 14 then. 15 BY MR. THORNBURGH: 16 Q. You've seen the Sunoco material 17 safety data sheet previously, haven't you? 18 MR. THOMAS: Object to the form of 19 the question. 20 I think this was covered at length in 21 his prior deposition. 22 THE WITNESS: I think you showed this 23 to me at the last deposition. 24 BY MR. THORNBURGH: 25 Q. Right. And this has been premarked</p>
<p style="text-align: right;">Page 360</p> <p>1 A. Yes. 2 Q. And some of the studies that you 3 looked at showed that the antioxidants, Santonox R 4 and Lubrol, can leach out of the Prolene fiber, 5 correct? 6 A. Let me take a look at the... 7 Q. You don't recall that off the top of 8 your head? 9 A. I'd rather pull the folder and be 10 able to give you a more complete answer. 11 This is a folder that contains -- 12 MR. THOMAS: There are three of them. 13 BY MR. THORNBURGH: 14 Q. Let me ask you this question real 15 quick. 16 A. Let me finish your other. 17 Q. Well, I'm going to withdraw the 18 original question. I'm going to try to streamline 19 these. 20 Is it Ethicon's position that the 21 antioxidants in the polypropylene Prolene fibers in 22 TVT can leach from the fibers? 23 MR. THOMAS: Object to the form of 24 the question. 25 THE WITNESS: Yes.</p>	<p style="text-align: right;">Page 362</p> <p>1 as Exhibit Number T-2111. 2 Now, if you turn with me to -- 3 Well, do you have an understanding 4 that this is the same Prolene homopolymer as 5 contained within the TVT Prolene? 6 MR. THOMAS: Object to the form of 7 the question; scope. 8 THE WITNESS: Yeah. It's not the 9 original supplier, but those suppliers may have 10 changed. It may be the current supplier. I don't 11 know that for certain, but if you -- if you say that 12 this -- this is the source of the polypropylene 13 resin for polypropylene-based products, I would not 14 disagree. 15 BY MR. THORNBURGH: 16 Q. And Sunoco is a petro oil company, 17 correct? Are you familiar with that? 18 A. Yes. Yes. It's Sun Oil company. 19 Q. If you turn with me to the fourth 20 page, which is ETH.MESH.02026594, you would agree 21 with me that this MSDS for polypropylene resin shows 22 that -- under the incompatibility, that the 23 following materials are incompatible with the 24 product: Strong oxidizers, such as chlorine, 25 peroxide, chromates, nitric acid, perchlorates,</p>

18 (Pages 359 to 362)

Confidential - Subject to Protective Order

Page 363	Page 365
<p>1 concentrated oxygen, sodium hypochlorite, calcium 2 hypochlorite, and chlorine and nitric acid, correct? 3 A. Yes. 4 MR. THOMAS: You left out 5 permanganates. 6 BY MR. THORNBURGH: 7 Q. Permanganates, chlorine, and nitric 8 acid, correct? 9 A. Yes. That's the list. 10 Q. And you would agree with me that 11 according to the evidence that you reviewed in 12 preparing for this 30(b)(6) deposition, that the 13 human body, as a result of the inflammatory response 14 to foreign objects or foreign materials, can create 15 strong oxidizers in the body? 16 MR. THOMAS: Object to the form of 17 the question. 18 THE WITNESS: Strong is a relative 19 term. But I believe that the strong oxidizers as 20 called out in this MSDS, that would make -- that 21 would be incompatible with polypropylene would not 22 be biocompatible in the body. 23 BY MR. THORNBURGH: 24 Q. Well, according to Exhibit 25 Number 2250, which you listed on your list of</p>	<p>1 one before that. 2 That answer is yes. There are two 3 folders -- 4 MR. THOMAS: Excuse me. Let him 5 answer the question. 6 BY MR. THORNBURGH: 7 Q. My question was: Did you 8 personally -- 9 A. No. Your question was on behalf of 10 Ethicon. 11 Q. Did you personally? 12 MR. THOMAS: Okay. Stop. Let's 13 start over. And you ask a question that he can 14 answer. You have five pending. 15 BY MR. THORNBURGH: 16 Q. Did you personally conduct any 17 studies that had the primary endpoint of looking at 18 degradation in animal studies? 19 MR. THOMAS: Object to the form of 20 the question. 21 THE WITNESS: Well, I understood I 22 was here to talk on behalf of Ethicon and not myself 23 personally. 24 MR. THOMAS: You can answer the 25 question. Did you personally do that?</p>
Page 364	Page 366
<p>1 evidence supporting your claims, the authors wrote 2 that the human body, due to the presence of O2 in 3 various forms, is a potential powerful oxidizer. 4 Correct? 5 A. Again, in my opinion, they're not as 6 strong chemically as these oxidizers called out in 7 this MSDS that would not be compatible with 8 polypropylene fiber or polypropylene material. 9 These oxidizers are not 10 biocompatible. They are corrosive. They would not 11 be compatible with tissue. 12 Q. Well, have you ever personally 13 studied -- have you personally studied -- strike 14 that. 15 Have you -- on behalf of Ethicon, did 16 you do any in vivo animal studies to look at, as a 17 primary endpoint, degradation? 18 MR. THOMAS: Object to the form of 19 the question; scope. 20 BY MR. THORNBURGH: 21 Q. Do you know sitting here right now 22 whether or not you ever did such a study? 23 MR. THOMAS: Which question do you 24 want him to ask -- 25 THE WITNESS: Well, I'll answer the</p>	<p>1 THE VIDEOGRAPHER: It's 10:44. We're 2 going off the video record. 3 This concludes Volume 2, Tape 1 of 4 the videotape deposition of Dr. Thomas A. Barbolt. 5 (Short break.) 6 THE VIDEOGRAPHER: We're now back on 7 the video record. It's 10:52. 8 This begins Volume 2, Tape 2 of the 9 videotape deposition of Dr. Thomas A. Barbolt. 10 MR. THOMAS: There was a question 11 pending. Do you want him to answer it? 12 MR. THORNBURGH: I thought he did 13 answer it. 14 BY MR. THORNBURGH: 15 Q. Were you not finished answering my 16 question? 17 A. I don't think so. Could you repeat? 18 It's not on the... 19 MR. THOMAS: I don't think he 20 answered it. 21 The question appears at Line 63, 23. 22 BY MR. THORNBURGH: 23 Q. Did you personally conduct any 24 studies that had the primary endpoint of looking at 25 degradation in your animal studies?</p>

19 (Pages 363 to 366)

Confidential - Subject to Protective Order

Page 367	Page 369
<p>1 MR. THOMAS: Object to the form of</p> <p>2 the question.</p> <p>3 THE WITNESS: All implantation</p> <p>4 studies that I have conducted -- and you have seen</p> <p>5 my name on a number of them in the compilation of</p> <p>6 data that we provided looking at degradation of the</p> <p>7 implant -- is part of every implantation study. So</p> <p>8 the answer is yes.</p> <p>9 BY MR. THORNBURGH:</p> <p>10 Q. Did you do SEM EDX analysis?</p> <p>11 A. No.</p> <p>12 Q. Did you do FTIR analysis?</p> <p>13 A. Is this on behalf of Ethicon or</p> <p>14 personally?</p> <p>15 Q. Did you personally?</p> <p>16 A. No.</p> <p>17 Q. Did you do melting point analysis?</p> <p>18 MR. THOMAS: Object to the form of</p> <p>19 the question.</p> <p>20 THE WITNESS: No.</p> <p>21 BY MR. THORNBURGH:</p> <p>22 Q. So, clearly, the primary endpoint in</p> <p>23 the studies that you conducted were not oxidation or</p> <p>24 degradation studies, correct?</p> <p>25 MR. THOMAS: Object to the form of</p>	<p>1 MR. THOMAS: Object to the form of</p> <p>2 the question.</p> <p>3 THE WITNESS: I don't understand the</p> <p>4 question. In what context?</p> <p>5 BY MR. THORNBURGH:</p> <p>6 Q. Do you recall being told -- do you --</p> <p>7 strike that.</p> <p>8 Do you recall inquiring about whether</p> <p>9 you should conduct animal studies with the primary</p> <p>10 endpoint of degradation?</p> <p>11 MR. THOMAS: Object to the form of</p> <p>12 the question; scope.</p> <p>13 THE WITNESS: Being told not to do</p> <p>14 such studies?</p> <p>15 BY MR. THORNBURGH:</p> <p>16 Q. Yes.</p> <p>17 A. No.</p> <p>18 Q. Do you know who Dr. Ramshaw is?</p> <p>19 A. Dr.?</p> <p>20 Q. Ramshaw?</p> <p>21 A. No, I do not.</p> <p>22 Q. Bruce Ramshaw from the University of</p> <p>23 Missouri?</p> <p>24 A. I don't think we've met.</p> <p>25 Q. My question was: Do you know of him?</p>
Page 368	Page 370
<p>1 the question.</p> <p>2 THE WITNESS: They were not oxidation</p> <p>3 studies, but they definitely were degradation</p> <p>4 studies. That is a primary endpoint for any</p> <p>5 implantation study of absorbable or non-absorbable</p> <p>6 implants.</p> <p>7 BY MR. THORNBURGH:</p> <p>8 Q. Did you do SEM analysis?</p> <p>9 MR. THOMAS: Object to the form of</p> <p>10 the question.</p> <p>11 THE WITNESS: No.</p> <p>12 BY MR. THORNBURGH:</p> <p>13 Q. How could you do a degradation study</p> <p>14 without doing SEM analysis?</p> <p>15 MR. THOMAS: Object to the form of</p> <p>16 the question.</p> <p>17 THE WITNESS: Well, the beauty -- the</p> <p>18 beauty of an implantation study is that you can look</p> <p>19 at the elements of an implant to determine whether</p> <p>20 or not there is cracking, there's absorption, there</p> <p>21 is surface effects. All that could be visualized</p> <p>22 directly under the light microscope.</p> <p>23 BY MR. THORNBURGH:</p> <p>24 Q. In fact, you were told not to do</p> <p>25 degradation studies, weren't you?</p>	<p>1 A. No.</p> <p>2 Q. I've handed what's been premarked as</p> <p>3 Exhibit Number T-4012.</p> <p>4 The ETH.MESH. number is 05588123.</p> <p>5 Now, if you go to the last page of</p> <p>6 this e-mail, which would be the first e-mail in this</p> <p>7 e-mail string, you write to Dr. Thomas Divilio.</p> <p>8 Do you know who Dr. Thomas Divilio</p> <p>9 is?</p> <p>10 A. Thomas Divilio.</p> <p>11 Q. Divilio? Who's Dr. Thomas Divilio?</p> <p>12 A. He was a medical director at Ethicon.</p> <p>13 It doesn't look like I sent the</p> <p>14 message. It looks like I was copied on it.</p> <p>15 MR. THOMAS: He directed your</p> <p>16 attention to the very end.</p> <p>17 Oh, I see. Yes, I see what you mean.</p> <p>18 THE WITNESS: I am looking at the</p> <p>19 last e-mail message beginning on ETH.MESH.05588125.</p> <p>20 BY MR. THORNBURGH:</p> <p>21 Q. Yeah. Oddly, if you look at the</p> <p>22 author of this e-mail, it appears to be you.</p> <p>23 Hold on a second.</p> <p>24 MR. THOMAS: Wait a minute.</p> <p>25 MR. THORNBURGH: I'm sorry. Sorry.</p>

20 (Pages 367 to 370)

Confidential - Subject to Protective Order

Page 371	Page 373
<p>1 Strike that. Strike that.</p> <p>2 MR. THOMAS: The author is Tom</p> <p>3 Divilio.</p> <p>4 MR. THORNBURGH: That's why I said</p> <p>5 "strike that".</p> <p>6 BY MR. THORNBURGH:</p> <p>7 Q. Well, let's do it this way. Do you</p> <p>8 recall being included in an e-mail, copied in an</p> <p>9 e-mail, from Dr. Thomas Divilio to John Gillespie</p> <p>10 where you were copied --</p> <p>11 MR. THOMAS: Object to form.</p> <p>12 BY MR. THORNBURGH:</p> <p>13 Q. -- as a recipient of the e-mail?</p> <p>14 MR. THOMAS: Object to the form of</p> <p>15 the question; scope.</p> <p>16 THE WITNESS: Well, I've never seen</p> <p>17 this e-mail chain before. I'd like to take a minute</p> <p>18 to go -- to read through it.</p> <p>19 BY MR. THORNBURGH:</p> <p>20 Q. Well, you clearly received it. You</p> <p>21 don't recall it. Is that what you're saying?</p> <p>22 MR. THOMAS: Object to the form of</p> <p>23 the question.</p> <p>24 THE WITNESS: I see that I'm copied</p> <p>25 on it. You asked me if I knew anything about it.</p>	<p>1 after ten years revealed no changes in material.</p> <p>2 That's not actually true, is it?</p> <p>3 MR. THOMAS: Object to the form of</p> <p>4 the question; scope.</p> <p>5 BY MR. THORNBURGH:</p> <p>6 Q. That statement that Ethicon had</p> <p>7 previously implanted Prolene suture into dogs, and</p> <p>8 explants after ten years revealed no changes in the</p> <p>9 material, is not a true statement, is it?</p> <p>10 MR. THOMAS: Object to form; scope.</p> <p>11 THE WITNESS: There were three</p> <p>12 elements, three important elements in that study.</p> <p>13 The key elements, as we've discussed</p> <p>14 earlier, were molecular weight and tensile strength.</p> <p>15 And in that seven-year dog study, which -- which is</p> <p>16 referenced as ten year here, there was no impact on</p> <p>17 molecular weight, nor tensile strength.</p> <p>18 BY MR. THORNBURGH:</p> <p>19 Q. There was surface cracks observed on</p> <p>20 the surface layer of the polypropylene in that</p> <p>21 study, correct?</p> <p>22 A. Surface changes were observed in some</p> <p>23 of the fibers in some of the dogs.</p> <p>24 Q. Are you telling the ladies and</p> <p>25 gentlemen of the jury that when the outer surface of</p>
Page 372	Page 374
<p>1 BY MR. THORNBURGH:</p> <p>2 Q. We'll read the e-mail.</p> <p>3 It says from Dr. Divilio, John --</p> <p>4 MR. THOMAS: I think he wants to read</p> <p>5 the whole chain.</p> <p>6 MR. THORNBURGH: Okay. I mean, I am</p> <p>7 going to read it with him.</p> <p>8 THE WITNESS: Okay. If you want to</p> <p>9 lead it off, that's fine.</p> <p>10 BY MR. THORNBURGH:</p> <p>11 Q. It says: John, Bruce Ramshaw from</p> <p>12 the University of Missouri is challenging our</p> <p>13 perception of polypropylene --</p> <p>14 Polypropylene is the polymer in TVT,</p> <p>15 correct?</p> <p>16 A. Yes.</p> <p>17 Q. -- is challenging our perception of</p> <p>18 polypropylene as inert material after implantation.</p> <p>19 In a recent article, his group looked at explanted</p> <p>20 polypropylene from a Bard Composix mesh under EM and</p> <p>21 found that the surface of the fibers had been</p> <p>22 altered with respect to the pristine material, with</p> <p>23 evidence of blistering and increased surface</p> <p>24 roughness, possibly due to oxidation. We previously</p> <p>25 had implanted Prolene suture into dogs, and explants</p>	<p>1 the polypropylene fibers crack and peel away from</p> <p>2 the surface, that that is not degradation?</p> <p>3 MR. THOMAS: Object to the form of</p> <p>4 the question.</p> <p>5 THE WITNESS: I am telling listeners</p> <p>6 that the key endpoint of adverse effects of</p> <p>7 degradation are molecular weight and tensile</p> <p>8 strength, both quantitative measures, not subjective</p> <p>9 assessments of surface changes, but quantitative</p> <p>10 measures that hold great weight and suggest that</p> <p>11 there's no degradation to the Prolene fiber in terms</p> <p>12 that are significant.</p> <p>13 BY MR. THORNBURGH:</p> <p>14 Q. Do you agree there's been studies</p> <p>15 conducted that show that when the polypropylene</p> <p>16 fiber surface or lose -- or fragments come off of</p> <p>17 the polypropylene surface as a result of</p> <p>18 degradation, that that increases the inflammatory</p> <p>19 response?</p> <p>20 MR. THOMAS: Object to the form of</p> <p>21 the question.</p> <p>22 BY MR. THORNBURGH:</p> <p>23 Q. You've seen those studies, haven't</p> <p>24 you?</p> <p>25 MR. THOMAS: Object to the form of</p>

Confidential - Subject to Protective Order

Page 375	Page 377
<p>1 the question.</p> <p>2 THE WITNESS: I don't recall those</p> <p>3 studies. However, all of those studies I do</p> <p>4 recall -- and it's those 49 studies listed in these</p> <p>5 two folders -- do not suggest that there's</p> <p>6 degradation of the Prolene polypropylene fiber.</p> <p>7 BY MR. THORNBURGH:</p> <p>8 Q. Do you agree on behalf of Ethicon</p> <p>9 that if that -- that if the surface layer is coming</p> <p>10 off and/or there are fragments that are being</p> <p>11 released from the polypropylene, that that would --</p> <p>12 could increase -- increase the inflammatory</p> <p>13 response?</p> <p>14 A. No.</p> <p>15 MR. THOMAS: Object to the form of</p> <p>16 the question.</p> <p>17 THE WITNESS: No, because every bit</p> <p>18 of data that Ethicon has -- and there are 49 studies</p> <p>19 listed here -- suggest that if anything, the tissue</p> <p>20 reaction after long-term implantation of Prolene</p> <p>21 polypropylene fibers diminishes. It does not</p> <p>22 increase.</p> <p>23 And this is reflected by FDA in the</p> <p>24 FDA reclassification document, where they discuss</p> <p>25 what's known about Prolene suture and that, in fact,</p>	<p>1 in TVT does not degrade as a result of tissue</p> <p>2 enzymes is a study conducted by Postlethwait, right?</p> <p>3 You recall this study, don't you?</p> <p>4 MR. THOMAS: Which one are we talking</p> <p>5 about?</p> <p>6 BY MR. THORNBURGH:</p> <p>7 Q. Long-term comparative study of</p> <p>8 non-absorbable sutures by Dr. Postlethwait from 1969</p> <p>9 ETH.MESH. Number 10575759.</p> <p>10 MR. THOMAS: Excuse me. Do you want</p> <p>11 to mark one of those for the record?</p> <p>12 MR. THORNBURGH: Yes. Yes, I do.</p> <p>13 THE WITNESS: Did you say 59?</p> <p>14 MR. THOMAS: Wait a minute. He's</p> <p>15 going to mark it for you.</p> <p>16 MR. THORNBURGH: I am going to give</p> <p>17 you a copy so you have it.</p> <p>18 THE WITNESS: I have a copy here.</p> <p>19 It's Tab --</p> <p>20 MR. THORNBURGH: I am going to mark</p> <p>21 this one, anyway.</p> <p>22 I'm sorry, Dave.</p> <p>23 MR. THOMAS: Can I have one, please?</p> <p>24 MR. THORNBURGH: Yep.</p> <p>25 MR. THOMAS: What exhibit number is</p>
Page 376	Page 378
<p>1 that it's not absorbable and doesn't degrade to a</p> <p>2 significant effect.</p> <p>3 MR. THORNBURGH: Move to strike.</p> <p>4 BY MR. THORNBURGH:</p> <p>5 Q. It's a yes or no question, and then</p> <p>6 you can explain it if you want to.</p> <p>7 My question to you was: Is it</p> <p>8 Ethicon's position --</p> <p>9 MR. THOMAS: Excuse me. Just so you</p> <p>10 know, he said "no" and then explained. That's</p> <p>11 exactly what he did.</p> <p>12 MR. THORNBURGH: All right. Move to</p> <p>13 strike everything after no.</p> <p>14 It's going to be a long day if --</p> <p>15 counsel --</p> <p>16 BY MR. THORNBURGH:</p> <p>17 Q. Counsel, obviously, is going to have</p> <p>18 an opportunity to ask you questions. But I asked a</p> <p>19 yes or no question. I expect a yes or no answer.</p> <p>20 MR. THOMAS: He knows the rules, Dan.</p> <p>21 This is his sixth day.</p> <p>22 BY MR. THORNBURGH:</p> <p>23 Q. Doctor, in fact, one of the pieces of</p> <p>24 evidence that you included in your list of documents</p> <p>25 related to the statement by Ethicon that the Prolene</p>	<p>1 that?</p> <p>2 THE WITNESS: 2251.</p> <p>3 MR. THOMAS: 2251. Thank you.</p> <p>4 (Document marked for identification</p> <p>5 as Exhibit T-2251.)</p> <p>6 BY MR. THORNBURGH:</p> <p>7 Q. Now, Dr. Postlethwait from Duke</p> <p>8 University Medical Center in 1969, in a study</p> <p>9 supported by Ethicon, looked at degradation of</p> <p>10 polypropylene fibers or sutures.</p> <p>11 And if you turn to Page 895, and if</p> <p>12 you go to the -- first figure six at the bottom, it</p> <p>13 shows that M -- this is a hard copy to read, but in</p> <p>14 Picture M or Image M, polypropylene -- apparently,</p> <p>15 Image M is showing polypropylene with some fragments</p> <p>16 after 18 months.</p> <p>17 Same at two years. Higher power of</p> <p>18 edges of polypropylene suture and fragments.</p> <p>19 Now, if we turn to ETH.MESH.0175763,</p> <p>20 the last full paragraph on the left-hand column</p> <p>21 discusses Dr. Postlethwait's findings with respect to</p> <p>22 the polypropylene sutures which were apparently</p> <p>23 provided to him by Ethicon.</p> <p>24 MR. THOMAS: Whoa, whoa, whoa.</p> <p>25 Object to the form of the question. Where can you</p>

Confidential - Subject to Protective Order

Page 379	Page 381
<p>1 substantiate that?</p> <p>2 MR. THORNBURGH: Well, it's provided</p> <p>3 in part by Ethicon.</p> <p>4 MR. THOMAS: Nowhere in this article</p> <p>5 does it say these are Ethicon sutures, unless you</p> <p>6 can show me otherwise.</p> <p>7 MR. THORNBURGH: Are you representing</p> <p>8 that they're not?</p> <p>9 MR. THOMAS: I am not, but I think</p> <p>10 it's another thing to say that they were.</p> <p>11 BY MR. THORNBURGH:</p> <p>12 Q. Well, certainly, Ethicon is</p> <p>13 supporting this study, right?</p> <p>14 And this study is regarding</p> <p>15 polypropylene degradation. And Dr. Postlethwait</p> <p>16 writes that in 18 months and more -- at 18 months,</p> <p>17 and even more often at two years, an occasional</p> <p>18 suture has started to fragment. The entire suture</p> <p>19 does not break up, but small portions appear to</p> <p>20 separate from one edge.</p> <p>21 Each minute fragment, although</p> <p>22 remaining in the vicinity, stimulates its own</p> <p>23 cellular reaction. This, of course, increases the</p> <p>24 grade of the tissue reaction so that it exceeds</p> <p>25 nylon.</p>	<p>1 MR. THOMAS: He already has.</p> <p>2 BY MR. THORNBURGH:</p> <p>3 Q. Now, if we go back to Exhibit</p> <p>4 Number 4012: Bruce, the e-mail from Dr. Divilio to</p> <p>5 John Gillespie.</p> <p>6 Who's John Gillespie?</p> <p>7 A. He worked in the Gynecare group,</p> <p>8 so...</p> <p>9 Q. And you were cc'd, weren't you?</p> <p>10 A. Yes.</p> <p>11 Q. And the subject of this e-mail is how</p> <p>12 inert is polypropylene, right?</p> <p>13 A. Yes.</p> <p>14 Q. Okay. Now, Dr. Divilio writes to</p> <p>15 John: Bruce Ramshaw from the University of Missouri</p> <p>16 is challenging our perception of polypropylene as an</p> <p>17 inert material after implantation.</p> <p>18 Do you recall other experts in the</p> <p>19 field who have evaluated and studied the</p> <p>20 potentiation of polypropylene degradation having a</p> <p>21 different position than Ethicon has currently in</p> <p>22 this litigation?</p> <p>23 MR. THOMAS: Object to the form of</p> <p>24 the question.</p> <p>25 THE WITNESS: Yeah. You'll have</p>
Page 380	Page 382
<p>1 So Dr. Postlethwait, who personally</p> <p>2 studied this issue with polypropylene, found that</p> <p>3 fragments, no matter how minute, increases the grade</p> <p>4 of tissue reaction.</p> <p>5 Do you disagree with Dr.</p> <p>6 Postlethwait's statement here?</p> <p>7 MR. THOMAS: Object to the form of</p> <p>8 the question.</p> <p>9 THE WITNESS: He says: This, of</p> <p>10 course, increases the grade of the tissue reaction</p> <p>11 so that it exceeds nylon.</p> <p>12 BY MR. THORNBURGH:</p> <p>13 Q. It increases the tissue reaction,</p> <p>14 correct?</p> <p>15 MR. THOMAS: Object to the form of</p> <p>16 the question.</p> <p>17 THE WITNESS: To exceed nylon, which</p> <p>18 I know has virtually little reaction.</p> <p>19 BY MR. THORNBURGH:</p> <p>20 Q. It increases the tissue reaction,</p> <p>21 correct?</p> <p>22 A. Yes.</p> <p>23 Q. You would agree with that statement,</p> <p>24 wouldn't you?</p> <p>25 A. Yes.</p>	<p>1 to -- are we talking about this memo, or is it a</p> <p>2 standalone question?</p> <p>3 BY MR. THORNBURGH:</p> <p>4 Q. Standalone question first.</p> <p>5 A. And that would be?</p> <p>6 Q. Experts in the field who study</p> <p>7 degradation of polypropylene have a different</p> <p>8 position than Ethicon is taking through you in this</p> <p>9 litigation, correct?</p> <p>10 MR. THOMAS: Object to the form of</p> <p>11 the question.</p> <p>12 THE WITNESS: The position that</p> <p>13 Ethicon is taking, there's no impact on molecular</p> <p>14 weight or tensile strength. I don't know of other</p> <p>15 investigators that demonstrate with Prolene</p> <p>16 polypropylene fiber a loss of molecular weight and</p> <p>17 loss in tensile strength.</p> <p>18 BY MR. THORNBURGH:</p> <p>19 Q. Are you saying Ethicon that is not</p> <p>20 taking the position that the surface layer of the</p> <p>21 polypropylene fibers does, in fact, crack and can</p> <p>22 peel away from the surface of the fibers?</p> <p>23 MR. THOMAS: Object to the form of</p> <p>24 the question.</p> <p>25 THE WITNESS: We can look at the</p>

Confidential - Subject to Protective Order

Page 383	Page 385
<p>1 details of the seven-year dog study which do show</p> <p>2 surface changes in some of the fibers from some of</p> <p>3 the dogs.</p> <p>4 MR. THOMAS: Excuse me --</p> <p>5 THE WITNESS: In the absence --</p> <p>6 MR. THORNBURGH: I thought he was</p> <p>7 done, Dave.</p> <p>8 THE WITNESS: In the absence of</p> <p>9 impact of molecular weight or tensile strength.</p> <p>10 BY MR. THORNBURGH:</p> <p>11 Q. Right. But you agree Ethicon -- as a</p> <p>12 spokesperson for Ethicon, that the surface of the</p> <p>13 polymer fibers can, in fact, crack and peel away</p> <p>14 into the surrounding tissue of either the patient or</p> <p>15 an animal?</p> <p>16 MR. THOMAS: Object to the form of</p> <p>17 the question.</p> <p>18 THE WITNESS: I recall observations</p> <p>19 of surface cracking in the seven-year dog study, but</p> <p>20 I don't recall any discussion of surface peeling</p> <p>21 away and -- to your -- to your detail.</p> <p>22 BY MR. THORNBURGH:</p> <p>23 Q. Well, we'll look -- we'll look at</p> <p>24 some other studies here in a moment. But let me at</p> <p>25 least understand Ethicon's position with respect to</p>	<p>1 question.</p> <p>2 BY MR. THORNBURGH:</p> <p>3 Q. Do you agree as a spokesperson for</p> <p>4 Ethicon that the polymer fibers can crack?</p> <p>5 MR. THOMAS: Object to the form of</p> <p>6 the question.</p> <p>7 THE WITNESS: I think I just answered</p> <p>8 that --</p> <p>9 BY MR. THORNBURGH:</p> <p>10 Q. Yes or no?</p> <p>11 A. I think I just answered that those</p> <p>12 observations are in the seven-year dog study. So we</p> <p>13 can look at those details if you care to.</p> <p>14 Q. So you would agree as a</p> <p>15 spokesperson -- as a 30(b)(6) person for Ethicon</p> <p>16 that the surface of polymer fibers, including the</p> <p>17 polypropylene fibers in TVT, can crack?</p> <p>18 MR. THOMAS: Object to the form of</p> <p>19 the question.</p> <p>20 THE WITNESS: Yes.</p> <p>21 BY MR. THORNBURGH:</p> <p>22 Q. And you would agree that if fragments</p> <p>23 come off of the polypropylene fibers, including the</p> <p>24 polypropylene fibers in TVT, that that could</p> <p>25 increase or that could cause each minute fragment to</p>
Page 384	Page 386
<p>1 surface cracking.</p> <p>2 Is it Ethicon's position that the</p> <p>3 polymer fiber surface can, in fact, crack?</p> <p>4 MR. THOMAS: Object to the form of</p> <p>5 the question.</p> <p>6 THE WITNESS: Such observations were</p> <p>7 made in the seven-year dog study.</p> <p>8 BY MR. THORNBURGH:</p> <p>9 Q. So it's Ethicon's position that the</p> <p>10 polymer fibers can crack, right?</p> <p>11 MR. THOMAS: Object to the form of</p> <p>12 the question.</p> <p>13 THE WITNESS: Again, the seven-year</p> <p>14 dog study talks about surface changes. The etiology</p> <p>15 of those changes or their significance are not</p> <p>16 discussed in detail other than to follow up on that</p> <p>17 observation and look at more important quantitative</p> <p>18 parameters, like molecular weight and tensile</p> <p>19 strength, and those two parameters were not</p> <p>20 adversely affected.</p> <p>21 BY MR. THORNBURGH:</p> <p>22 Q. I know you want to try to frame the</p> <p>23 position most favorable to Ethicon, but listen to my</p> <p>24 question. Okay?</p> <p>25 MR. THOMAS: Please don't load the</p>	<p>1 stimulate its own cellular reaction. You would</p> <p>2 agree with that, right?</p> <p>3 MR. THOMAS: Object to the form of</p> <p>4 the question.</p> <p>5 THE WITNESS: No. There's no</p> <p>6 evidence that there's -- in the seven-year dog study</p> <p>7 that material that is coming from the surface other</p> <p>8 than showing surface changes in the form of -- of</p> <p>9 cracking.</p> <p>10 I should add that in the Prolene</p> <p>11 suture NDA, observations of polypropylene fragments</p> <p>12 were observed and reported to the FDA. And they</p> <p>13 were felt to be related to this swaging process or</p> <p>14 the cutting of suture strands to length, and a</p> <p>15 fragment would be attached to the suture and get</p> <p>16 inadvertently implanted.</p> <p>17 I should also point out in the</p> <p>18 Postlethwait study that we just discussed,</p> <p>19 Exhibit 2251, ETH.MESH.10575764, at the top of the</p> <p>20 page, right after the discussion section where it</p> <p>21 says that there are fragments which increase the</p> <p>22 tissue reaction -- at the top of the page, it says:</p> <p>23 In correspondence with the</p> <p>24 manufacturer, it was learned that these sutures were</p> <p>25 the first extruded from the first shipment of</p>

Confidential - Subject to Protective Order

Page 387	Page 389
<p>1 polypropylene. Subsequently, changes have been made</p> <p>2 to improve the extrusion process. It is believed</p> <p>3 that fragmentation will not occur with the presently</p> <p>4 available sutures. Additional long-term studies</p> <p>5 have been initiated, however.</p> <p>6 And then, parenthetically, the</p> <p>7 polypropylene did retain tensile strength.</p> <p>8 BY MR. THORNBURGH:</p> <p>9 Q. It still increased the inflammatory</p> <p>10 response, didn't they?</p> <p>11 MR. THOMAS: Object to the form of</p> <p>12 the question.</p> <p>13 THE WITNESS: An individual fragment</p> <p>14 adjacent to a strand of polypropylene -- Prolene</p> <p>15 polypropylene fiber will add to the inflammatory</p> <p>16 reaction just like there is an inflammatory reaction</p> <p>17 to the suture fiber itself.</p> <p>18 That's wholly different than what</p> <p>19 you're talking about when you suggest that there's</p> <p>20 surface cracking and sloughing of the surface,</p> <p>21 releasing many particles.</p> <p>22 If that's the case, that observation</p> <p>23 would have been observed -- that observation of</p> <p>24 increased tissue reaction would have been observed</p> <p>25 in the 49 studies that we've compiled to demonstrate</p>	<p>1 products.</p> <p>2 Q. Don't you think surgeons should know</p> <p>3 that the -- that the surface layer of the TVT mesh,</p> <p>4 a device that's being implanted permanently in</p> <p>5 women's pelvises -- don't you think they should know</p> <p>6 and be made aware that, in fact, the tissue enzymes</p> <p>7 can cause the surface layer of the TVT to crack?</p> <p>8 MR. THOMAS: Object to the form of</p> <p>9 the question; scope.</p> <p>10 THE WITNESS: To the first part of</p> <p>11 your question, no, I don't think they care...if,</p> <p>12 there's no impact on molecular weight and there's no</p> <p>13 increase -- there's no decrease in tensile strength.</p> <p>14 And all the tissue reaction studies show a very</p> <p>15 minimal tissue reaction and, in fact, a diminution</p> <p>16 of that reaction over time.</p> <p>17 BY MR. THORNBURGH:</p> <p>18 Q. You don't think physicians should be</p> <p>19 made aware of the potential of degradation of the --</p> <p>20 or surface cracking of the polymer fibers that's</p> <p>21 being used as a permanent implant in women's</p> <p>22 pelvises? That's what you're telling the ladies and</p> <p>23 gentlemen of this jury?</p> <p>24 MR. THOMAS: Excuse me. Object to</p> <p>25 the form of the question; scope.</p>
Page 388	Page 390
<p>1 that, in fact, that that does not occur; and, in</p> <p>2 fact, there's a diminution of the tissue reaction</p> <p>3 over time in many cases from Ethicon's data and as</p> <p>4 called out by FDA in the reclassification.</p> <p>5 MR. THORNBURGH: Move to strike.</p> <p>6 BY MR. THORNBURGH:</p> <p>7 Q. We're going to be here a long day if</p> <p>8 you keep on going on this platform and speaking when</p> <p>9 there's not even a question pending.</p> <p>10 MR. THOMAS: Please don't lecture the</p> <p>11 witness.</p> <p>12 MR. THORNBURGH: Move to strike.</p> <p>13 MR. THOMAS: Please don't lecture the</p> <p>14 witness.</p> <p>15 BY MR. THORNBURGH:</p> <p>16 Q. Dr. Barbolt, where in this section in</p> <p>17 the IFU that talks about degradation does Ethicon</p> <p>18 warn physicians that the surface layer of the</p> <p>19 Prolene in the TVT mesh can crack?</p> <p>20 MR. THOMAS: Object to the form of</p> <p>21 the question; scope.</p> <p>22 BY MR. THORNBURGH:</p> <p>23 Q. It's not in there, is it?</p> <p>24 A. This is an IFU intended to provide</p> <p>25 the most useful information to surgeons who use our</p>	<p>1 THE WITNESS: Could you repeat the</p> <p>2 question?</p> <p>3 BY MR. THORNBURGH:</p> <p>4 Q. Yeah. Let me say it this way.</p> <p>5 Ethicon chose not to include</p> <p>6 information in this section from animal studies that</p> <p>7 showed that the -- that the Prolene and</p> <p>8 polypropylene surface area can crack, right?</p> <p>9 MR. THOMAS: Object to the form of</p> <p>10 the question.</p> <p>11 THE WITNESS: I believe that Ethicon</p> <p>12 did not feel that that was important information to</p> <p>13 put in the instructions for use.</p> <p>14 BY MR. THORNBURGH:</p> <p>15 Q. And because that information wasn't</p> <p>16 put into the -- and because Ethicon chose not to put</p> <p>17 that information in the IFU, that information,</p> <p>18 therefore, did not make it to the physicians?</p> <p>19 MR. THOMAS: Object to the form of</p> <p>20 the question; scope.</p> <p>21 BY MR. THORNBURGH:</p> <p>22 Q. Correct?</p> <p>23 A. That level of detail was not provided</p> <p>24 in the package insert.</p> <p>25 MR. THORNBURGH: I have to use the</p>

25 (Pages 387 to 390)

Confidential - Subject to Protective Order

Page 391	Page 393
<p>1 restroom.</p> <p>2 THE VIDEOGRAPHER: Off the video</p> <p>3 record. The time is 11:18.</p> <p>4 (Short break.)</p> <p>5 THE VIDEOGRAPHER: Back on the video</p> <p>6 record. It's 11:24.</p> <p>7 BY MR. THORNBURGH:</p> <p>8 Q. Now, Doctor, you made a statement a</p> <p>9 moment ago regarding the Postlethwait publication</p> <p>10 study, that changes were made by the manufacturers</p> <p>11 subsequent to this study, correct?</p> <p>12 A. Yes, as I read from the publication.</p> <p>13 Q. And this study was 1969, right?</p> <p>14 A. Yes. A Prolene suture was just being</p> <p>15 released as a new product.</p> <p>16 Q. Okay. Now --</p> <p>17 MR. THORNBURGH: I'll go ahead and</p> <p>18 mark as exhibit -- Exhibit Number 2252...</p> <p>19 (Document marked for identification</p> <p>20 as Exhibit T-2252.)</p> <p>21 MR. THORNBURGH: ... the five-year</p> <p>22 data from the ten-year dog study.</p> <p>23 Mr. Thomas.</p> <p>24 MR. THOMAS: Can I have a copy,</p> <p>25 please?</p>	<p>1 the question; scope.</p> <p>2 THE WITNESS: I don't think oxidation</p> <p>3 was an issue that needed to be corrected.</p> <p>4 BY MR. THORNBURGH:</p> <p>5 Q. Well, surface cracking was, right?</p> <p>6 MR. THOMAS: Object to the form of</p> <p>7 the question.</p> <p>8 THE WITNESS: What we were discussing</p> <p>9 before was fragmentation, and I see that as totally</p> <p>10 different than observations of surface cracking.</p> <p>11 BY MR. THORNBURGH:</p> <p>12 Q. Okay.</p> <p>13 A. Fragmentation is a growth fragment of</p> <p>14 the suture. Surface cracking is a very subtle</p> <p>15 observation of what looks like surface cracking.</p> <p>16 Q. You agree with me that by 1985,</p> <p>17 Ethicon would have added antioxidants, like</p> <p>18 Santonox R and Procol or Lubrol, to their resin</p> <p>19 during the manufacturing process to prevent</p> <p>20 oxidation, right?</p> <p>21 A. Antioxidant package was added at the</p> <p>22 very beginning of the development of the Prolene</p> <p>23 suture and has remained basically unchanged.</p> <p>24 Q. And as we discussed earlier, you</p> <p>25 agree that the antioxidants, including Santonox R</p>
Page 392	Page 394
<p>1 MR. THORNBURGH: Yes.</p> <p>2 BY MR. THORNBURGH:</p> <p>3 Q. I'm sorry. Hold on. Yeah.</p> <p>4 Okay. Now, this document is the --</p> <p>5 is the five-year data from the ten-year dog study</p> <p>6 that we've been alluding to all along, right?</p> <p>7 A. Yes.</p> <p>8 Q. And this is the study that you</p> <p>9 testified showed cracks in the surface layer, outer</p> <p>10 surface layer, of the polypropylene sutures,</p> <p>11 correct?</p> <p>12 MR. THOMAS: Object to the form of</p> <p>13 the question.</p> <p>14 THE WITNESS: As indicated in the</p> <p>15 reports, right.</p> <p>16 BY MR. THORNBURGH:</p> <p>17 Q. And this study was -- began in 1985.</p> <p>18 Do you see that?</p> <p>19 A. Yes.</p> <p>20 Q. Okay. That -- that's like 16 years</p> <p>21 after the Postlethwait publication. And presumably</p> <p>22 by this point, the manufacturers, including Ethicon,</p> <p>23 had made the necessary changes to their Prolene</p> <p>24 suture to prevent oxidation, right?</p> <p>25 MR. THOMAS: Object to the form of</p>	<p>1 and Lubrol and Procol, can leach out of the mesh or</p> <p>2 suture fibers into the surrounding tissue of the</p> <p>3 host, right?</p> <p>4 MR. THOMAS: Object to the form of</p> <p>5 the question.</p> <p>6 THE WITNESS: Yes. I think there's</p> <p>7 evidence of leaching.</p> <p>8 BY MR. THORNBURGH:</p> <p>9 Q. All right. And in this study,</p> <p>10 despite the antioxidants being added to the Prolene</p> <p>11 sutures, the surface layer or outer surface of the</p> <p>12 polypropylene fibers cracked, correct?</p> <p>13 MR. THOMAS: Object to the form of</p> <p>14 the question.</p> <p>15 THE WITNESS: I want to look at the</p> <p>16 details of the report and...</p> <p>17 BY MR. THORNBURGH:</p> <p>18 Q. Did you see this before today?</p> <p>19 A. Yes.</p> <p>20 Q. Okay.</p> <p>21 A. I've not memorized every paragraph.</p> <p>22 Q. Let's go through it together.</p> <p>23 MR. THOMAS: Well, wait. There was a</p> <p>24 question pending. Do you want to withdraw it and</p> <p>25 ask another?</p>

26 (Pages 391 to 394)

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 395</p> <p>1 BY MR. THORNBURGH:</p> <p>2 Q. I think the question was...</p> <p>3 MR. THOMAS: Your question at 91, 11.</p> <p>4 BY MR. THORNBURGH:</p> <p>5 Q. In this study, despite the</p> <p>6 antioxidants being added to the Prolene sutures, the</p> <p>7 surface there or outer surface of the polypropylene</p> <p>8 fibers cracked, correct?</p> <p>9 MR. THOMAS: He never answered that</p> <p>10 question.</p> <p>11 THE WITNESS: Yes, and I want to take</p> <p>12 a look at the report so I can recall just what was</p> <p>13 written, because I am trying to reflect the report.</p> <p>14 BY MR. THORNBURGH:</p> <p>15 Q. Well, we can go through it together</p> <p>16 to help you answer that question.</p> <p>17 A. I am looking at the bottom of</p> <p>18 ETH.MESH.11336475, and looking at the conclusions,</p> <p>19 and then it says out of seven Prolene explants, two</p> <p>20 revealed cracking.</p> <p>21 Q. So the answer to my question is yes.</p> <p>22 MR. THOMAS: Object to the form of</p> <p>23 the question.</p> <p>24 THE WITNESS: This is a complete</p> <p>25 answer.</p>	<p style="text-align: right;">Page 397</p> <p>1 and discussion section, on Page 2 of Exhibit</p> <p>2 Number 2252, which is the five-year data, the</p> <p>3 investigator and author of this report writes that:</p> <p>4 A table is included in this report which summarizes</p> <p>5 the light microscopical observations. It can be</p> <p>6 said unequivocally that the cracking that was seen</p> <p>7 in any of the sutures was not introduced by sample</p> <p>8 preparation, i.e., drying.</p> <p>9 If cracking was observed on a dry</p> <p>10 suture in the light microscope or in the SEM --</p> <p>11 scanning electron microscopy -- the same cracking is</p> <p>12 also found on the same suture after it had been in</p> <p>13 body fluids and then in sterile water without ever</p> <p>14 having dried.</p> <p>15 So this reporter, the researcher at</p> <p>16 Ethicon, wrote that it can be said unequivocally</p> <p>17 that the cracks were not caused by the introduction</p> <p>18 by sample preparation, right?</p> <p>19 A. Yes. That's what it says.</p> <p>20 Q. And if we go to -- on the same page,</p> <p>21 if we go to the third section regarding SEM,</p> <p>22 scanning electronic microscopy, of PVDF explants, it</p> <p>23 was found that no cracking or abrasions were found</p> <p>24 on the PVDF sutures, correct?</p> <p>25 A. Yes. At this interval, that's</p>
<p style="text-align: right;">Page 396</p> <p>1 BY MR. THORNBURGH:</p> <p>2 Q. Despite the antioxidants being added</p> <p>3 to the Prolene sutures, in two of the Prolene</p> <p>4 sutures in the study, the surface layer was cracked,</p> <p>5 correct?</p> <p>6 MR. THOMAS: Object to the form of</p> <p>7 the question.</p> <p>8 THE WITNESS: Two revealed cracking,</p> <p>9 yes.</p> <p>10 BY MR. THORNBURGH:</p> <p>11 Q. And you aren't suggesting to the</p> <p>12 ladies and gentlemen of the jury that those cracks</p> <p>13 were anything other than the Prolene polypropylene,</p> <p>14 are you?</p> <p>15 A. No, I am not suggesting that, and</p> <p>16 that's not reflected in this report.</p> <p>17 Q. You would agree that the surface</p> <p>18 layer that's cracked here is the polypropylene</p> <p>19 surface layer, correct?</p> <p>20 MR. THOMAS: Object to the form of</p> <p>21 the question.</p> <p>22 THE WITNESS: In reading the report,</p> <p>23 it says that -- that's what I would conclude.</p> <p>24 BY MR. THORNBURGH:</p> <p>25 Q. And if we look back up at the results</p>	<p style="text-align: right;">Page 398</p> <p>1 correct.</p> <p>2 Q. But at this five-year interval, the</p> <p>3 scanning electron microscopy of Prolene explants on</p> <p>4 explants from dogs 2012 and 2018, a few cracked</p> <p>5 areas were observed. Both of these sutures came</p> <p>6 from Site 4. Do you see that?</p> <p>7 A. Yes.</p> <p>8 Q. And the conclusion that we discussed</p> <p>9 a moment ago was that after five years in vivo, the</p> <p>10 PVDF -- do you know what PVDF is?</p> <p>11 A. Yes.</p> <p>12 Q. That's a more stable, more inert</p> <p>13 fiber, isn't it?</p> <p>14 MR. THOMAS: Object to the form of</p> <p>15 the question.</p> <p>16 BY MR. THORNBURGH:</p> <p>17 Q. It's a polymer?</p> <p>18 MR. THOMAS: Object to the form of</p> <p>19 the question; scope.</p> <p>20 THE WITNESS: It is a very resistant</p> <p>21 to degradation kind of polymer and resistant to</p> <p>22 mechanical damage.</p> <p>23 BY MR. THORNBURGH:</p> <p>24 Q. More so than Prolene, correct?</p> <p>25 MR. THOMAS: Object to the form of</p>

27 (Pages 395 to 398)

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 399</p> <p>1 the question; scope.</p> <p>2 THE WITNESS: Yes.</p> <p>3 BY MR. THORNBURGH:</p> <p>4 Q. And the conclusion was that after</p> <p>5 five years in vivo, the PVDF 5-0 suture was the only</p> <p>6 explanted material from the five dogs which did not</p> <p>7 show any surface damage due to degradation. Out of</p> <p>8 seven Prolene explants, two revealed cracking.</p> <p>9 So in this study, at the five year --</p> <p>10 the two-year data in this study didn't show evidence</p> <p>11 of cracking, but the five-year data, the long-term</p> <p>12 data, showed evidence of cracking of the Prolene</p> <p>13 sutures, correct?</p> <p>14 A. Yes. That's what it says.</p> <p>15 Q. And here is the table that was</p> <p>16 referenced by the study investigator which shows</p> <p>17 cracking on the Prolene fibers. Do you see that?</p> <p>18 A. Yes.</p> <p>19 Q. Finally, on ETH.MESH. number ending</p> <p>20 in 6483, there are -- there is SEM images, though</p> <p>21 they're black and white, they show the cracking that</p> <p>22 was observed in the five-year data. Do you see</p> <p>23 that?</p> <p>24 MR. THOMAS: What page are you on?</p> <p>25 I'm sorry.</p>	<p style="text-align: right;">Page 401</p> <p>1 THE WITNESS: None shown.</p> <p>2 BY MR. THORNBURGH:</p> <p>3 Q. Which would be consistent with your</p> <p>4 testimony that the PVDF polymer is a more inert</p> <p>5 polymer than Prolene polypropylene?</p> <p>6 MR. THOMAS: Object to the form of</p> <p>7 the question; scope.</p> <p>8 BY MR. THORNBURGH:</p> <p>9 Q. Right?</p> <p>10 A. Yes.</p> <p>11 Q. Finally, if we go to the conclusion</p> <p>12 page on the five-year data, ETH.MESH.11336487, the</p> <p>13 conclusion here is that after five years in vivo,</p> <p>14 the PVDF 5-0 suture was the only explanted material</p> <p>15 from five dogs which did not show any surface damage</p> <p>16 due to degradation.</p> <p>17 So here the study author is</p> <p>18 discussing degradation, right?</p> <p>19 MR. THOMAS: Object to the form of</p> <p>20 the question.</p> <p>21 THE WITNESS: Yes. It's as stated.</p> <p>22 BY MR. THORNBURGH:</p> <p>23 Q. And included in his analysis of</p> <p>24 degradation is his observation that the Prolene</p> <p>25 explants did show signs of degradation as a result</p>
<p style="text-align: right;">Page 400</p> <p>1 MR. THORNBURGH: ETH.MESH.6483.</p> <p>2 BY MR. THORNBURGH:</p> <p>3 Q. This is an upside down page, for some</p> <p>4 reason, but --</p> <p>5 A. Yes. I see it.</p> <p>6 Q. -- if you see Figure 6, Prolene</p> <p>7 explants, you can see the cracking, even in this</p> <p>8 poor copy image, of the Prolene polypropylene that</p> <p>9 was cracked on the surface of the sutures, right?</p> <p>10 MR. THOMAS: Object to the form of</p> <p>11 the question.</p> <p>12 THE WITNESS: Yes. I see that.</p> <p>13 BY MR. THORNBURGH:</p> <p>14 Q. Figure 4, ETH.MESH.6481, we have the</p> <p>15 PVDF explants, which you testified was a more inert</p> <p>16 polymer than polypropylene and Prolene</p> <p>17 polypropylene, which shows, really, fibers that look</p> <p>18 almost pristine, right?</p> <p>19 MR. THOMAS: Object to the form of</p> <p>20 the question.</p> <p>21 THE WITNESS: Yes.</p> <p>22 BY MR. THORNBURGH:</p> <p>23 Q. No crack, no surface cracking on the</p> <p>24 PVDF?</p> <p>25 MR. THOMAS: Same objection.</p>	<p style="text-align: right;">Page 402</p> <p>1 of the surface cracking on the outer layer of the</p> <p>2 polymer, correct?</p> <p>3 A. As reported.</p> <p>4 Q. Correct? Yes?</p> <p>5 A. Yes.</p> <p>6 Q. Now, this study and the findings in</p> <p>7 the study showing that the polypropylene can crack</p> <p>8 on the surface of the Prolene sutures was conducted</p> <p>9 nine -- approximately nine -- eight or nine years</p> <p>10 prior to the marketing of TVT, correct?</p> <p>11 A. Yes. August 10, 1990 is the date of</p> <p>12 the report.</p> <p>13 Q. And prior to Ethicon's claim in the</p> <p>14 1999 label that the material is not absorbed, nor is</p> <p>15 it subject to degradation or weakening by the action</p> <p>16 of tissue enzymes, correct?</p> <p>17 A. One cannot look at this -- this</p> <p>18 observation.</p> <p>19 Q. Yes or no, sir.</p> <p>20 A. I can't give a "yes" or "no" answer.</p> <p>21 Q. It's a really easy question.</p> <p>22 A. No, it's not.</p> <p>23 Q. The study -- the 1990 study was</p> <p>24 conducted nine years before the 1990 label which</p> <p>25 included the claim that the material is not</p>

Confidential - Subject to Protective Order

Page 403	Page 405
<p>1 absorbed, nor is it subject to degradation or</p> <p>2 weakening by action of tissue enzymes, correct?</p> <p>3 MR. THOMAS: He's just asking you now</p> <p>4 about the date, Tom, nothing more.</p> <p>5 THE WITNESS: The date is August 10,</p> <p>6 1990.</p> <p>7 BY MR. THORNBURGH:</p> <p>8 Q. Nine years prior to this claim in the</p> <p>9 IFU, correct?</p> <p>10 MR. THOMAS: Object to the form of</p> <p>11 the question.</p> <p>12 THE WITNESS: Yes.</p> <p>13 MR. THORNBURGH: Let's go ahead and</p> <p>14 mark the seven-year data.</p> <p>15 (Document marked for identification</p> <p>16 as Exhibit T-2253.)</p> <p>17 BY MR. THORNBURGH:</p> <p>18 Q. I marked the seven-year data</p> <p>19 ETH.MESH.11336034 as Exhibit 2253.</p> <p>20 Doctor, you've had an opportunity</p> <p>21 prior to coming into this room for your deposition</p> <p>22 to review the seven-year data for the ten-year</p> <p>23 Prolene dog study, correct?</p> <p>24 A. Yes.</p> <p>25 Q. And the seven-year data --</p>	<p>1 is not absorbed, nor is it subject to degradation or</p> <p>2 weakening by action of tissue enzymes. Correct?</p> <p>3 A. Yes.</p> <p>4 Q. And additional studies were performed</p> <p>5 on the Prolene sutures at this seven-year interval,</p> <p>6 correct?</p> <p>7 For example, IR microscopy was used</p> <p>8 to examine cracked areas in Ethilon, Novofil, and</p> <p>9 Prolene explants. And the conclusion written here</p> <p>10 or the findings summarized here is that the IR</p> <p>11 spectra obtained for cracked Prolene specimens,</p> <p>12 Figure A, showed possible evidence of slight</p> <p>13 oxidation with a broadened weak absorbance at about</p> <p>14 the 1560 range. Do you see that?</p> <p>15 MR. THOMAS: 1650 range.</p> <p>16 BY MR. THORNBURGH:</p> <p>17 Q. Yeah, 1650 range.</p> <p>18 A. Yes.</p> <p>19 Q. You see that, right?</p> <p>20 A. Yes.</p> <p>21 Q. So not only were -- did the sutures</p> <p>22 show evidence of surface cracking, but the IR</p> <p>23 spectra also showed that there was evidence of</p> <p>24 oxidation?</p> <p>25 MR. THOMAS: Object to the form of</p>
Page 404	Page 406
<p>1 MR. THOMAS: Just --</p> <p>2 MR. THORNBURGH: Sorry?</p> <p>3 MR. THOMAS: There's additional data</p> <p>4 reported at seven years. This is not the totality</p> <p>5 of the data. I wanted to make sure that you weren't</p> <p>6 representing that to be the totality of the data.</p> <p>7 MR. THORNBURGH: Well, that's -- in</p> <p>8 the report. This is the report.</p> <p>9 MR. THOMAS: It's not the totality of</p> <p>10 the data. There's seven-year data that's also been</p> <p>11 produced to you.</p> <p>12 MR. THORNBURGH: Well, I understand</p> <p>13 that. I understand that. We're going to talk about</p> <p>14 this report currently, and if there's a need to,</p> <p>15 I'll go to the other -- the other additional data.</p> <p>16 I don't know that there's a need to do that, but</p> <p>17 we'll get there, Dave. Don't worry.</p> <p>18 And if I don't cover something that</p> <p>19 you think is important, Dave, you'll have a chance</p> <p>20 to make those representations to the jury during</p> <p>21 your cross-examination or direct examination.</p> <p>22 BY MR. THORNBURGH:</p> <p>23 Q. Dr. Barbolt, October 15, 1992, that</p> <p>24 again is several years prior to the claim that was</p> <p>25 made in the IFU that we looked at that the material</p>	<p>1 the question.</p> <p>2 Read the complete sentence, please.</p> <p>3 MR. THORNBURGH: Dave, you'll have a</p> <p>4 chance to make representations. I am showing the</p> <p>5 jury IR spectra obtained for cracked Prolene</p> <p>6 specimen showed possible evidence of slight</p> <p>7 oxidation.</p> <p>8 MR. THOMAS: That is a proper</p> <p>9 reading --</p> <p>10 MR. THORNBURGH: Move to strike</p> <p>11 your -- move to strike your -- Dave, if you're going</p> <p>12 to try to make these speaking objections and</p> <p>13 suggesting answers to the witness, then I am going</p> <p>14 to call the Judge.</p> <p>15 MR. THOMAS: You call the Judge --</p> <p>16 MR. THORNBURGH: Okay?</p> <p>17 MR. THOMAS: -- because you are</p> <p>18 representing this to be something else.</p> <p>19 MR. THORNBURGH: Because speaking</p> <p>20 objections -- because speaking objections are</p> <p>21 inappropriate. The question remains especially when</p> <p>22 they suggest answers -- okay?</p> <p>23 MR. THOMAS: I certainly know the</p> <p>24 rules, Dan. I certainly know the rules. Thank you.</p> <p>25 Let's move on.</p>

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 407</p> <p>1 BY MR. THORNBURGH:</p> <p>2 Q. IR spectra showed possible evidence</p> <p>3 of slight oxidation, correct?</p> <p>4 A. Yes.</p> <p>5 Q. Okay. Now, there's also an</p> <p>6 observation regarding the other Ethilon and Novofil,</p> <p>7 which differed from uncracked areas. And the</p> <p>8 conclusion was, expected IR absorbances for</p> <p>9 oxidation would be masked by strong carbonyl</p> <p>10 absorbances normally observed in these sutures.</p> <p>11 So there's a discussion here that --</p> <p>12 of the -- what would be expected to be seen could be</p> <p>13 masked by strong carbonyl absorbances. Do you see</p> <p>14 that?</p> <p>15 MR. THOMAS: Object to the form of</p> <p>16 the question.</p> <p>17 THE WITNESS: Yes.</p> <p>18 BY MR. THORNBURGH:</p> <p>19 Q. And at the seven-year data, Ethicon's</p> <p>20 investigator found degradation in Prolene is still</p> <p>21 increasing in PVDF -- even though a few cracks were</p> <p>22 found, is still by far the most surface resistant</p> <p>23 in-house made suture in terms of cracking.</p> <p>24 That's the findings by Ethicon's</p> <p>25 investigator, right?</p>	<p style="text-align: right;">Page 409</p> <p>1 BY MR. THORNBURGH:</p> <p>2 Q. And that's Ethicon's position as</p> <p>3 you -- as the spokesperson for Ethicon, it's</p> <p>4 Ethicon's position that degradation, surface</p> <p>5 degradation, can occur, correct?</p> <p>6 MR. THOMAS: Object to the form of</p> <p>7 the question.</p> <p>8 THE WITNESS: Yes.</p> <p>9 BY MR. THORNBURGH:</p> <p>10 Q. And this was known well in advance of</p> <p>11 this statement that the material is not absorbed,</p> <p>12 nor is it subject to degradation, correct?</p> <p>13 A. Yes. This is from 1992.</p> <p>14 MR. THORNBURGH: Okay. Lunch break.</p> <p>15 THE VIDEOGRAPHER: We're now going</p> <p>16 off the video record. It's 11:48.</p> <p>17 (Lunch break.)</p> <p>18 THE VIDEOGRAPHER: We're back on the</p> <p>19 video record. It's now 12:43.</p> <p>20 BY MR. THORNBURGH:</p> <p>21 Q. Now, Doctor, I'd like to turn your</p> <p>22 attention back to the e-mail that we began to</p> <p>23 discuss earlier in your deposition, Exhibit</p> <p>24 Number T 4012.</p> <p>25 (Whereupon, a discussion was held off</p>
<p style="text-align: right;">Page 408</p> <p>1 A. Yes.</p> <p>2 Q. An employee for Ethicon who actually</p> <p>3 investigated degradation of Prolene sutures and came</p> <p>4 to the conclusion that degradation is occurring in</p> <p>5 Prolene, right?</p> <p>6 MR. THOMAS: Object to the form of</p> <p>7 the question.</p> <p>8 BY MR. THORNBURGH:</p> <p>9 Q. Do you see that?</p> <p>10 A. Yes, I see that. Surface</p> <p>11 degradation, and they're making a reference to</p> <p>12 surface degradation. Yep. I see it.</p> <p>13 Q. So you agree as the person for</p> <p>14 Ethicon who's looked at these studies that surface</p> <p>15 degradation can occur on the Prolene polypropylene,</p> <p>16 correct?</p> <p>17 A. That was a surface change observed in</p> <p>18 this report and so reported.</p> <p>19 Q. And so you agree that surface</p> <p>20 degradation can occur in the Prolene polypropylene</p> <p>21 that's contained in the TVT meshes, correct?</p> <p>22 MR. THOMAS: Object to the form of</p> <p>23 the question.</p> <p>24 THE WITNESS: That's the data in this</p> <p>25 report reflecting the SEM parameters evaluated.</p>	<p style="text-align: right;">Page 410</p> <p>1 the record.)</p> <p>2 THE WITNESS: Okay.</p> <p>3 BY MR. THORNBURGH:</p> <p>4 Q. Now, this e-mail --</p> <p>5 MR. THOMAS: Give me just a half a</p> <p>6 second to get back on the same page.</p> <p>7 Thank you. I am ready.</p> <p>8 BY MR. THORNBURGH:</p> <p>9 Q. This e-mail is again from</p> <p>10 Dr. Divilio, and you were copied on this e-mail,</p> <p>11 right?</p> <p>12 A. Yes.</p> <p>13 Q. In 2007, correct?</p> <p>14 A. Yes.</p> <p>15 Q. And the e-mail says: Bruce Ramshaw</p> <p>16 from the University of Missouri is challenging our</p> <p>17 perception of polypropylene as an inert material</p> <p>18 after implantation. In a recent article, his group</p> <p>19 looked at explanted polypropylene from a Bard</p> <p>20 Composix mesh under EM, electron microscopy, and</p> <p>21 found that the surface of the fibers had been</p> <p>22 altered with respect to the pristine material with</p> <p>23 evidence of blistering and increased surface</p> <p>24 roughness, possibly due to oxidation.</p> <p>25 Now, this is the same finding or</p>

30 (Pages 407 to 410)

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 411</p> <p>1 similar findings, at the very least, that were made 2 in the five-year and seven-year, ten-year dog study, 3 correct? 4 MR. THOMAS: Object to the form of 5 the question. 6 THE WITNESS: No. In that study, 7 there was descriptions like surface cracking. I 8 don't see that here. 9 BY MR. THORNBURGH: 10 Q. Well, it says: The surface of the 11 fibers had been altered with respect to the pristine 12 material. 13 That could include and would include 14 surface cracking, wouldn't it? 15 MR. THOMAS: Object to the form of 16 the question. 17 THE WITNESS: As I read forward, it 18 says -- and they define what they mean by alteration 19 by saying evidence of blistering and increased 20 surface roughness, possibly due to oxidation. 21 BY MR. THORNBURGH: 22 Q. Like surface cracking, sir, correct? 23 MR. THOMAS: Object to the form of 24 the question. 25 THE WITNESS: I see that the words</p>	<p style="text-align: right;">Page 413</p> <p>1 no changes were in molecular weight and tensile 2 strength. So they might have been in this memo 3 making reference to the more important quantitative 4 parameters like molecular weight and tensile 5 strength. 6 BY MR. THORNBURGH: 7 Q. Well, Dan Burkley found in the 8 seven-year data that there was degradation in the 9 Prolene, right? 10 MR. THOMAS: Object to the form of 11 the question. 12 THE WITNESS: That's in the report. 13 That's an observation. That's a component of the 14 parameters investigated in this study. 15 BY MR. THORNBURGH: 16 Q. The statement made by Dr. Divilio 17 that we had previously implanted Prolene suture into 18 dogs, and explants after ten years revealed no 19 changes in the material, is not a completely true 20 statement, is it? 21 MR. THOMAS: Object to the form of 22 the question. 23 THE WITNESS: I don't know what he 24 meant by that statement. I can't speak for him. 25 BY MR. THORNBURGH:</p>
<p style="text-align: right;">Page 412</p> <p>1 are different. 2 BY MR. THORNBURGH: 3 Q. Nevertheless, it goes on to write: 4 We previously had implanted Prolene suture into 5 dogs, and explants after ten years revealed no 6 changes in the material. 7 That's not a true statement, is it? 8 MR. THOMAS: Object to the form of 9 the question. 10 THE WITNESS: Well, as we discussed, 11 there were some changes that were observed on the 12 surface. 13 BY MR. THORNBURGH: 14 Q. Surface degradation, correct? 15 MR. THOMAS: Object to the form of 16 the question. 17 THE WITNESS: I think that's part of 18 that report. 19 BY MR. THORNBURGH: 20 Q. So that's not a true statement, that 21 Ethicon had not seen changes in the material, in the 22 ten-year data, correct? 23 MR. THOMAS: Object to the form of 24 the question. 25 THE WITNESS: Well, where there were</p>	<p style="text-align: right;">Page 414</p> <p>1 Q. Well, there are certainly changes 2 seen by Dan Burkley in the study, correct? 3 MR. THOMAS: Object to the form of 4 the question. 5 THE WITNESS: Surface changes were 6 observed. 7 BY MR. THORNBURGH: 8 Q. Degradation was observed, correct? 9 MR. THOMAS: Object to the form of 10 the question. 11 THE WITNESS: As noted in the report. 12 BY MR. THORNBURGH: 13 Q. Degradation was observed? Yes or no? 14 MR. THOMAS: Object to the form of 15 the question. 16 THE WITNESS: Could you pull up that 17 previous screen? 18 BY MR. THORNBURGH: 19 Q. Degradation in Prolene? 20 A. Yes. 21 Q. The e-mail goes on by Dr. Divilio, 22 who says: I am wondering if the effects that 23 Ramshaw, et al., are seeing are due to the abrasions 24 of fiber against fiber in a mesh construct due to 25 flexing that occurs after implantation, trauma to</p>

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 415</p> <p>1 the mesh as a result of implantation from a patient, 2 or actual oxidation. I think it's important that we 3 understand what they're seeing, as this group has a 4 well-funded lab that will be looking at explanted 5 mesh in great volume over the next couple of years, 6 and our current concepts are going to be challenged. 7 Do you see that there? 8 A. Yes. 9 Q. Do you recall this e-mail? 10 A. No, I do not, although it's important 11 to note that they're talking about Bard Composix 12 mesh, which is a multi-component mesh, and it's not 13 Prolene polypropylene mesh. 14 Q. Well, you're familiar with the 15 Costello studies that found degradation of the 16 polypropylene, correct? 17 MR. THOMAS: Object to the form of 18 the question. 19 BY MR. THORNBURGH: 20 Q. You understand that Costello was 21 working with the Ramshaw group? 22 MR. THOMAS: Object to the form of 23 the question. 24 THE WITNESS: I am trying to recall 25 the detail. Let's look at the Costello paper.</p>	<p style="text-align: right;">Page 417</p> <p>1 don't understand the question. Object to the form 2 of the question. 3 BY MR. THORNBURGH: 4 Q. You're talking about generic with 5 respect to additive packages. You'd agree that the 6 Prolene that was used in the seven -- the five-year, 7 ten-year results, and the seven-year, ten-year dog 8 results also had the antioxidant additives, correct? 9 A. Yes, and I believe the additive 10 package is what prevented a loss of molecular weight 11 and tensile strength. 12 Q. It didn't prevent surface 13 degradation, did it? 14 MR. THOMAS: Object to the form of 15 the question. 16 THE WITNESS: Well, there is evidence 17 that it did not. 18 BY MR. THORNBURGH: 19 Q. So Dr. Dieter -- am I pronouncing his 20 name correctly? 21 A. Dieter Engel. 22 Q. Dieter Engel? Dr. Engel, he's a 23 doctor from Germany, right? 24 A. He was head of the R&D group for a 25 while.</p>
<p style="text-align: right;">Page 416</p> <p>1 BY MR. THORNBURGH: 2 Q. Well, I'm just asking you -- we'll 3 look at the Costello paper. 4 A. Okay. Okay. 5 Q. I'm asking you: Are you aware 6 sitting here right now, based on your memory, 7 whether or not the polypropylene in the Costello 8 study showed evidence of surface degradation? 9 MR. THOMAS: Object to the form of 10 the question; scope. 11 THE WITNESS: First, I thought it was 12 the Bard product. You can correct me -- 13 BY MR. THORNBURGH: 14 Q. Polypropylene. My question to you is 15 polypropylene. 16 A. Polypropylene -- polypropylenes are 17 not generic substances. They're very different, 18 depending on an additive package that's required to 19 provide stabilization, manufacturing process, aid, 20 so on and so forth. So I would not equate Prolene 21 polypropylene with any other manufacturer's 22 polypropylene. 23 Q. Like the additive package in the 24 Prolene? 25 MR. THOMAS: What's the question? I</p>	<p style="text-align: right;">Page 418</p> <p>1 Q. For Ethicon, correct? 2 A. Yes. 3 Q. And Dr. Engel, on July 6, 2007, 4 responds. And you're copied on this e-mail, right? 5 Do you see that? 6 A. Yes. 7 Q. Tom, thanks for checking back and 8 asking for my scientific perspective. 9 There have been a number of anecdotal 10 reports that polypropylene mesh shows some changes 11 in the surface with time, including Ethicon's own 12 internal studies. 13 Correct? 14 MR. THOMAS: Object to the form of 15 the question; scope. 16 THE WITNESS: Anecdotal reports? 17 BY MR. THORNBURGH: 18 Q. You'd agree that the seven-year -- 19 the five-year data and seven-year data from the 20 ten-year dog studies isn't anecdotal; that's an 21 actual scientific experiment that found surface 22 degradation. Correct? 23 A. Yes. There were observations of 24 surface cracking and degradation. 25 Q. Dr. Engel goes on to say the Aachen</p>

Confidential - Subject to Protective Order

Page 419	Page 421
<p>1 group -- which would include Doctors -- Professors 2 Klinge and Klosterhalfen, right? 3 A. Yes. They were with the Aachen group 4 for some time. 5 Q. The Aachen group, who has so far 6 collected more than a thousand explanted meshes, 7 showed examples many years back. Do you see that? 8 A. Yes. 9 Q. You understand, don't you, that the 10 Aachen group, including Klinge and Klosterhalfen, 11 were consultants paid by Ethicon to evaluate 12 polypropylene meshes, don't you? 13 MR. THOMAS: Object to the form of 14 the question. 15 THE WITNESS: That's my 16 understanding. 17 BY MR. THORNBURGH: 18 Q. And when -- during the time that 19 Dr. Klosterhalfen was a consultant for Ethicon, he 20 evaluated a thousand explanted meshes which also 21 showed degradation? 22 MR. THOMAS: Object to the form of 23 the question. 24 BY MR. THORNBURGH: 25 Q. Do you understand that, sir?</p>	<p>1 tests in-house with accelerated aging, too, and 2 found microscopic changes in the surface of the mesh 3 fibers. 4 So there are additional studies 5 according to Dr. Engel of -- by Ethicon which also 6 showed surface degradation, correct? 7 MR. THOMAS: Object to the form of 8 the question. 9 THE WITNESS: Yes. He's talking 10 about accelerated aging in conditions of increased 11 temperature with the intention to increase any 12 impacts of aging. 13 BY MR. THORNBURGH: 14 Q. Did you include any of those in-house 15 accelerated aging studies in your list of studies 16 regarding degradation that found microscopic changes 17 in the surface of the mesh? 18 A. I am not aware of them. I did not 19 include it in any of these documents. 20 Q. In fact, you did not include those 21 studies in your material related to this question of 22 degradation, did you? 23 MR. THOMAS: Object to the form of 24 the question; asked and answered. 25 THE WITNESS: I just said that. I</p>
Page 420	Page 422
<p>1 A. These are human -- I am understanding 2 that they're human explants that he's then 3 investigated. I don't know who the manufacturers 4 were, what products they were, but I see the 5 statement, and it stands as is. 6 Q. Human explants evaluating who? 7 Human explants will provide more 8 reliable clinical evidence, both of degradation and 9 the materials than your animal studies, won't they? 10 MR. THOMAS: Object to the form of 11 the question; scope. 12 THE WITNESS: No. No, I do not 13 believe that, because, typically, these are meshes 14 or products explanted for a particular reason. 15 Likely, they failed. It could be an infected site. 16 The best way in a preclinical way to 17 understand the intrinsic characteristics of 18 materials is to implant them in very controlled 19 animal model systems. 20 BY MR. THORNBURGH: 21 Q. Did you ever look at any explanted 22 meshes from humans? 23 A. No, other than photographs or photo 24 micrographs and publications discussing such cases. 25 Q. Dr. Engel says: We did different</p>	<p>1 just said that. 2 BY MR. THORNBURGH: 3 Q. Why didn't you include those studies 4 in your list -- 5 MR. THOMAS: Object to the form of 6 the question. 7 BY MR. THORNBURGH: 8 Q. -- or in your binder regarding the 9 statement or the claims by Ethicon that the Prolene 10 in the TVT will not degrade? 11 A. The literature searches conducted 12 that form the basis for the documents that are 13 compiled here were a search of the Ethicon corporate 14 R&D central files. I was not aware of any studies 15 done in Germany that might have impact or contribute 16 knowledge about these topics. If I had, they would 17 have been included. 18 Q. They're not included, correct? 19 MR. THOMAS: Object to the form of 20 the question; asked and answered. 21 BY MR. THORNBURGH: 22 Q. You haven't even had a chance to 23 review those studies, have you? 24 A. Well, the first question is that I 25 have not -- they're not included.</p>

Confidential - Subject to Protective Order

Page 423	Page 425
<p>1 And the second, I've not reviewed</p> <p>2 them.</p> <p>3 MR. THORNBURGH: Counsel, I'd like</p> <p>4 production of these in-house studies that showed</p> <p>5 microscopic changes in the surface of the mesh</p> <p>6 fibers using the accelerated aging method.</p> <p>7 MR. THOMAS: As I told you yesterday</p> <p>8 at the conclusion of the deposition, if you'd remind</p> <p>9 me what you've asked me for, we'll respond</p> <p>10 appropriately.</p> <p>11 MR. THORNBURGH: I had to make a note</p> <p>12 so I could remember to remind you to produce those.</p> <p>13 MR. THOMAS: I won't do it unless you</p> <p>14 remind me. I'll forget.</p> <p>15 MR. THORNBURGH: Well, they should</p> <p>16 have been produced already.</p> <p>17 MR. THOMAS: Please.</p> <p>18 MR. THORNBURGH: Well, they should</p> <p>19 have.</p> <p>20 BY MR. THORNBURGH:</p> <p>21 Q. We did different tests in-house with</p> <p>22 accelerated aging, too, and found microscopic</p> <p>23 changes in the surface of the mesh fiber.</p> <p>24 What is happening is related to the</p> <p>25 specific stretching of the fibers when producing</p>	<p>1 BY MR. THORNBURGH:</p> <p>2 Q. Well, this would certainly indicate</p> <p>3 that Dr. Engel is requesting that no additional</p> <p>4 studies be done to generate extra data, correct?</p> <p>5 MR. THOMAS: Object to the form of</p> <p>6 the question.</p> <p>7 THE WITNESS: Yes. And with good</p> <p>8 reason.</p> <p>9 BY MR. THORNBURGH:</p> <p>10 Q. Because you already knew that the</p> <p>11 surface layer of Prolene polypropylene is</p> <p>12 susceptible to surface degradation, correct?</p> <p>13 MR. THOMAS: Object to the form of</p> <p>14 the question.</p> <p>15 THE WITNESS: No. He says we</p> <p>16 understand the mechanism pretty well. No need to do</p> <p>17 further studies.</p> <p>18 BY MR. THORNBURGH:</p> <p>19 Q. Because Ethicon already knew that the</p> <p>20 surface layer of Prolene polypropylene is</p> <p>21 susceptible to surface degradation, correct?</p> <p>22 MR. THOMAS: Object to the form of</p> <p>23 the question.</p> <p>24 THE WITNESS: Yes.</p> <p>25 BY MR. THORNBURGH:</p>
Page 424	Page 426
<p>1 sutures. As you know, you have to stretch the</p> <p>2 fibers to a very high degree to get the required</p> <p>3 breaking strength. That leads to a very high</p> <p>4 orientation of the polymer chains and, in turn,</p> <p>5 makes the surface, the outer fibrils of material</p> <p>6 relatively susceptible to damage from mechanical</p> <p>7 stress.</p> <p>8 Do you see that?</p> <p>9 A. Yes.</p> <p>10 Q. You haven't looked at those studies,</p> <p>11 have you?</p> <p>12 A. No.</p> <p>13 Q. He goes on to write: All in all, I</p> <p>14 believe we understand the mechanism pretty well and</p> <p>15 wouldn't suggest to generate extra data.</p> <p>16 Do you see that?</p> <p>17 A. Yes.</p> <p>18 Q. Were you told by Ethicon -- you were</p> <p>19 included as part of this e-mail string. Were you</p> <p>20 told not to generate additional data regarding the</p> <p>21 potential degradation of Prolene polypropylene</p> <p>22 meshes?</p> <p>23 MR. THOMAS: Object to the form of</p> <p>24 the question.</p> <p>25 THE WITNESS: No.</p>	<p>1 Q. What is the future? We will change</p> <p>2 the material of our mesh and move to Pronova as the</p> <p>3 future material platform for mesh. Pronova has a</p> <p>4 reduced foreign body reaction compared to Prolene,</p> <p>5 as shown in several animal studies.</p> <p>6 Did you include the animal studies</p> <p>7 that showed that Pronova has a reduced foreign body</p> <p>8 reaction compared to Prolene in any of the studies</p> <p>9 you list in any of the binders that you brought with</p> <p>10 you today?</p> <p>11 MR. THOMAS: Object to the form of</p> <p>12 the question; scope.</p> <p>13 THE WITNESS: Yes. I've included</p> <p>14 three studies, one looking at Pronova suture</p> <p>15 compared to Prolene suture and Dormier repair in</p> <p>16 rabbits, intramuscular implantation study for six</p> <p>17 months in rats, and ophthalmic tissue reaction</p> <p>18 studies for 90 days in rats.</p> <p>19 BY MR. THORNBURGH:</p> <p>20 Q. Do you agree that with this</p> <p>21 statement, that Pronova has reduced foreign body</p> <p>22 reaction compared to Prolene --</p> <p>23 A. No, I did not.</p> <p>24 Q. -- as shown in several animal studies</p> <p>25 conducted by Ethicon?</p>

Confidential - Subject to Protective Order

Page 427	Page 429
<p>1 MR. THOMAS: Object to the form of</p> <p>2 the question.</p> <p>3 THE WITNESS: I've not seen those</p> <p>4 studies. The three studies that Ethicon has</p> <p>5 conducted that I just mentioned show comparable</p> <p>6 tissue reaction to Prolene suture.</p> <p>7 BY MR. THORNBURGH:</p> <p>8 Q. You did not include in any of your</p> <p>9 binders that you brought with you the several animal</p> <p>10 studies that show that Pronova has reduced foreign</p> <p>11 body reaction compared to Prolene, did you, sir?</p> <p>12 MR. THOMAS: Object to the form of</p> <p>13 the question; scope.</p> <p>14 THE WITNESS: I don't know the</p> <p>15 details of these studies. Standard biocompatibility</p> <p>16 studies were done looking at tissue reaction to</p> <p>17 Pronova suture compared to Prolene.</p> <p>18 These studies may be surgical</p> <p>19 functionality studies with different prototype</p> <p>20 meshes. I don't know. I can't respond to that</p> <p>21 question specifically unless I see the studies that</p> <p>22 he's making.</p> <p>23 BY MR. THORNBURGH:</p> <p>24 Q. This really is a "yes" or "no"</p> <p>25 question.</p>	<p>1 BY MR. THORNBURGH:</p> <p>2 Q. You haven't considered those studies</p> <p>3 before you walked in today as the person most</p> <p>4 knowledgeable about the tissue response and tissue</p> <p>5 reaction, correct?</p> <p>6 MR. THOMAS: Object to the form of</p> <p>7 the question; scope.</p> <p>8 THE WITNESS: Studies to support the</p> <p>9 biocompatibility of Pronova suture were conducted in</p> <p>10 comparison to Prolene suture in a standard tissue</p> <p>11 reaction study, a protocol, as required by ISO</p> <p>12 10993, Part 1, and G95 FDA guidance on</p> <p>13 biocompatibility testing.</p> <p>14 BY MR. THORNBURGH:</p> <p>15 Q. And --</p> <p>16 A. And other studies that might have</p> <p>17 been conducted for other purposes, I don't know.</p> <p>18 They're not necessary to support the</p> <p>19 biocompatibility of -- of a Pronova suture. But</p> <p>20 there are other studies that that have been</p> <p>21 conducted.</p> <p>22 If they provide evidence to counter</p> <p>23 the study results from the three Pronova studies</p> <p>24 that I've just mentioned, I'll be glad to look at</p> <p>25 those.</p>
Page 428	Page 430
<p>1 A. No, it's not.</p> <p>2 Q. You did not provide in any of the</p> <p>3 binders that you brought with you today the studies,</p> <p>4 the several animal studies, that show that Pronova</p> <p>5 has a reduced foreign body reaction compared to</p> <p>6 Prolene, correct?</p> <p>7 MR. THOMAS: Object to the form of</p> <p>8 the question.</p> <p>9 THE WITNESS: Yes.</p> <p>10 BY MR. THORNBURGH:</p> <p>11 Q. He goes on to say that Pronova will</p> <p>12 improve the perceived biocompatibility of our mesh.</p> <p>13 Do you see that?</p> <p>14 A. Yes, I see that, but don't agree.</p> <p>15 Q. Of course.</p> <p>16 A. We've got three studies that</p> <p>17 demonstrate that the tissue reaction to Prolene</p> <p>18 suture is comparable to Prolene -- to Pronova</p> <p>19 suture.</p> <p>20 Q. You haven't even seen the studies</p> <p>21 that Dr. Engel is referring to that show that</p> <p>22 Pronova has a reduced foreign body reaction.</p> <p>23 MR. THOMAS: Object to the form of</p> <p>24 the question; scope.</p> <p>25 THE WITNESS: That's correct.</p>	<p>1 Q. So the answer to my question is that</p> <p>2 you have not considered before you walked in here</p> <p>3 today the Pronova studies that showed less foreign</p> <p>4 body reaction and better biocompatibility, correct?</p> <p>5 MR. THOMAS: Object to the form of</p> <p>6 the question; scope.</p> <p>7 THE WITNESS: I'd have to look at</p> <p>8 those studies to make that conclusion.</p> <p>9 BY MR. THORNBURGH:</p> <p>10 Q. You didn't look at those studies</p> <p>11 before you walked in here today, did you?</p> <p>12 MR. THOMAS: Object to the form of</p> <p>13 the question.</p> <p>14 THE WITNESS: No, I did not.</p> <p>15 BY MR. THORNBURGH:</p> <p>16 Q. Besides, Pronova is much less</p> <p>17 susceptible to mechanical damage.</p> <p>18 As you testified to earlier, PVDF,</p> <p>19 which is part of the copolymer of Pronova, is a more</p> <p>20 inert, more stable material than Prolene, correct?</p> <p>21 MR. THOMAS: Object to the form of</p> <p>22 the question; scope.</p> <p>23 THE WITNESS: Yes.</p> <p>24 BY MR. THORNBURGH:</p> <p>25 Q. It is much easier to process in the</p>

35 (Pages 427 to 430)

Confidential - Subject to Protective Order

Page 431	Page 433
<p>1 knitting machine, less quality issues. Do you see 2 that? 3 MR. THOMAS: Object to the form of 4 the question; scope. 5 THE WITNESS: Yes. 6 BY MR. THORNBURGH: 7 Q. Did you talk to -- as the person that 8 was designated as the person most knowledgeable 9 under the designated topics, did you talk to 10 Dr. Engel about his experience with PVDF sutures and 11 Prolene sutures and that Prolene sutures induce a 12 greater inflammatory response than Pronova or PVDF? 13 MR. THOMAS: Object to the form of 14 the question. 15 THE WITNESS: No. 16 BY MR. THORNBURGH: 17 Q. Don't you -- you agree as a scientist 18 that generation of data that could help better 19 answer questions, safety questions, is important, 20 right? 21 MR. THOMAS: Object to the form of 22 the question. 23 THE WITNESS: That's why we have 18 24 binders of studies surrounding us that contain 25 studies conducted in the mid 1960s.</p>	<p>1 interface between implanted material and surrounding 2 tissue. 3 THE VIDEOGRAPHER: I've got to change 4 the tape. 5 It's now 1:08. Going off the video 6 record. 7 This concludes Volume 2, Tape 2 of 8 the videotape deposition of Dr. Thomas A. Barbolt. 9 (Short break.) 10 THE VIDEOGRAPHER: We're back on the 11 video record. It's 1:14. 12 This begins Volume 2, Tape Number 3 13 in the videotape deposition of Dr. Thomas A. 14 Barbolt. 15 BY MR. THORNBURGH: 16 Q. Dr. Barbolt, we talked briefly about 17 Dr. Ramshaw and Dr. Costello. Do you remember that? 18 A. Yes. 19 Q. And your e-mail -- the e-mail that 20 you were included on discussed studies that were 21 done by Ramshaw's group that found degradation of 22 polypropylene? 23 A. Yes. 24 Q. And you had indicated that you had 25 reviewed this study, correct?</p>
Page 432	Page 434
<p>1 BY MR. THORNBURGH: 2 Q. Vast -- 3 A. And continue to this day. 4 Q. Vast majority of those are suture 5 studies, correct? 6 MR. THOMAS: Object to the form of 7 the question. 8 THE WITNESS: We'd have to do the 9 exercise. 10 BY MR. THORNBURGH: 11 Q. You didn't do the exercise before you 12 came in here today? 13 A. No. I didn't think it necessary, 14 because I believe that the data that's generated for 15 suture containing the same Prolene polypropylene 16 fiber as in mesh are directly applicable and 17 relevant. 18 Q. General scientific principle: The 19 greater the surface area of an implanted medical 20 device, the greater the inflammatory response. 21 MR. THOMAS: Object to the form of 22 the question. 23 THE WITNESS: There's some 24 relationship to increased surface area and 25 increasing tissue action, because that's the</p>	<p>1 MR. THOMAS: Object to the form of 2 the question. It's not in preparation for this 3 deposition. 4 BY MR. THORNBURGH: 5 Q. Are you not prepared to talk about 6 the Costello studies? 7 A. No. That's not one of the studies 8 that I brought with me today. 9 Q. Just so the record is clear, because 10 I think you were indicating that maybe it was the -- 11 because there was a composite mesh that may have 12 been studied, that you weren't aware whether or not 13 that was polypropylene, so I just want to point out 14 in the record this conclusion. 15 Overall, the results support our 16 hypothesis that in vivo -- inside the body, right? 17 A. Yes. 18 Q. -- oxidation plays a role in the 19 degradation of polypropylene. 20 Do you see that? 21 MR. THOMAS: Object to the form of 22 the question. 23 THE WITNESS: Yes. And as I pointed 24 out earlier, that's not Prolene polypropylene. 25 That's Bard polypropylene.</p>

Confidential - Subject to Protective Order

Page 435	Page 437
<p>1 BY MR. THORNBURGH:</p> <p>2 Q. Well, it's polypropylene,</p> <p>3 nonetheless.</p> <p>4 A. There's a big difference, because as</p> <p>5 we discussed earlier, polypropylene without an</p> <p>6 appropriate antioxidant package is susceptible to</p> <p>7 degradation. And if you add an appropriate</p> <p>8 antioxidant package, it is resistant to oxidation.</p> <p>9 Q. Well, we know from the ten-year --</p> <p>10 the five-year data, from the ten-year dog study,</p> <p>11 Ethicon study, seven-year data from that study, the</p> <p>12 Prolene polypropylene was susceptible to surface</p> <p>13 cracking, right?</p> <p>14 MR. THOMAS: Object to the form of</p> <p>15 the question.</p> <p>16 THE WITNESS: It was susceptible to</p> <p>17 surface cracking, but it did not result in loss of</p> <p>18 molecular weight or impact on tensile strength, key</p> <p>19 mechanical properties of polypropylene fibers.</p> <p>20 BY MR. THORNBURGH:</p> <p>21 Q. In this statement, in this claim in</p> <p>22 the IFU, it doesn't say that the material is</p> <p>23 susceptible to surface degradation, does it?</p> <p>24 MR. THOMAS: Object to the form of</p> <p>25 the question.</p>	<p>1 weight or tensile -- tensile testing. That's the</p> <p>2 kind of information that's useful to surgeons, not</p> <p>3 any other observations that might be observed but</p> <p>4 don't translate into significant impact on</p> <p>5 mechanical characteristics.</p> <p>6 BY MR. THORNBURGH:</p> <p>7 Q. That's absurd.</p> <p>8 MR. THOMAS: Excuse me.</p> <p>9 BY MR. THORNBURGH:</p> <p>10 Q. You're not even -- you're not a</p> <p>11 clinician, are you?</p> <p>12 MR. THOMAS: Please. Stop, stop.</p> <p>13 Stop.</p> <p>14 Thomas, let's take a break.</p> <p>15 BY MR. THORNBURGH:</p> <p>16 Q. You're not a clinician, are you?</p> <p>17 MR. THOMAS: Back up. Don't tell my</p> <p>18 witness his testimony is absurd. You can ask</p> <p>19 questions and get your answers, and we'll object to</p> <p>20 form, but you just ask him straight questions, and</p> <p>21 you'll get straight answers.</p> <p>22 BY MR. THORNBURGH:</p> <p>23 Q. You're not a medical doctor, are you?</p> <p>24 A. That's correct.</p> <p>25 Q. You've never treated patients, have</p>
Page 436	Page 438
<p>1 THE WITNESS: No, it does not.</p> <p>2 This is an instructions for use.</p> <p>3 It's trying to relay to the end user of the product</p> <p>4 important information, and for surgeons. No matter</p> <p>5 surface changes -- if there's no impact on molecular</p> <p>6 weight or tensile strength, the surface changes are</p> <p>7 of no consequence.</p> <p>8 BY MR. THORNBURGH:</p> <p>9 Q. This is important -- the IFU provides</p> <p>10 important information to physicians, correct?</p> <p>11 MR. THOMAS: Object to the form of</p> <p>12 the question; scope.</p> <p>13 BY MR. THORNBURGH:</p> <p>14 Q. That's what they just said, right?</p> <p>15 A. It's intended to relay to the end</p> <p>16 users, the surgeons, information that they would</p> <p>17 find most useful.</p> <p>18 Q. And Ethicon did not relay any</p> <p>19 information to the physicians in this IFU that the</p> <p>20 Prolene in the TVT mesh is susceptible to surface</p> <p>21 degradation, did they?</p> <p>22 MR. THOMAS: Object to the form of</p> <p>23 the question.</p> <p>24 THE WITNESS: That is not useful</p> <p>25 information in light of no impact on molecular</p>	<p>1 you?</p> <p>2 A. Of course not.</p> <p>3 Q. You've never looked at an IFU and</p> <p>4 relied on an IFU in having a risk/benefit discussion</p> <p>5 with patients, have you?</p> <p>6 A. That's not my role in preclinical.</p> <p>7 Q. But, yet, you're here telling the</p> <p>8 ladies and gentlemen of the jury that information</p> <p>9 about the surface degradation of Prolene that's</p> <p>10 implanted permanently in women -- women's pelvises,</p> <p>11 is not important?</p> <p>12 MR. THOMAS: Excuse me.</p> <p>13 BY MR. THORNBURGH:</p> <p>14 Q. That's the position that you took?</p> <p>15 MR. THOMAS: You're arguing with the</p> <p>16 witness.</p> <p>17 MR. THORNBURGH: I am not.</p> <p>18 MR. THOMAS: Yes, you are. And we're</p> <p>19 not going to argue with him. And I object to the</p> <p>20 form of the question.</p> <p>21 BY MR. THORNBURGH:</p> <p>22 Q. You're taking the position on behalf</p> <p>23 of Ethicon --</p> <p>24 MR. THOMAS: His position has been</p> <p>25 taken. His answer has been given.</p>

37 (Pages 435 to 438)

Confidential - Subject to Protective Order

Page 439	Page 441
<p>1 BY MR. THORNBURGH:</p> <p>2 Q. You're taking the position --</p> <p>3 MR. THORNBURGH: Dave, you can</p> <p>4 object.</p> <p>5 MR. THOMAS: You're asking the same</p> <p>6 question three times.</p> <p>7 MR. THORNBURGH: Dave, you can</p> <p>8 object.</p> <p>9 MR. THOMAS: I can stop the</p> <p>10 deposition, too.</p> <p>11 MR. THORNBURGH: Dave, you can</p> <p>12 object.</p> <p>13 BY MR. THORNBURGH:</p> <p>14 Q. Mr. Barbolt, you're taking this</p> <p>15 position as the company spokesperson for Ethicon</p> <p>16 that information about surface degradation is not</p> <p>17 important to clinicians when they're relying on the</p> <p>18 information for use and having risk/benefit</p> <p>19 discussions with their patients who will be</p> <p>20 implanted with this medical device for the rest of</p> <p>21 their lives in their -- in and around their sexual</p> <p>22 and reproductive organs. That's the position?</p> <p>23 MR. THOMAS: Object to the form of</p> <p>24 the question; scope.</p> <p>25 THE WITNESS: The IFU is not the</p>	<p>1 nonresponsive.</p> <p>2 MR. THOMAS: Did you finish your</p> <p>3 answer? Did you finish your answer?</p> <p>4 THE WITNESS: Yes.</p> <p>5 MR. THOMAS: Okay. Thank you.</p> <p>6 BY MR. THORNBURGH:</p> <p>7 Q. You defer to a clinician about</p> <p>8 whether or not surface degradation is important</p> <p>9 information that they need when having a</p> <p>10 risk/benefit discussion with their patients,</p> <p>11 correct?</p> <p>12 A. I think a preclinical scientist will</p> <p>13 always defer to a clinician in making those</p> <p>14 judgments with patients.</p> <p>15 Q. You made a statement earlier, general</p> <p>16 scientific principle, that medical devices with a</p> <p>17 larger, greater surface area will have a greater</p> <p>18 inflammatory response than one with a lower surface</p> <p>19 area. Do you remember that statement?</p> <p>20 A. Yes. And let me --</p> <p>21 Q. General scientific principle, right?</p> <p>22 A. Right. And let me remind you. It's</p> <p>23 a general scientific principle. And the exact</p> <p>24 tissue reaction to an implant needs to be determined</p> <p>25 by an implantation study, the results of which will</p>
Page 440	Page 442
<p>1 responsibility of folks in preclinical. The IFU is</p> <p>2 put together by regulatory and medical professionals</p> <p>3 gathering input from all areas of manufacturing,</p> <p>4 preclinical, physical testing, whatever is necessary</p> <p>5 in their minds to provide the most useful</p> <p>6 information to the end users as possible.</p> <p>7 BY MR. THORNBURGH:</p> <p>8 Q. So would you defer to a clinician</p> <p>9 about whether or not information about surface</p> <p>10 degradation of products that are being implanted</p> <p>11 permanently in and around the sexual and</p> <p>12 reproductive organs of women is important</p> <p>13 information to have?</p> <p>14 MR. THOMAS: Object to the form of</p> <p>15 the question; scope.</p> <p>16 THE WITNESS: Would I defer to</p> <p>17 clinicians to make that judgment? With the</p> <p>18 information that's been provided in this case by</p> <p>19 preclinical relating to three things in that study;</p> <p>20 one, observations of surface degradation; two,</p> <p>21 quantitative measurements of molecular weight; and,</p> <p>22 three, quantitative measures of tensile strength.</p> <p>23 Molecular weight and tensile strength</p> <p>24 testing indicate there's no evidence of degradation.</p> <p>25 MR. THORNBURGH: Move to strike;</p>	<p>1 overrule any general scientific principle and will</p> <p>2 rely on the specifics of real and actual data</p> <p>3 generated from a study.</p> <p>4 Q. And in this study regarding surface</p> <p>5 area, these investigators, who actually, by the way,</p> <p>6 study degradation, found that degradation -- that in</p> <p>7 vivo oxidation plays a role in the degradation of</p> <p>8 polypropylene hernia mesh materials and that there</p> <p>9 may be a difference in the degree of oxidation</p> <p>10 between a heavyweight material and a lightweight</p> <p>11 material because of a reduced inflammatory response.</p> <p>12 Do you see that?</p> <p>13 MR. THOMAS: Object to the form of</p> <p>14 the question.</p> <p>15 THE WITNESS: This is not an Ethicon</p> <p>16 product.</p> <p>17 BY MR. THORNBURGH:</p> <p>18 Q. That wasn't the question.</p> <p>19 A. I am here to talk about Ethicon</p> <p>20 products.</p> <p>21 Q. Polypropylene is contained within</p> <p>22 Ethicon products, correct?</p> <p>23 A. As I indicated earlier, all</p> <p>24 polypropylenes are not the same. Polypropylenes</p> <p>25 with no additive package are susceptible to</p>

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 443</p> <p>1 oxidation. And I got to imagine that polypropylene 2 resin with varying kinds of antioxidant packages 3 would have varying protective actions against 4 oxidation. 5 Q. These are antioxidants that you 6 testified earlier that there's evidence that those 7 additives leach out of the polypropylene that's used 8 in the TVT devices, correct? 9 MR. THOMAS: Object to the form of 10 the question. 11 THE WITNESS: Yes. I think there's 12 evidence that they leak out. 13 BY MR. THORNBURGH: 14 Q. And would you agree that there would 15 be a difference in the degree of oxidation between a 16 heavyweight material and a lightweight material 17 because of the reduced inflammatory response as a 18 result of a reduction in the surface area that we 19 discussed earlier? 20 MR. THOMAS: Object to the form of 21 the question; scope. 22 THE WITNESS: It's a theoretical -- 23 it is a theoretical discussion. 24 BY MR. THORNBURGH: 25 Q. Yes or no?</p>	<p style="text-align: right;">Page 445</p> <p>1 record. It's 1:34. 2 BY MR. THORNBURGH: 3 Q. Dr. Barbolt, you've also been 4 designated by Ethicon to discuss or testify 5 regarding the specifics of all testing related to 6 the TVT products during the design and development 7 stages, including but not limited to leaching, 8 correct? 9 A. Yes. 10 MR. THOMAS: Do you want those 11 notebooks now? 12 MR. THORNBURGH: I don't know that we 13 necessarily need all of them, so why don't we -- why 14 don't we move forward, and if we need them, we'll -- 15 THE WITNESS: Let me get this first 16 one, which is an index. They're -- the index is all 17 the same. 18 BY MR. THORNBURGH: 19 Q. So let's -- first let's talk about 20 the submission to the FDA, October of 1997, the 21 five -- the 510(k) for the TVT-Retropubic. 22 Did you bring that with you today? 23 MR. THOMAS: Maybe. Do you have one 24 handy? 25 MR. THORNBURGH: I think I do.</p>
<p style="text-align: right;">Page 444</p> <p>1 A. I don't know what materials they're 2 talking about. I don't know what additive packages 3 they're talking about. 4 Q. How about polypropylene? 5 MR. THOMAS: Excuse me. Let's slow 6 down a little bit. You're running into each other, 7 and the record is terrible, and I don't get a chance 8 to object, and I need my chance to object. Let's 9 slow down so everybody gets a chance to say what 10 they need to say. 11 MR. THORNBURGH: I'll withdraw and 12 move to strike everything after, it's a theoretical 13 discussion. 14 MR. THOMAS: Excuse me. I need to 15 say something. 16 I said the record is terrible. I 17 should have said we risk creating a terrible record, 18 because I am confident that our court reporter is 19 doing absolutely the best that she can. 20 MR. THORNBURGH: Off the record for a 21 moment. 22 THE VIDEOGRAPHER: Off the video 23 record, 1:26. 24 (Short break.) 25 THE VIDEOGRAPHER: Back on the video</p>	<p style="text-align: right;">Page 446</p> <p>1 THE WITNESS: Do you want to bring up 2 the -- 3 MR. THOMAS: Let him give you one. 4 THE WITNESS: Okay. Okay. 5 BY MR. THORNBURGH: 6 Q. It's been premarked as Exhibit 7 Number T-2017. The Bates number is 8 ETH.MESH.00019863. 9 Now, before I get into the discussion 10 about the topics and studies regarding leaching -- 11 MR. THOMAS: I'm sorry. This begins 12 with Attachment 5. And the bottom of it says Page 3 13 of 69. Do you know if this was the complete -- 14 MR. THORNBURGH: Oh, you know what? 15 Sorry. I may have given you the wrong -- 16 If you want to give that back to me. 17 I am not exactly sure what I just handed you there. 18 MR. THOMAS: Me either. 19 BY MR. THORNBURGH: 20 Q. Okay. Let's do this again. I am 21 going to hand you what's been premarked as Exhibit 22 Number 2105, which is related to the 510(k) 23 submission regarding the TVT-Retropubic system. 24 MR. THOMAS: May I have one, please? 25 MR. THORNBURGH: Yes.</p>

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 447</p> <p>1 MR. THOMAS: Thank you. This one is</p> <p>2 highlighted. Is it supposed to be?</p> <p>3 MR. THORNBURGH: That's okay.</p> <p>4 BY MR. THORNBURGH:</p> <p>5 Q. Now, this is a submission that</p> <p>6 Ethicon made to the FDA regarding the TVT device,</p> <p>7 correct?</p> <p>8 A. Yes. That's what it looks like.</p> <p>9 Q. And before we get into a discussion</p> <p>10 about the cytotoxicity testing and the leaching</p> <p>11 issues, I just want to turn your attention to</p> <p>12 ETH.MESH.00371515.</p> <p>13 A. 515.</p> <p>14 Okay.</p> <p>15 Q. Now, this is the statement that we've</p> <p>16 discussed over the last two days regarding minimal</p> <p>17 inflammatory transitory tissue reaction and that the</p> <p>18 material is not absorbed, nor is it subject to</p> <p>19 degradation. Right?</p> <p>20 A. Yes.</p> <p>21 Q. Now, the statement, the material is</p> <p>22 not absorbed, nor is it subject to degradation or</p> <p>23 weakening by the action of tissue enzymes, was</p> <p>24 provided to the FDA in the 510(k) submission on</p> <p>25 October 29, 2007, correct?</p>	<p style="text-align: right;">Page 449</p> <p>1 about this before.</p> <p>2 BY MR. THORNBURGH:</p> <p>3 Q. Correct?</p> <p>4 A. And as I indicated before, there were</p> <p>5 three endpoints in that experiment that are</p> <p>6 important: Subjective observations, observations by</p> <p>7 a human being about what's on the surface of the</p> <p>8 suture, and then quantitative assessments of</p> <p>9 molecular weight, and quantitative assessments of</p> <p>10 tensile strength.</p> <p>11 In terms of surface changes, surface</p> <p>12 changes were reported. In terms of molecular weight</p> <p>13 and tensile strength, no impact on either of those</p> <p>14 parameters, which would lead one to conclude that</p> <p>15 there's no evidence of degradation that's</p> <p>16 meaningful.</p> <p>17 MR. THORNBURGH: Move to strike;</p> <p>18 nonresponsive.</p> <p>19 BY MR. THORNBURGH:</p> <p>20 Q. Sir, do you think it's okay for</p> <p>21 Ethicon to misrepresent information in a 510(k)</p> <p>22 submission to the FDA regarding surface cracking?</p> <p>23 MR. THOMAS: Object to the form of</p> <p>24 the question.</p> <p>25 THE WITNESS: I don't think they've</p>
<p style="text-align: right;">Page 448</p> <p>1 MR. THOMAS: Object to the form of</p> <p>2 the question; scope.</p> <p>3 THE WITNESS: 2007?</p> <p>4 BY MR. THORNBURGH:</p> <p>5 Q. I'm sorry. October 29, 1997.</p> <p>6 Correct?</p> <p>7 A. Okay. That would be the time of the</p> <p>8 submission of the 510(k) for TVT original or</p> <p>9 retropubic.</p> <p>10 Q. Right. So October 29, 1997 Ethicon</p> <p>11 submitted to the FDA the 510(k) submission related</p> <p>12 to the TVT-Retropubic, correct?</p> <p>13 A. Yes.</p> <p>14 Q. And in that submission, Ethicon</p> <p>15 stated that the material is not absorbed, nor is it</p> <p>16 subject to degradation.</p> <p>17 Do you see that?</p> <p>18 A. Yes.</p> <p>19 Q. But as we've already established, by</p> <p>20 1990 and 1992, Ethicon was aware from its own</p> <p>21 internal studies that the Prolene in the TVT was</p> <p>22 subject to surface degradation, correct?</p> <p>23 MR. THOMAS: Object to the form of</p> <p>24 the question.</p> <p>25 THE WITNESS: We've talked a lot</p>	<p style="text-align: right;">Page 450</p> <p>1 done that.</p> <p>2 BY MR. THORNBURGH:</p> <p>3 Q. Regarding surface degradation?</p> <p>4 MR. THOMAS: Object to the form of</p> <p>5 the question.</p> <p>6 THE WITNESS: I do not think they've</p> <p>7 done that.</p> <p>8 BY MR. THORNBURGH:</p> <p>9 Q. This statement says the material is</p> <p>10 not subject to degradation.</p> <p>11 That's what it says, right?</p> <p>12 MR. THOMAS: Object to the form of</p> <p>13 the question.</p> <p>14 THE WITNESS: I've already explained</p> <p>15 that the IFU is not the responsibility of</p> <p>16 preclinical science. Preclinical scientists provide</p> <p>17 information to regulatory folks and medical affairs</p> <p>18 people and clinicians, their findings. And those</p> <p>19 folks put together the most useful information for</p> <p>20 the end user, the surgeon.</p> <p>21 BY MR. THORNBURGH:</p> <p>22 Q. It would be inappropriate for the FDA</p> <p>23 to permit -- to misrepresent information about</p> <p>24 degradation to the FDA, wouldn't it?</p> <p>25 MR. THOMAS: Object to the form of</p>

40 (Pages 447 to 450)

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 451</p> <p>1 the question.</p> <p>2 THE WITNESS: I don't think they've</p> <p>3 done that.</p> <p>4 BY MR. THORNBURGH:</p> <p>5 Q. Well, the 1990 and 1992 internal</p> <p>6 studies showed surface degradation of the Prolene</p> <p>7 mesh, did it not?</p> <p>8 MR. THOMAS: Object to the form of</p> <p>9 the question.</p> <p>10 THE WITNESS: I've already</p> <p>11 explained --</p> <p>12 BY MR. THORNBURGH:</p> <p>13 Q. Yes or no?</p> <p>14 A. I've already explained the -- my</p> <p>15 reasonings of this in answering this question on a</p> <p>16 number of occasions. And I can only conclude that</p> <p>17 the regulatory folks and clinical folks took the sum</p> <p>18 total of the results from that study and said, you</p> <p>19 know what? There's no impact on molecular weight.</p> <p>20 There's no impact on tensile strength. So there's</p> <p>21 no degradation. And that is what is reflected in</p> <p>22 this IFU.</p> <p>23 Q. That statement, sir, that you just</p> <p>24 made is inconsistent with the conclusions by the</p> <p>25 Ethicon employee who wrote that degradation in</p>	<p style="text-align: right;">Page 453</p> <p>1 contact with, correct?</p> <p>2 MR. THOMAS: Object to the form of</p> <p>3 the question.</p> <p>4 BY MR. THORNBURGH:</p> <p>5 Q. There's a question pending.</p> <p>6 MR. THOMAS: He's answered this same</p> <p>7 question twice today.</p> <p>8 THE WITNESS: First -- first, I've</p> <p>9 not seen the peeling that you're talking about.</p> <p>10 And, second, all the data that we've</p> <p>11 brought here today, some 49 reports, suggest that</p> <p>12 the tissue reaction to Prolene polypropylene suture</p> <p>13 in mesh is relatively mild and in some cases reduces</p> <p>14 in severity over time.</p> <p>15 So if there are any peeling off of</p> <p>16 pieces of the suture, as you would suggest, it's not</p> <p>17 having an impact on the tissue action.</p> <p>18 BY MR. THORNBURGH:</p> <p>19 Q. We saw in the Postlethwait paper that</p> <p>20 even minute fragments can cause independent</p> <p>21 inflammatory responses, right?</p> <p>22 MR. THOMAS: Object to the form of</p> <p>23 the question.</p> <p>24 THE WITNESS: The macro fragments</p> <p>25 that's discussed in the Postlethwait paper are not</p>
<p style="text-align: right;">Page 452</p> <p>1 Prolene is still increasing, right?</p> <p>2 MR. THOMAS: Object to the form of</p> <p>3 the question.</p> <p>4 THE WITNESS: All degradations are</p> <p>5 not created equal. Degradations that are important</p> <p>6 are changes in molecular weight and tensile</p> <p>7 strength. Anything less than that is uneventful</p> <p>8 trivial response, a trivial change, that has no</p> <p>9 impact on important mechanical characteristics like</p> <p>10 the tensile strength.</p> <p>11 BY MR. THORNBURGH:</p> <p>12 Q. Do you think -- do you think that</p> <p>13 surface degradation of Prolene mesh would be</p> <p>14 unimportant to the FDA?</p> <p>15 MR. THOMAS: Object to the form of</p> <p>16 the question.</p> <p>17 THE WITNESS: Yes, as long as there</p> <p>18 were no impact on tensile strength and no impact on</p> <p>19 tissue reaction.</p> <p>20 BY MR. THORNBURGH:</p> <p>21 Q. You have to agree with me, sir, that</p> <p>22 if the material is peeling away and coming off of</p> <p>23 the Prolene fibers, that those -- those shards that</p> <p>24 peel away will increase or by itself cause an</p> <p>25 inflammatory response to tissue that it comes in</p>	<p style="text-align: right;">Page 454</p> <p>1 the same as what you're describing comes off the</p> <p>2 surface of a Prolene fiber, which we've not seen any</p> <p>3 of that in the images that we've discussed today.</p> <p>4 BY MR. THORNBURGH:</p> <p>5 Q. So Ethicon chose not to warn doctors</p> <p>6 or disclose to the FDA that the Prolene mesh is</p> <p>7 subject to surface degradation, correct?</p> <p>8 MR. THOMAS: Object to the form of</p> <p>9 the question; scope.</p> <p>10 THE WITNESS: Ethicon is trying to</p> <p>11 provide to the surgeons the totality of the result</p> <p>12 and the most significant result that they would be</p> <p>13 concerned about, and that is a breakdown of the</p> <p>14 polymer chains, which would be reflected in a loss</p> <p>15 of molecular weight and a loss of tensile strength,</p> <p>16 which would not be useful for a suture, a single</p> <p>17 strand suture, that's used for cardiovascular</p> <p>18 repair, of which surgeons rely on to maintain its</p> <p>19 tensile strength for the life of the patient.</p> <p>20 BY MR. THORNBURGH:</p> <p>21 Q. Are you done, sir? Are you done,</p> <p>22 sir?</p> <p>23 Dr. Barbolt, are you finished?</p> <p>24 A. Yes.</p> <p>25 MR. THORNBURGH: Move to strike;</p>

41 (Pages 451 to 454)

Confidential - Subject to Protective Order

Page 455	Page 457
<p>1 nonresponsive.</p> <p>2 BY MR. THORNBURGH:</p> <p>3 Q. Ethicon chose not to warn doctors or</p> <p>4 to disclose to the FDA that the Prolene mesh is</p> <p>5 subject to surface degradation in their 510(k)</p> <p>6 submission, correct?</p> <p>7 MR. THOMAS: Object to the form of</p> <p>8 the question; scope.</p> <p>9 He's not designated on this, Dan.</p> <p>10 THE WITNESS: It's not in this action</p> <p>11 section.</p> <p>12 BY MR. THORNBURGH:</p> <p>13 Q. If I can turn your attention to Bates</p> <p>14 Number ETH.MESH.00371544, this is the</p> <p>15 biocompatibility test results, correct?</p> <p>16 A. Yes.</p> <p>17 Q. And you drafted this, didn't you?</p> <p>18 A. This is likely cut and paste from a</p> <p>19 document that I would have provided, and it's part</p> <p>20 of a 510(k) submission. This looks like my</p> <p>21 language.</p> <p>22 Q. And on Page 41, ETH.MESH.00371545,</p> <p>23 there's a discussion about cytotoxicity testing that</p> <p>24 was performed by Ethicon through NAMSA under the</p> <p>25 ISO 10993-5 guidelines which showed that</p>	<p>1 wrote.</p> <p>2 MR. THOMAS: I don't think he -- I</p> <p>3 don't believe he cut and pasted.</p> <p>4 MR. THORNBURGH: Well, now you're</p> <p>5 doing another speaking objection.</p> <p>6 MR. THOMAS: You asked him about this</p> <p>7 at length in his last deposition. That's why I</p> <p>8 remember it so well.</p> <p>9 MR. THORNBURGH: Well, the subject</p> <p>10 matter that he's been designated to discuss is</p> <p>11 leaching, which is covered by -- which is part of</p> <p>12 the cytotoxicity, is it not?</p> <p>13 MR. THOMAS: But you've asked him</p> <p>14 what he's done personally so far, and you've covered</p> <p>15 this at length at the last deposition.</p> <p>16 Go ahead. It's your deposition.</p> <p>17 BY MR. THORNBURGH:</p> <p>18 Q. Sir, are you prepared -- did you</p> <p>19 prepare for this 30(b)(6) deposition to discuss the</p> <p>20 cytotoxicity testing that was done at Ethicon?</p> <p>21 Are you the person most knowledgeable</p> <p>22 and have you been prepared on that subject for this</p> <p>23 30(b)(6) deposition?</p> <p>24 MR. THOMAS: He's been designated on</p> <p>25 the topic as identified in the notice, and leaching</p>
Page 456	Page 458
<p>1 polypropylene mesh was moderate to severely</p> <p>2 cytotoxic in vitro, correct?</p> <p>3 A. Yes.</p> <p>4 Q. And the polypropylene mesh component</p> <p>5 of the sterile sheet -- this is apparently what you</p> <p>6 wrote -- the polypropylene mesh component of the</p> <p>7 sterile TVT device was cytotoxic, and only the</p> <p>8 Elution test suggesting cytotoxic potential in this</p> <p>9 sensitive test system.</p> <p>10 So you would agree with me that based</p> <p>11 on the Elution test, there was evidence of</p> <p>12 cytotoxicity in vitro, correct?</p> <p>13 A. Yes.</p> <p>14 Q. And then you wrote: However, the</p> <p>15 long history of safe clinical use of polypropylene</p> <p>16 as mesh and suture products suggest strongly that</p> <p>17 this material is inherently biocompatible, and the</p> <p>18 potential cytotoxicity observed is self-limiting.</p> <p>19 What do you mean by "self-limiting"?</p> <p>20 MR. THOMAS: Object to the form of</p> <p>21 the question; scope.</p> <p>22 Have you established that he wrote</p> <p>23 this part?</p> <p>24 MR. THORNBURGH: He said -- I thought</p> <p>25 he said it was cut and pasted from something he</p>	<p>1 is one of the topics, and cytotoxicity comes within</p> <p>2 that topic.</p> <p>3 MR. THORNBURGH: Okay.</p> <p>4 BY MR. THORNBURGH:</p> <p>5 Q. Now, sir, I know that you're here.</p> <p>6 You've been designated by Ethicon as a company</p> <p>7 spokesperson to discuss this issue.</p> <p>8 Were you the person who wrote this</p> <p>9 section of the biocompatibility testing results?</p> <p>10 A. I'm not certain, but it's likely.</p> <p>11 Q. And you wrote that: The long history</p> <p>12 of safe clinical use of polypropylene as mesh in</p> <p>13 suture products suggest strongly that the material</p> <p>14 is inherently biocompatible and that the potential</p> <p>15 cytotoxicity observed is self-limiting.</p> <p>16 What did you mean by "self-limiting"?</p> <p>17 A. Not progressive beyond the</p> <p>18 implantation period. Something that's not likely to</p> <p>19 exacerbate a tissue reaction response.</p> <p>20 Q. You'd agree with me that</p> <p>21 cytotoxicity, even at the implant level, could</p> <p>22 increase the inflammatory response, right?</p> <p>23 MR. THOMAS: Object to the form of</p> <p>24 the question.</p> <p>25 THE WITNESS: Yes. If there's death</p>

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 459</p> <p>1 of cells, and it's simply cytotoxicity, if there's</p> <p>2 death of cells in the tissue surrounding the</p> <p>3 implant, it's very likely to increase the tissue</p> <p>4 reaction.</p> <p>5 BY MR. THORNBURGH:</p> <p>6 Q. And some of the symptoms that you</p> <p>7 would expect to see if a mesh material or the</p> <p>8 additives in the mesh material were cytotoxic would</p> <p>9 be delayed wound healing and ulcerations, correct?</p> <p>10 A. Well, certainly delayed wound healing</p> <p>11 and increased tissue reaction.</p> <p>12 The relationship to ulceration is not</p> <p>13 a direct one. It doesn't usually happen. However,</p> <p>14 it can occur in some animal studies because of the</p> <p>15 nature of animals. But the two key endpoints would</p> <p>16 be increased tissue reaction and delayed wound</p> <p>17 healing response.</p> <p>18 Q. And in the actions animal section of</p> <p>19 the IFU --</p> <p>20 MR. THOMAS: What page are we,</p> <p>21 please?</p> <p>22 MR. THORNBURGH: ETH.MESH.1515 of the</p> <p>23 exhibit, 2105.</p> <p>24 BY MR. THORNBURGH:</p> <p>25 Q. In the action section in the animal</p>	<p style="text-align: right;">Page 461</p> <p>1 information as you see here, and they made the</p> <p>2 judgment. I am not sure how -- how that went, where</p> <p>3 it went, and where they went to get information, but</p> <p>4 they had access to this information.</p> <p>5 BY MR. THORNBURGH:</p> <p>6 Q. And that's despite the fact that your</p> <p>7 study showed the potential, at least in vitro, for</p> <p>8 cytotoxicity, correct?</p> <p>9 MR. THOMAS: Object to the form of</p> <p>10 the question.</p> <p>11 THE WITNESS: Yes. Yes. And at the</p> <p>12 same time, as I've indicated here, they've relied on</p> <p>13 clinical data in ETH.MESH.00371546 to address any</p> <p>14 potential in vivo cytotoxicity by talking about</p> <p>15 their experience in the field.</p> <p>16 BY MR. THORNBURGH:</p> <p>17 Q. In fact, I'm going to go ahead and --</p> <p>18 I am going to give you what's been premarked as</p> <p>19 T-3185.</p> <p>20 Who's Cary Linsky?</p> <p>21 A. I think he was the project leader for</p> <p>22 TVT original.</p> <p>23 MR. THOMAS: Just for the record,</p> <p>24 this is marked 3186?</p> <p>25 MR. THORNBURGH: I'm sorry. Yes.</p>
<p style="text-align: right;">Page 460</p> <p>1 section of the IFU, there is no disclosure to</p> <p>2 physicians that there is evidence in vitro tests of</p> <p>3 cytotoxicity associated with the Prolene mesh in</p> <p>4 TVT, correct?</p> <p>5 MR. THOMAS: Object to the form of</p> <p>6 the question; scope.</p> <p>7 THE WITNESS: I don't see it here,</p> <p>8 but as I indicated before, for end users -- and,</p> <p>9 again, this is not a preclinical document.</p> <p>10 Preclinical folks provide information for the people</p> <p>11 responsible for this document.</p> <p>12 But in the absence of increased</p> <p>13 tissue reaction and in the absence of impact on</p> <p>14 wound healing, there's no need to put additional</p> <p>15 information in the action section. So that would be</p> <p>16 my recommendation. And, again, it's the clinicians</p> <p>17 and regulatory folks who make the final call.</p> <p>18 BY MR. THORNBURGH:</p> <p>19 Q. Did you make that recommendation --</p> <p>20 did Ethicon make that recommendation or did you make</p> <p>21 that recommendation to the individuals who were</p> <p>22 deciding on what language goes into the IFU?</p> <p>23 MR. THOMAS: Object to the form of</p> <p>24 the question.</p> <p>25 THE WITNESS: I provided the</p>	<p style="text-align: right;">Page 462</p> <p>1 Premarked Exhibit 3186.</p> <p>2 BY MR. THORNBURGH:</p> <p>3 Q. And this is dated 9/11/97, correct?</p> <p>4 A. Yes.</p> <p>5 Q. And this discusses how there was a</p> <p>6 decision to delay the TVT device from August to</p> <p>7 September as a result of the cytotoxicity results</p> <p>8 from NAMSA, correct?</p> <p>9 MR. THOMAS: Object to the form of</p> <p>10 the question; scope.</p> <p>11 THE WITNESS: I would have to read</p> <p>12 this document. I've not seen this before.</p> <p>13 Yeah. I see that. I totally agree.</p> <p>14 BY MR. THORNBURGH:</p> <p>15 Q. It says: The TVT data is vitally</p> <p>16 important for two reasons. It is the only</p> <p>17 functionality data we have, i.e., no animal studies.</p> <p>18 Two, the toxicity position paper draft heavily</p> <p>19 relies on the clinical data to place in perspective</p> <p>20 the cytotoxicity profile of the device.</p> <p>21 For the above reasons, we need to</p> <p>22 have good assurance for the integrity of the data</p> <p>23 that we put into our submission.</p> <p>24 Do you see that?</p> <p>25 A. Yeah, absolutely. I totally agree.</p>

43 (Pages 459 to 462)

Confidential - Subject to Protective Order

Page 463	Page 465
<p>1 Q. Okay. So there was already a</p> <p>2 toxicity position paper that was drafted before the</p> <p>3 clinical data was even available?</p> <p>4 MR. THOMAS: Object to the form of</p> <p>5 the question; scope.</p> <p>6 BY MR. THORNBURGH:</p> <p>7 Q. Right?</p> <p>8 A. Well, the toxicity position paper is</p> <p>9 independent of any clinical data. It was based on a</p> <p>10 compilation of all the cytotoxicity studies that</p> <p>11 were conducted previous to the 510(k) submission and</p> <p>12 for the 510(k) submission.</p> <p>13 So that happens -- that's a</p> <p>14 preclinical issue that happens independent of</p> <p>15 clinical.</p> <p>16 Q. And the clinical data that Ethicon</p> <p>17 was waiting on before submitting the 510(k)</p> <p>18 submission with your biocompatibility assessment was</p> <p>19 the Scandinavian multi-center trial, right?</p> <p>20 MR. THOMAS: Object to the form of</p> <p>21 the question; scope.</p> <p>22 THE WITNESS: Yes. That's what it</p> <p>23 says. They need to finalize that data.</p> <p>24 MR. THOMAS: Wait a minute. He's</p> <p>25 asking you whether you know this, not what you're</p>	<p>1 MR. THOMAS: Object to the form of</p> <p>2 the question; scope.</p> <p>3 THE WITNESS: No, I do not know that.</p> <p>4 BY MR. THORNBURGH:</p> <p>5 Q. Do you know how much money -- what</p> <p>6 the financial interest was for Ulmsten, who was the</p> <p>7 inventor of TVT, that the results would be</p> <p>8 favorable?</p> <p>9 MR. THOMAS: Object to the form of</p> <p>10 the question.</p> <p>11 THE WITNESS: No, I do not.</p> <p>12 MR. THOMAS: Scope.</p> <p>13 BY MR. THORNBURGH:</p> <p>14 Q. Do you know how much Ethicon was</p> <p>15 paid, or are you prepared to testify how much</p> <p>16 Ethicon paid to Ulmsten throughout the years for</p> <p>17 positive results in the Scandinavian multi-center</p> <p>18 trial?</p> <p>19 MR. THOMAS: Object to the form of</p> <p>20 the question; scope.</p> <p>21 THE WITNESS: I have no knowledge of</p> <p>22 that information.</p> <p>23 BY MR. THORNBURGH:</p> <p>24 Q. I've just handed your counsel</p> <p>25 opposite an exhibit marked as 2254.</p>
Page 464	Page 466
<p>1 reading off the paper.</p> <p>2 THE WITNESS: No, I'm reading it.</p> <p>3 MR. THOMAS: Okay. Because if he's</p> <p>4 going to be a corporate representative, he's not</p> <p>5 prepared on this, and this is not part of his</p> <p>6 designation. So if you want to --</p> <p>7 MR. THORNBURGH: He refers to -- part</p> <p>8 of the designation is the biocompatibility</p> <p>9 assessments. And he -- he just deferred to the</p> <p>10 clinical data available to support the non-cytotoxic</p> <p>11 effect or the self-limiting effect of the</p> <p>12 cytotoxicity in the TVT material.</p> <p>13 So if that's a position he just took,</p> <p>14 then I ought to have an opportunity to cross-examine</p> <p>15 him on that issue.</p> <p>16 MR. THOMAS: We've told you what he</p> <p>17 has prepared to talk about cytotoxicity. This goes</p> <p>18 well beyond it. I am not going to argue with you.</p> <p>19 You ask your questions, but --</p> <p>20 BY MR. THORNBURGH:</p> <p>21 Q. Before I do, are you aware of how</p> <p>22 much money -- strike that.</p> <p>23 Are you aware that Dr. Ulmsten was</p> <p>24 the primary clinical researcher in the Scandinavian</p> <p>25 multi-center trial?</p>	<p>1 MR. THORNBURGH: I have a copy for</p> <p>2 you, Counsel.</p> <p>3 MR. THOMAS: This is the version that</p> <p>4 you've already highlighted?</p> <p>5 MR. THORNBURGH: Yes, sir.</p> <p>6 (Document marked for identification</p> <p>7 as Exhibit T-2254.)</p> <p>8 MR. THOMAS: Did you say 2254?</p> <p>9 Thank you.</p> <p>10 BY MR. THORNBURGH:</p> <p>11 Q. Have you seen this document before?</p> <p>12 A. Yes.</p> <p>13 Q. And this is a Prolene suture to which</p> <p>14 surface additives had been applied or evaluated to</p> <p>15 determine their tissue response characteristic in</p> <p>16 rat gluteal muscles at three, 14, and 28 days post</p> <p>17 implantation. Do you see that?</p> <p>18 A. Yes.</p> <p>19 Q. And the finding from this study is</p> <p>20 that two of the additives, Lubrol PX and Santonox</p> <p>21 R -- those are antioxidants, correct?</p> <p>22 A. Yes.</p> <p>23 Q. And those antioxidants, as you</p> <p>24 testified previously, can leach out of the Prolene</p> <p>25 mesh, correct?</p>

44 (Pages 463 to 466)

Confidential - Subject to Protective Order

Page 467	Page 469
<p>1 A. Yes.</p> <p>2 Q. And this study found that two of the</p> <p>3 additives, Lubrol PX and Santonox R, elicit tissue</p> <p>4 responses significantly greater than controls. Do</p> <p>5 you see that?</p> <p>6 A. Yes.</p> <p>7 Q. Did Ethicon disclose in the 510(k)</p> <p>8 submission that the antioxidants that leach out of</p> <p>9 their mesh when tested against negative controls</p> <p>10 elicited a tissue response that was significantly</p> <p>11 greater?</p> <p>12 MR. THOMAS: Object to the form of</p> <p>13 the question; scope.</p> <p>14 BY MR. THORNBURGH:</p> <p>15 Q. Doctor?</p> <p>16 A. Let me just read the comments</p> <p>17 section.</p> <p>18 Okay. This is an exploratory study</p> <p>19 where they coated the Prolene suture which already</p> <p>20 contains additives, but with additional additives on</p> <p>21 the surface.</p> <p>22 Q. To mimic leaching, right?</p> <p>23 A. No, to load up the suture with some</p> <p>24 components of the antioxidant package to see if</p> <p>25 there had been any impact on tissue reaction.</p>	<p>1 MR. THORNBURGH: Move to strike.</p> <p>2 BY MR. THORNBURGH:</p> <p>3 Q. We're going to discuss the 28-day</p> <p>4 study, but my question is: Was the Lubrol and the</p> <p>5 Santonox R -- will leach out of the mesh fibers,</p> <p>6 correct?</p> <p>7 MR. THOMAS: Object to the form of</p> <p>8 the question.</p> <p>9 THE WITNESS: Yes. I've already</p> <p>10 admitted that these agents can leach out. This</p> <p>11 experiment is not relevant to that question.</p> <p>12 BY MR. THORNBURGH:</p> <p>13 Q. Well, this experiment does show that</p> <p>14 Lubrol and Santonox can elicit a greater tissue</p> <p>15 response, correct?</p> <p>16 A. Only when smeared on the surface of a</p> <p>17 Prolene suture.</p> <p>18 Q. Now, you talk about the 28-day study.</p> <p>19 Before we go there, I just have a couple questions</p> <p>20 for you about that, that I want to get my hands</p> <p>21 around.</p> <p>22 The 28-day study that you are</p> <p>23 referring to is a study that compared Prolene flat</p> <p>24 mesh raw material to the TVT finished product,</p> <p>25 correct?</p>
Page 468	Page 470
<p>1 Q. And the finding was that there was an</p> <p>2 impact on tissue reaction. There was, in fact, a</p> <p>3 significantly greater reaction in the controls,</p> <p>4 correct?</p> <p>5 A. Yes, that's the case, but it's not</p> <p>6 relevant to Prolene suture or Prolene mesh, because</p> <p>7 the Prolene suture and Prolene mesh is not coated</p> <p>8 with additional additives like what was done in this</p> <p>9 experiment.</p> <p>10 So it's an exploratory study to</p> <p>11 understand irritant potential of various</p> <p>12 antioxidants, but it has no relevance to current</p> <p>13 production products, the suture or mesh.</p> <p>14 Q. Well, with all due respect, sir, the</p> <p>15 Lubrol and the Santonox R will leach out of the mesh</p> <p>16 fibers, correct?</p> <p>17 A. It's possible that they will leach</p> <p>18 out of the mesh fibers. I think they do. As I've</p> <p>19 indicated, there's evidence for that.</p> <p>20 At the same time, I've also indicated</p> <p>21 that in the 28-day Prolene mesh TVT mesh experiment</p> <p>22 there was no increased evidence of tissue reaction</p> <p>23 indicating that if any of the additives were to</p> <p>24 leach away, it had no impact on the surrounding</p> <p>25 tissues.</p>	<p>1 A. As I recall, that was Prolene flat</p> <p>2 mesh finished goods, the final product, compared to</p> <p>3 TVT mesh, final product.</p> <p>4 Q. Which would have also contained</p> <p>5 Santonox R and Procol and Lubrol, correct?</p> <p>6 A. Yes.</p> <p>7 Q. Okay. So you tested a mesh device</p> <p>8 that already had additives in it to another mesh</p> <p>9 device which already had additives in it, correct?</p> <p>10 A. Yes, that's right, the difference</p> <p>11 being that the Prolene flat mesh is not cytotoxic in</p> <p>12 vitro, and the TVT mesh is cytotoxic in vitro.</p> <p>13 Q. Now, I hear what you're saying, that</p> <p>14 there were studies done of the Prolene flat mesh,</p> <p>15 not the TVT, but the Prolene flat mesh used in</p> <p>16 hernia repair, that tested negative for</p> <p>17 cytotoxicity; is that what you're saying?</p> <p>18 A. Yes. The same Prolene mesh that's in</p> <p>19 TVT mesh was negative.</p> <p>20 Q. Was there a NAMSA Elution test done</p> <p>21 in that set of studies similar to the Elution test</p> <p>22 that was done in the TVT product which found</p> <p>23 moderate to severely -- severe cytotoxicity?</p> <p>24 A. We'd have to look at the individual</p> <p>25 studies in the 510(k), and the summaries may be</p>

45 (Pages 467 to 470)

Confidential - Subject to Protective Order

Page 471	Page 473
<p>1 sufficient here, but I might need to go to the full</p> <p>2 study reports in the binders that we've brought.</p> <p>3 But let me take a look.</p> <p>4 On ETH.MESH.00371569, there is a</p> <p>5 summary of the study that I am making reference to.</p> <p>6 In fact, two studies were conducted with the normal</p> <p>7 production Prolene flat mesh.</p> <p>8 Q. And can you give me -- I don't have</p> <p>9 your binder.</p> <p>10 MR. THOMAS: He's testified from your</p> <p>11 exhibit.</p> <p>12 THE WITNESS: Yeah. It's your</p> <p>13 exhibit.</p> <p>14 MR. THOMAS: It's the 510(k).</p> <p>15 2105.</p> <p>16 THE WITNESS: ETH.MESH.00371568.</p> <p>17 BY MR. THORNBURGH:</p> <p>18 Q. 15 --</p> <p>19 A. 1568 and 1569. These were the</p> <p>20 cytotoxicity studies conducted with Prolene flat</p> <p>21 mesh. But one, an agarose overlay, was</p> <p>22 non-cytotoxic, as it was for the TVT flat mesh.</p> <p>23 What you're referring to is the</p> <p>24 second study on Page 65 of that. That's</p> <p>25 ETH.MESH.00371569. This is a filter paper method, a</p>	<p>1 mechanisms of cytotoxicity and a summary of the</p> <p>2 tests that were performed by Ethicon, correct?</p> <p>3 A. Yes.</p> <p>4 Q. And this says that: As part of the</p> <p>5 overall assessment of biocompatibility of the TVT</p> <p>6 device, a number of cytotoxicity studies were</p> <p>7 conducted. Right?</p> <p>8 A. Yes.</p> <p>9 Q. And it goes on to say: After an</p> <p>10 evaluation of all the test results, only the</p> <p>11 polypropylene mesh component of the sterile TVT</p> <p>12 device was considered to be cytotoxic, and the</p> <p>13 severity was moderate to severe.</p> <p>14 Do you see that?</p> <p>15 A. Yes.</p> <p>16 Q. In the ISO Elution testing using USP</p> <p>17 scoring system as slight, mild moderate, and severe.</p> <p>18 Now, what does it mean to be</p> <p>19 moderately cytotoxic in terms of the number of cells</p> <p>20 that will die when they come into contact with the</p> <p>21 offending agent?</p> <p>22 A. Yeah. I -- I know in -- I could pull</p> <p>23 up the study to find the detail.</p> <p>24 MR. THOMAS: If you need to do that,</p> <p>25 do that. If you want that detail --</p>
Page 472	Page 474
<p>1 little bit different than the ISO Elution method.</p> <p>2 The ISO Elution method is taking an</p> <p>3 extract of the mesh and put it into contact with</p> <p>4 cells. In this case -- and it's a cytotoxicity</p> <p>5 assay that's commonly conducted for medical devices.</p> <p>6 In this case, an extract is placed on</p> <p>7 a filter paper, which is then placed on an agarose</p> <p>8 overlay. And in that study, the test article was</p> <p>9 non-cytotoxic.</p> <p>10 Q. That was a different method?</p> <p>11 A. Slightly different. Slightly</p> <p>12 different, but very similar in that both used</p> <p>13 extracts, such that if there were leachables from</p> <p>14 the device, they would have gone into the extract</p> <p>15 and either the extract placed in contact with the</p> <p>16 cells or the extract pipetted onto filter paper put</p> <p>17 onto cells. Similar, but they're different.</p> <p>18 MR. THORNBURGH: Move to strike,</p> <p>19 nonresponsive, after they're slightly different.</p> <p>20 BY MR. THORNBURGH:</p> <p>21 Q. I'll hand you what has been premarked</p> <p>22 as T-2132, which is a document draft entitled</p> <p>23 "Mechanisms Of Cytotoxicity In TVT Polypropylene</p> <p>24 Mesh."</p> <p>25 Now, this is a discussion of the</p>	<p>1 THE WITNESS: Actually, let me get</p> <p>2 that detail. Let me look at a cytotoxicity study as</p> <p>3 an example.</p> <p>4 BY MR. THORNBURGH:</p> <p>5 Q. Well, just hold on a second. You</p> <p>6 don't know right now sitting here from your memory</p> <p>7 what the USP scoring system says concerning the</p> <p>8 number of cells that will die when they come into</p> <p>9 contact with the cytotoxic agent?</p> <p>10 MR. THOMAS: Object to the form of</p> <p>11 the question. That's why he's prepared with all</p> <p>12 these notebooks, because he can't remember</p> <p>13 everything.</p> <p>14 MR. THORNBURGH: Well --</p> <p>15 MR. THOMAS: So if you want the</p> <p>16 answer to the question, he's going to consult the</p> <p>17 study.</p> <p>18 MR. THORNBURGH: Number 4 on</p> <p>19 leaching.</p> <p>20 MR. THOMAS: Do you want him to look</p> <p>21 at it?</p> <p>22 BY MR. THORNBURGH:</p> <p>23 Q. You're going to pull up some study.</p> <p>24 I'm asking what under the USP system, right?</p> <p>25 It's greater than 50 percent of the</p>

46 (Pages 471 to 474)

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 475</p> <p>1 cells, right?</p> <p>2 MR. THOMAS: He'll check here and</p> <p>3 make sure.</p> <p>4 THE WITNESS: For a moderate</p> <p>5 response, not more than 70 percent of the cells</p> <p>6 would be rounded and/or lysed, which would be</p> <p>7 evidence of cytotoxicity.</p> <p>8 I should point out that a mild</p> <p>9 response, which is acceptable, results in not more</p> <p>10 than 50 percent of the cells having evidence of</p> <p>11 cytotoxicity.</p> <p>12 BY MR. THORNBURGH:</p> <p>13 Q. So at moderate cytotoxicity, up to</p> <p>14 70 percent of the cells die that come into contact</p> <p>15 with the offending agent, correct?</p> <p>16 A. Yes.</p> <p>17 MR. THOMAS: Object to the form of</p> <p>18 the question.</p> <p>19 THE WITNESS: Yes. That's in</p> <p>20 accordance with the scheme. Not more than 70. So</p> <p>21 between 50 and 70.</p> <p>22 BY MR. THORNBURGH:</p> <p>23 Q. Okay. And for severe cytotoxicity,</p> <p>24 70 to 100 percent of the cells that come into</p> <p>25 contact with the offending agent die, correct?</p>	<p style="text-align: right;">Page 477</p> <p>1 characterization person, Mr. or Mrs. Rippy?</p> <p>2 A. If it was finalized, it would have</p> <p>3 gone to her, as well as the distribution on the</p> <p>4 page.</p> <p>5 Q. That's what I'm -- I am trying to</p> <p>6 understand.</p> <p>7 Do you know if this information was</p> <p>8 ever provided to the product characterization</p> <p>9 person, Mr. or Mrs. Rippy?</p> <p>10 Is it Mr. or Mrs?</p> <p>11 A. Marian.</p> <p>12 I do not know that. A finalized copy</p> <p>13 has not been located.</p> <p>14 Q. Do you know what her responsibility</p> <p>15 was as the corporate product characterization person</p> <p>16 at Ethicon?</p> <p>17 A. She was the director of the group</p> <p>18 that included a biocompatibility surgical</p> <p>19 functionality, laboratory animal resources, product</p> <p>20 performance evaluation, and materials</p> <p>21 characterization.</p> <p>22 Q. And that role is important in</p> <p>23 understanding the -- for future reference,</p> <p>24 understanding the safety and biocompatibility of</p> <p>25 Ethicon's products, correct?</p>
<p style="text-align: right;">Page 476</p> <p>1 A. Yes.</p> <p>2 MR. THOMAS: Object to the form of</p> <p>3 the question.</p> <p>4 BY MR. THORNBURGH:</p> <p>5 Q. And under the testing conducted by</p> <p>6 NAMSA of the TVT finished product, between 50 and a</p> <p>7 hundred percent of the cells that came into contact</p> <p>8 died, right?</p> <p>9 A. That's correct.</p> <p>10 Q. Now, in your mechanism of -- this is</p> <p>11 your draft, right? This is your -- you wrote this;</p> <p>12 is that correct?</p> <p>13 A. Yes, that's correct.</p> <p>14 Q. And so you discuss -- who's M. Rippy?</p> <p>15 A. She was a director of corporate</p> <p>16 product characterization at that time.</p> <p>17 Q. Director of corporate product?</p> <p>18 A. Corporate product characterization.</p> <p>19 That was the preclinical sciences group.</p> <p>20 Q. Was there ever a final? Because I</p> <p>21 could only find the draft.</p> <p>22 A. No, I don't have a final. I have not</p> <p>23 been able to locate a final signed copy.</p> <p>24 Q. Did you ever provide or did Ethicon</p> <p>25 ever provide this document to the corporate product</p>	<p style="text-align: right;">Page 478</p> <p>1 A. Yes. She was the leader of the</p> <p>2 group.</p> <p>3 Q. Now, it says additional studies were</p> <p>4 conducted -- it goes on to say there was another --</p> <p>5 it says: However, cytotoxicity of the testing of</p> <p>6 the polypropylene raw material also used in the</p> <p>7 manufacture of Prolene indicated that it was</p> <p>8 non-cytotoxic.</p> <p>9 One thing we've established is that</p> <p>10 both of those -- both of those products contained</p> <p>11 Santonox and Lubrol, which we've seen are cytotoxic,</p> <p>12 or cause an increase in tissue response, correct?</p> <p>13 A. The Santonox R was. And I think</p> <p>14 there may have been a change from Lubrol to</p> <p>15 Santonox R because of a change in supplier.</p> <p>16 Q. I think there was a change in Lubrol</p> <p>17 to Procol. Right?</p> <p>18 A. Well, no. I think the Procol LA-10</p> <p>19 was a non-ionic surfactant. It was a processing</p> <p>20 aid, I believe.</p> <p>21 And so it was the antioxidant,</p> <p>22 Santonox R and Procol LA-10 that had the most</p> <p>23 potential for in vitro cytotoxicity.</p> <p>24 Q. All right. And you discuss -- you go</p> <p>25 on to discuss: Additional studies were conducted to</p>

47 (Pages 475 to 478)

Confidential - Subject to Protective Order

Page 479	Page 481
<p>1 better understand the nature of the cytotoxic 2 potential of the polypropylene mesh under different 3 conditions. Individual components of the 4 polypropylene resin additive package used in the 5 manufacture of the mesh were also evaluated to 6 determine if any single additive might be 7 contributing to the cytotoxic potential of the 8 material.</p> <p>9 Now, you say cytotoxic testing of the 10 polypropylene mesh from this device was -- resulted 11 in severe cytotoxicity.</p> <p>12 Do you see that study, 196?</p> <p>13 Hang on. Let me put it into context 14 so that we're -- we look at this entire document.</p> <p>15 Since there was the possibility of 16 the use of localized high temperature during 17 application of the heat shrink tubing might be 18 contributing to the cytotoxicity of the 19 polypropylene mesh, a study was conducted using low 20 temperature heat shrink tubing to manufacture the 21 TVT device.</p> <p>22 And so you're able to rule out the 23 use of the high shrink tubing as the cause for 24 cytotoxicity, because when you used low temperature 25 shrink tubing to manufacture the TVT device, the</p>	<p>1 Q. Were you concerned that using a heat 2 shrink tubing -- that that additional heat that's 3 applied could cause the additives to leach to the 4 surface of the Prolene mesh?</p> <p>5 A. You would call that blooming. In the 6 package, it would be a blooming of those additives 7 of the surface, where in the body, it would be a 8 leaching.</p> <p>9 That was the -- that was the 10 hypothesis at the time.</p> <p>11 Q. And so even with the low and high 12 tubing process, there's still heat being applied 13 which could cause additives to bloom to the surface 14 of the mesh, correct?</p> <p>15 A. That's correct.</p> <p>16 Q. And you go on to say: Cytotoxicity 17 testing of the finished nonsterile TVT device 18 resulted in slight cytotoxicity, which met USP 19 acceptability criteria.</p> <p>20 You go on to say: The material 21 safety data sheet for the individual component of 22 polypropylene resin additive package used to 23 stabilize the polypropylene mesh were evaluated, and 24 ISO Elution cytotoxicity testing was conducted for 25 some of them, using maximum concentrations of these</p>
Page 480	Page 482
<p>1 studies confirmed again that there was severe 2 cytotoxicity in the polypropylene mesh, correct?</p> <p>3 MR. THOMAS: Object to the form of 4 the question.</p> <p>5 THE WITNESS: Yeah. You would 6 conclude that there was either no impact or the heat 7 applied even to the low temperature heat shrink 8 tubing was insufficient.</p> <p>9 BY MR. THORNBURGH:</p> <p>10 Q. Okay. Now, we know from two tests, 11 that it's still the TVT mesh that is cytotoxic, 12 right, not the process of the heat being applied to 13 the heat shrink tubing, correct?</p> <p>14 MR. THOMAS: Object to the form of 15 the question.</p> <p>16 THE WITNESS: Well, there's still 17 some heat to shrink a low temperature heat shrink 18 tubing, but not as high as for a higher temperature 19 heat shrink tubing.</p> <p>20 So that's directional information, 21 and it's -- the relevance, obviously, is that it's 22 uncertain. There's still temperature added, but, 23 apparently, it's sufficient to cause an in vitro 24 cytotoxicity result.</p> <p>25 BY MR. THORNBURGH:</p>	<p>1 materials added to the resin, and then, if 2 necessary, at the concentration of these chemicals 3 which could be extracted from the polypropylene 4 resin by water --</p> <p>5 MR. THOMAS: By mesh.</p> <p>6 BY MR. THORNBURGH:</p> <p>7 Q. -- polypropylene mesh by water at 8 37 degrees Celsius for 24 hours to mimic the 9 cytotoxicity extraction conditions. Right?</p> <p>10 A. That's exactly right.</p> <p>11 Q. All right. And you talk about 12 another antioxidant, which is DLTDP, was tested and 13 found to be non-cytotoxic, right?</p> <p>14 A. Yes.</p> <p>15 Q. And Santonox R, another antioxidant 16 was tested 3 milligrams per milliliter and resulted 17 in severe cytotoxicity, right?</p> <p>18 A. Yes.</p> <p>19 Q. And then you ran that test again with 20 a lower volume of Santonox, which resulted from 21 aqueous extraction of the polypropylene mesh, right?</p> <p>22 A. Yes.</p> <p>23 Q. And found no cytotoxicity when you 24 lowered the level?</p> <p>25 A. Yes. This would be a level to</p>

48 (Pages 479 to 482)

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 483</p> <p>1 approximate what might come out after extracting the 2 mesh in the manner for the original cytotoxicity 3 work. So this would -- you would conclude here that 4 Santonox R is not the element that is contributing 5 to in vitro cytotoxicity. 6 Q. Santonox at .2 milligrams per 7 milliliter was found to be non-cytotoxic, right? 8 A. Yes. Yes, that's correct. 9 Q. Santonox at 6 milligrams per 10 milliliter was -- Santonox at 3 milligrams per 11 milliliter was cytotoxic, right? 12 A. Yes, and probably as much as could be 13 dissolved in water. It's relatively nonpolar. So 14 this is the maximum amount that could be 15 solubilized. 16 Then the second attempt was to 17 approximate what might come out under actual 18 extraction conditions, such that would occur as in a 19 cytotoxicity study. 20 Q. And then you went on and tested 21 Procol LA-10. 22 Do you understand that Procol and 23 Lubrol are essentially the same antioxidant agent? 24 MR. THOMAS: Object to the form of 25 the question.</p>	<p style="text-align: right;">Page 485</p> <p>1 BY MR. THORNBURGH: 2 Q. We'll probably look at the e-mail 3 first, because attached is a copy of J. Karl's memo. 4 Who's J. Karl; do you know? 5 A. John Karl. 6 Q. And what was his position at Ethicon? 7 A. Polymer engineer. 8 Q. Okay. And J. Karl's memo indicating 9 the R&D specifications for the various additives 10 used in Prolene resin. 11 A. I've seen this. 12 Q. It says: If there is any 13 biocompatibility and/or safety documentation for 14 Prolene, it should have addressed the additives and 15 made some worst case estimates. 16 Do you see that? 17 A. Yes. 18 Q. Then there was a memo attached from 19 John Karl, an engineering fellow at Ethicon, who 20 does an in-depth discussion of really the history of 21 Prolene and the manufacturing process. 22 You've read this document before, 23 right? 24 A. Yes, I've seen this. 25 MR. THOMAS: When you're talking</p>
<p style="text-align: right;">Page 484</p> <p>1 THE WITNESS: I didn't appreciate 2 that, but... 3 BY MR. THORNBURGH: 4 Q. You don't know that? 5 MR. THOMAS: Object to the form of 6 the question; scope. 7 THE WITNESS: No. I know it as a 8 Procol LA-10 here. 9 BY MR. THORNBURGH: 10 Q. Before you came here today -- before 11 you came here today, had you seen this document 12 authored by Dan Burkley dated February of 2003? 13 MR. THOMAS: May I have a copy of it, 14 please? 15 MR. THORNBURGH: I'm sorry. We'll go 16 ahead and mark it as an exhibit. 17 BY MR. THORNBURGH: 18 Q. It's been premarked as T-305. 19 Is this the first time that you've 20 seen this document? 21 MR. THOMAS: Are you talking about 22 the e-mail or -- 23 MR. THORNBURGH: The e-mail and the 24 document attached to it. 25 MR. THOMAS: Separate documents.</p>	<p style="text-align: right;">Page 486</p> <p>1 about this document, you are talking about the 2 e-mail and the memo? 3 MR. THORNBURGH: I am talking about 4 the memo -- the memo attached, which is 5 ETH.MESH.02268619, dated January 23, 2003 addressed 6 to Dan -- Mr. Dan Burkley at Ethicon from a Mr. John 7 Karl, engineering fellow from Ethicon. 8 BY MR. THORNBURGH: 9 Q. You've seen this before, right? 10 A. I've seen the memo you've pointed 11 out. I don't believe I've seen the e-mail on the 12 first page. 13 Q. Sure. It talks about how Ethicon had 14 basically obtained the Prolene mesh from Montecatini 15 Company. Did I pronounce that correctly? 16 A. I don't know. That was well before 17 my time. 18 Q. Okay. It goes through, really, the 19 in-depth background. We don't need to cover it all. 20 But it does talk about how Prolene -- how Ethicon 21 came to purchase Prolene from the original company, 22 which was Montecatini, in it looks like New York -- 23 it looks like the offices were in New York City. 24 He goes on and talks about their 25 plant in West Virginia. And it goes on and talks</p>

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 487</p> <p>1 about some of the changes in the company, of the 2 polypropylene resin was still being sold to Ethicon 3 from these various companies throughout the years. 4 A. Yeah. I think the original supplier 5 was the Novo Mont plant, as I read this document. 6 And they came from -- apparently, they bought the 7 resources of Montecatini. 8 Q. It goes on to say: The objective to 9 every polymer resin run has been to duplicate the 10 original formulation as exactly possible, warts and 11 all. 12 Do I read that correctly? 13 A. Yes. 14 Q. Do you know what warts Ethicon 15 continued to include in their Prolene resin and 16 manufacture of the TVT devices? 17 MR. THOMAS: Object to the form of 18 the question; scope. 19 THE WITNESS: No, although I think 20 that knowing John, I think what he was saying was 21 we're going to keep this original formulation as it 22 is. 23 BY MR. THORNBURGH: 24 Q. No matter what bad things are 25 associated with it, right?</p>	<p style="text-align: right;">Page 489</p> <p>1 Lubrol and using the polypropylene form -- from a 2 continuous reactor versus the original batch 3 reactor. 4 Do you see that? 5 A. Yes. 6 Q. It says: We substituted Procol LA-10 7 for Lubrol solely because the Lubrol became no 8 longer available. However, prior to consummating 9 the substitution, we validated that the Procol was 10 the same material as the Lubrol but from a different 11 vendor. 12 Do you see that? 13 A. Yes. That's my understanding. 14 Q. Okay. So does that help you 15 understand that the Lubrol and the Procol are really 16 the same thing, just from a different vendor? 17 A. Okay. Thanks, Dan, for that 18 clarification. 19 Q. Okay. And it goes on to say the 20 added -- it goes on and lists the additives that 21 were added. 22 It says: The additive package in use 23 today is the same as was used in the original 24 formulation from years ago with the two exceptions 25 noted above.</p>
<p style="text-align: right;">Page 488</p> <p>1 MR. THOMAS: Object to the form of 2 the question; scope. 3 THE WITNESS: I can't put words in -- 4 we have to think through where he's going with this. 5 And that is -- and I've made this statement before. 6 And that is we need to maintain the original 7 formulation because we're accumulating a large 8 database of preclinical and clinical experience that 9 demonstrates the safety and functionality of this 10 product. 11 BY MR. THORNBURGH: 12 Q. Long-term clinical data from folks 13 like the Scandinavian folks, who were paid \$400,000, 14 as long as they -- the adverse events didn't change 15 in their follow-up studies, correct? 16 MR. THOMAS: Object to the form of 17 the question; scope. 18 THE WITNESS: Well, no. I was 19 thinking of the beginnings of Prolene suture in 20 1965. 21 BY MR. THORNBURGH: 22 Q. In any case, they continued to 23 manufacture the same Prolene resin, warts and all. 24 No changes have ever been made in the chemistry with 25 the exception of substituting Procol LA-10 for</p>	<p style="text-align: right;">Page 490</p> <p>1 In addition, 1991, the Santonox 2 levels were reduced slightly. Santonox is an 3 antioxidant that protects the resin from thermal 4 oxidation during extrusion. 5 So you see, actually, in 1991, after 6 the ten-year dog study was started, that Santonox, 7 an antioxidant, was actually reduced from the resin. 8 Do you see that? 9 MR. THOMAS: Object to the form of 10 the question. 11 THE WITNESS: I see the statement. 12 BY MR. THORNBURGH: 13 Q. So the -- the Prolene resin that was 14 used in the ten-year study by Ethicon actually had 15 less antioxidants in it than the sutures that are -- 16 strike that. 17 According to this document, the 18 history is correct. The Prolene sutures that were 19 in the study conducted by Dan Burkley, the ten-year 20 study, had more antioxidants than current production 21 TVT, right? 22 MR. THOMAS: Object to the form of 23 the question; scope. 24 THE WITNESS: It says they were 25 reduced slightly.</p>

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 491</p> <p>1 BY MR. THORNBURGH:</p> <p>2 Q. So there's less Santonox R in the</p> <p>3 Prolene polypropylene to protect against oxidation</p> <p>4 than existed prior to 1991, right?</p> <p>5 MR. THOMAS: Object to the form of</p> <p>6 the question; scope. This is not a designation for</p> <p>7 him at all.</p> <p>8 MR. THORNBURGH: Well, he was</p> <p>9 designated as the person to talk about degradation</p> <p>10 and degradation studies, so I think it's important</p> <p>11 for him to understand that --</p> <p>12 MR. THOMAS: I am not going to argue</p> <p>13 with you.</p> <p>14 MR. THORNBURGH: -- the ten-year data</p> <p>15 had more antioxidants in it than -- than the TVT</p> <p>16 mesh. Yet, it still showed surface degradation.</p> <p>17 Right?</p> <p>18 MR. THOMAS: You're just not going to</p> <p>19 establish that through this witness. He's not been</p> <p>20 designated as a corporate representative on the</p> <p>21 chemical composition of the mesh.</p> <p>22 MR. THORNBURGH: He has been</p> <p>23 designated for degradation. He's been designated as</p> <p>24 the person who will discuss --</p> <p>25 MR. THOMAS: I'm not going to argue</p>	<p style="text-align: right;">Page 493</p> <p>1 MR. THOMAS: Object to the form of</p> <p>2 the question; scope.</p> <p>3 THE WITNESS: This would indicate</p> <p>4 that.</p> <p>5 It also indicates that when this</p> <p>6 minor change was made, the suture extrusion</p> <p>7 processes were fully validated to demonstrate that</p> <p>8 no adverse effect on the suture properties resulted</p> <p>9 from this change.</p> <p>10 MR. THORNBURGH: Move to strike;</p> <p>11 nonresponsive.</p> <p>12 BY MR. THORNBURGH:</p> <p>13 Q. There wasn't even another question</p> <p>14 pending. You've got to wait for me to ask a</p> <p>15 question.</p> <p>16 You were designated as the person</p> <p>17 regarding the additives and leaching, right?</p> <p>18 MR. THOMAS: No.</p> <p>19 BY MR. THORNBURGH:</p> <p>20 Q. Leaching of additives, right?</p> <p>21 MR. THOMAS: Leaching, period.</p> <p>22 THE WITNESS: I understand that I am</p> <p>23 to address biocompatibility issues related to</p> <p>24 leachables, both in terms of local tissue reaction</p> <p>25 and any impact on cytotoxicity.</p>
<p style="text-align: right;">Page 492</p> <p>1 with you.</p> <p>2 MR. THORNBURGH: -- the, you know,</p> <p>3 biocompatibility of this mesh.</p> <p>4 BY MR. THORNBURGH:</p> <p>5 Q. So according to this document, you'd</p> <p>6 have to agree it's based on this document and based</p> <p>7 on what you have seen, the ten-year study, that</p> <p>8 showed surface degradation in the Prolene sutures</p> <p>9 that were tested had greater antioxidants to protect</p> <p>10 against oxidation than current TVT?</p> <p>11 MR. THOMAS: Object to the form of</p> <p>12 the question.</p> <p>13 BY MR. THORNBURGH:</p> <p>14 Q. That's what this document would</p> <p>15 suggest, right?</p> <p>16 MR. THOMAS: Excuse me. You've asked</p> <p>17 about three questions and haven't let him answer any</p> <p>18 of them. Do you want to start over again? Which</p> <p>19 question do you want him to answer?</p> <p>20 Excuse me. Stop. Just --</p> <p>21 BY MR. THORNBURGH:</p> <p>22 Q. According to this document, the</p> <p>23 sutures that were tested by Dan Burkley in the</p> <p>24 ten-year data would have more antioxidants than the</p> <p>25 antioxidants in the TVT, correct?</p>	<p style="text-align: right;">Page 494</p> <p>1 BY MR. THORNBURGH:</p> <p>2 Q. And this would indicate that one of</p> <p>3 the antioxidant additives, Santonox R, which -- do</p> <p>4 you have an understanding that Santonox R is used to</p> <p>5 prevent oxidation during the manufacturing of the</p> <p>6 Prolene meshes?</p> <p>7 A. I've answered all that I can answer</p> <p>8 about this line of questioning. A polymer</p> <p>9 chemist -- need to be discussing these specifics</p> <p>10 with a polymer chemist or an engineer.</p> <p>11 Q. Well, you rely on a lot of studies</p> <p>12 that were conducted prior to -- for your -- for</p> <p>13 your -- the studies related to degradation that</p> <p>14 predate 1991, which show that in 1991, there was a</p> <p>15 reduction of antioxidants in the Prolene suture,</p> <p>16 right?</p> <p>17 MR. THOMAS: Object to the form of</p> <p>18 the question; scope.</p> <p>19 THE WITNESS: That's correct, and at</p> <p>20 the same time, there are plenty of studies conducted</p> <p>21 after 1991 that address these same endpoints.</p> <p>22 MR. THORNBURGH: Move to strike</p> <p>23 everything after, that's correct.</p> <p>24 We've got to change the tape.</p> <p>25 THE VIDEOGRAPHER: We're now going</p>

Confidential - Subject to Protective Order

Page 495	Page 497
<p>1 off the video record. It's now 2:40.</p> <p>2 This concludes Volume 2, Tape</p> <p>3 Number 3 of the videotape deposition of Dr.</p> <p>4 Thomas A. Barbolt.</p> <p>5 (Short break.)</p> <p>6 THE VIDEOGRAPHER: We're back on the</p> <p>7 video record. It's now 3:00 p.m.</p> <p>8 This begins Tape Number 4, Volume 2</p> <p>9 of the videotaped deposition of Dr. Thomas A.</p> <p>10 Barbolt.</p> <p>11 BY MR. THORNBURGH:</p> <p>12 Q. Okay. Dr. Barbolt, before we went</p> <p>13 off the record, we were talking about a change, a</p> <p>14 reduction in the levels of Santonox after 1991. Do</p> <p>15 you remember that?</p> <p>16 A. Yes.</p> <p>17 Q. And this document goes on to say that</p> <p>18 the Santonox is an antioxidant that protects the</p> <p>19 resin from thermal oxidation during extrusion.</p> <p>20 According to this document, the</p> <p>21 Santonox is only there to protect against oxidation</p> <p>22 ex vivo, right?</p> <p>23 MR. THOMAS: Object to the form of</p> <p>24 the question.</p> <p>25 THE WITNESS: I really can't address</p>	<p>1 the question.</p> <p>2 THE WITNESS: That's what it says.</p> <p>3 BY MR. THORNBURGH:</p> <p>4 Q. And we know from your prior testimony</p> <p>5 that the additives, including Santonox, Lubrol,</p> <p>6 DLTD, those additives can bloom to the surface of</p> <p>7 the polypropylene sutures and meshes, correct?</p> <p>8 A. Yes, they can.</p> <p>9 Q. And can leach out of the -- out of</p> <p>10 the fibers in vivo, correct?</p> <p>11 A. Yes. I think that's likely.</p> <p>12 Q. It says calcium stearate is another</p> <p>13 additive; DLTD, an antioxidant to improve long-term</p> <p>14 storage of the resin.</p> <p>15 Do you see that?</p> <p>16 A. Yes.</p> <p>17 Q. So this is an antioxidant used,</p> <p>18 according to this document, used to prevent</p> <p>19 oxidation during the storage of the product,</p> <p>20 correct?</p> <p>21 MR. THOMAS: Object to the form of</p> <p>22 the question.</p> <p>23 THE WITNESS: I see that.</p> <p>24 BY MR. THORNBURGH:</p> <p>25 Q. Again, Santonox R is an antioxidant</p>
Page 496	Page 498
<p>1 the intention of the inclusion of the Santonox R as</p> <p>2 an antioxidant, but, clearly, as it's stated, it</p> <p>3 helps prevent oxidation during extrusion from heat,</p> <p>4 but it may have other purposes to protect against</p> <p>5 any other oxidation. Since it's a free radical</p> <p>6 scavenger, that would be its function.</p> <p>7 But short of that, this would be for</p> <p>8 a polymer engineer to address more specifically.</p> <p>9 BY MR. THORNBURGH:</p> <p>10 Q. Well, extrusion happens outside the</p> <p>11 body, right?</p> <p>12 MR. THOMAS: Object to the form of</p> <p>13 the question.</p> <p>14 BY MR. THORNBURGH:</p> <p>15 Q. During the manufacturing process?</p> <p>16 MR. THOMAS: Object to the form of</p> <p>17 the question.</p> <p>18 THE WITNESS: Extrusion occurs during</p> <p>19 the manufacturing process.</p> <p>20 BY MR. THORNBURGH:</p> <p>21 Q. So according to this document, the</p> <p>22 Santonox is an antioxidant that protects the resin</p> <p>23 from thermal oxidation during the extrusion</p> <p>24 manufacture process, right?</p> <p>25 MR. THOMAS: Object to the form of</p>	<p>1 to promote stability during compounding and</p> <p>2 extrusion, correct?</p> <p>3 MR. THOMAS: Object to the form of</p> <p>4 the question.</p> <p>5 THE WITNESS: Yes. That's what it</p> <p>6 says.</p> <p>7 BY MR. THORNBURGH:</p> <p>8 Q. And Procol LA is a lubricant to help</p> <p>9 reduce tissue drag and promote tissue passage.</p> <p>10 Do you see that?</p> <p>11 A. Yes.</p> <p>12 MR. THOMAS: Object to the form of</p> <p>13 the question.</p> <p>14 BY MR. THORNBURGH:</p> <p>15 Q. And the SCP pigment is a colorant to</p> <p>16 enhance visibility.</p> <p>17 Do you see that?</p> <p>18 MR. THOMAS: Same objection.</p> <p>19 THE WITNESS: Yes.</p> <p>20 BY MR. THORNBURGH:</p> <p>21 Q. So according to this document, the</p> <p>22 DLTD and the Santonox are antioxidants used to</p> <p>23 prevent oxidation during either the manufacturing,</p> <p>24 compounding, or storage of the Prolene mesh,</p> <p>25 correct?</p>

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 499</p> <p>1 MR. THOMAS: Object to the form of</p> <p>2 the question.</p> <p>3 THE WITNESS: That's what's stated in</p> <p>4 this document.</p> <p>5 BY MR. THORNBURGH:</p> <p>6 Q. So let's go back to Exhibit T-2132.</p> <p>7 Again, this document is the mechanism</p> <p>8 of cytotoxicity for TVT polypropylene mesh that we</p> <p>9 were discussing, which you drafted sometime while</p> <p>10 you were employed with Ethicon, correct?</p> <p>11 A. Yes.</p> <p>12 Q. And we discussed how Santonox R</p> <p>13 tested severely cytotoxic at 3 milligrams per</p> <p>14 milliliter, but non-cytotoxic at 2 milligrams per</p> <p>15 milliliter, right?</p> <p>16 MR. THOMAS: Object to form.</p> <p>17 It's .2 milligrams per milliliter.</p> <p>18 MR. THORNBURGH: .2 milligrams per</p> <p>19 milliliter. Thank you, Counsel.</p> <p>20 THE WITNESS: Yes, that's correct.</p> <p>21 BY MR. THORNBURGH:</p> <p>22 Q. And you go on to say that the Procol,</p> <p>23 which is the compound here, is the polyoxyethylene</p> <p>24 lauryl.</p> <p>25 Do you see that?</p>	<p style="text-align: right;">Page 501</p> <p>1 non-cytotoxic polypropylene mesh, Prolene.</p> <p>2 The tissue reaction in TVT mesh was</p> <p>3 characterized generally by mild, chronic</p> <p>4 inflammation during the 28-day study, which was</p> <p>5 comparable to the tissue reaction observed for</p> <p>6 Prolene mesh.</p> <p>7 Do you see that?</p> <p>8 A. Yes.</p> <p>9 Q. That was a short-term study, correct?</p> <p>10 A. 28-day study. It would be considered</p> <p>11 short term.</p> <p>12 Q. And that was a study that looked at</p> <p>13 inflammatory -- or tissue response differences</p> <p>14 between two mesh devices, both of which contained</p> <p>15 blooming and leaching additives, including Procol,</p> <p>16 correct?</p> <p>17 A. Yes, but likely to different extents.</p> <p>18 Q. You're comparing apples to apples --</p> <p>19 apples to apples in this experiment, weren't you?</p> <p>20 A. Apples to apples?</p> <p>21 MR. THOMAS: Object to the form of</p> <p>22 the question.</p> <p>23 BY MR. THORNBURGH:</p> <p>24 Q. Yeah.</p> <p>25 A. I don't understand.</p>
<p style="text-align: right;">Page 500</p> <p>1 A. Yes.</p> <p>2 Q. And the Procol was tested at</p> <p>3 3.5 milligrams per milliliter and resulted in severe</p> <p>4 cytotoxicity.</p> <p>5 Severe -- so then, you ran another</p> <p>6 test, reducing the volume of Procol, which again</p> <p>7 tested severely cytotoxic, correct?</p> <p>8 A. Yes.</p> <p>9 Q. And then you reduced it yet again.</p> <p>10 And the third test further confirmed the severe</p> <p>11 cytotoxic potential of Procol, correct?</p> <p>12 A. Yes.</p> <p>13 Q. And Procol is an additive that can</p> <p>14 bloom to the surface during the manufacturing</p> <p>15 process and leach out while implanted in a woman's</p> <p>16 body, correct?</p> <p>17 MR. THOMAS: Object to the form of</p> <p>18 the question.</p> <p>19 THE WITNESS: Yes.</p> <p>20 BY MR. THORNBURGH:</p> <p>21 Q. It says: To evaluate the</p> <p>22 significance of the cytotoxicity in a clinically</p> <p>23 relevant in vivo system, an intramuscular</p> <p>24 implantation study was conducted in rats using</p> <p>25 cytotoxic polypropylene mesh from the TVT device and</p>	<p style="text-align: right;">Page 502</p> <p>1 Q. Well, we've already -- you've already</p> <p>2 established, and these documents establish and your</p> <p>3 testing established, that Procol, which was</p> <p>4 contained in both of these products, was severely</p> <p>5 cytotoxic, even at very low levels, right?</p> <p>6 A. Yes, as we discuss in the paragraph</p> <p>7 at the top.</p> <p>8 Q. So you are testing two mesh products,</p> <p>9 both of which contained a severely cytotoxic</p> <p>10 additive, to compare the difference in tissue</p> <p>11 reaction, correct?</p> <p>12 A. Yes.</p> <p>13 MR. THOMAS: Object to the form of</p> <p>14 the question.</p> <p>15 BY MR. THORNBURGH:</p> <p>16 Q. Now, one of the differences I assume</p> <p>17 that you'll testify to is -- well, strike that.</p> <p>18 In summary, this data suggests that</p> <p>19 the probable mechanism of cytotoxicity of the</p> <p>20 polypropylene mesh from the TVT devices is the</p> <p>21 presence of Procol LA-10, a potent non-ionic</p> <p>22 surfactant, with the ability to disrupt cell</p> <p>23 membranes and cause cell death in in vitro systems.</p> <p>24 Right?</p> <p>25 A. That's correct.</p>

Confidential - Subject to Protective Order

Page 503	Page 505
<p>1 Q. The increased cytotoxicity of</p> <p>2 polypropylene suture -- and this is a question I</p> <p>3 have for you.</p> <p>4 The increased cytotoxicity of</p> <p>5 polypropylene suture after autoclaving can be</p> <p>6 attributed to the increased amount of Procol LA in</p> <p>7 aqueous extracts. Thus, any treatment in</p> <p>8 polypropylene mesh which would result in more or</p> <p>9 less of Procol LA-10 available for extraction would</p> <p>10 be expected to result in greater or lesser</p> <p>11 cytotoxicity respectively.</p> <p>12 Do you know if the polypropylene in</p> <p>13 TVT is autoclaved?</p> <p>14 A. No. Sterilized by ethylene oxide.</p> <p>15 Q. Okay. But the issue with autoclaving</p> <p>16 was the additional heat that is applied to sterilize</p> <p>17 the mesh, right?</p> <p>18 A. The suture and -- yes, that's</p> <p>19 correct.</p> <p>20 Q. Which can cause blooming of these</p> <p>21 additives at the surface of the polypropylene. Is</p> <p>22 that correct?</p> <p>23 A. Yes. That's the hypothesis.</p> <p>24 Q. Now, what we know from your prior</p> <p>25 testimony is that the TVT device undergoes the heat</p>	<p>1 Q. Do you recall writing a</p> <p>2 biocompatibility assessment where you say</p> <p>3 specifically that the -- what you'd expect to see in</p> <p>4 vivo if TVT was cytotoxic would be delayed or wound</p> <p>5 healing defects or ulcerations?</p> <p>6 A. I don't recall that specifically.</p> <p>7 Certainly, the adverse impact in wound healing. And</p> <p>8 I guess if it's severe enough, it might cause</p> <p>9 ulceration of overlying tissue, but I don't recall</p> <p>10 that specifically.</p> <p>11 Q. You would agree that based on the</p> <p>12 evidence, TVT, the Prolene in TVT, showed evidence</p> <p>13 of cytotoxicity --</p> <p>14 MR. THOMAS: Object to the form of</p> <p>15 the question.</p> <p>16 BY MR. THORNBURGH:</p> <p>17 Q. -- at least in vitro?</p> <p>18 A. Yes. It showed evidence of</p> <p>19 cytotoxicity in vitro.</p> <p>20 Q. And nowhere in the IFU are those</p> <p>21 findings disclosed to physicians, correct?</p> <p>22 A. Yes. And that's because there's no</p> <p>23 translation to increase tissue reaction or adverse</p> <p>24 impact in wound healing.</p> <p>25 Q. Have you seen the studies that show</p>
Page 504	Page 506
<p>1 shrink tubing, which also can cause blooming of</p> <p>2 antioxidants like -- or the additives like Procol to</p> <p>3 the surface of the TVT fibers, correct?</p> <p>4 A. Yes, that's correct.</p> <p>5 Q. And if the Procol blooms to the</p> <p>6 surface during the manufacturing process, it can</p> <p>7 increase the risk of cytotoxicity, correct?</p> <p>8 MR. THOMAS: Object to the form of</p> <p>9 the question.</p> <p>10 THE WITNESS: It can increase the</p> <p>11 risk of cytotoxicity in vitro. However, all of the</p> <p>12 in vivo implantation studies suggest that that's not</p> <p>13 the case; that the substance that might cause severe</p> <p>14 in vitro cytotoxicity is not making a contribution</p> <p>15 to increased tissue reaction in vivo.</p> <p>16 BY MR. THORNBURGH:</p> <p>17 Q. Well, some of the things that -- some</p> <p>18 of the symptoms that we would see if polypropylene</p> <p>19 in TVT is cytotoxic would be increased tissue</p> <p>20 reaction, wound healing defects, and ulcerations,</p> <p>21 correct?</p> <p>22 A. I think certainly increased tissue</p> <p>23 reaction and adverse impact in wound healing. The</p> <p>24 ulceration question, it kind of depends. I</p> <p>25 generalized by saying that.</p>	<p>1 that the Prolene mesh can cause chronic wound</p> <p>2 healing problems?</p> <p>3 MR. THOMAS: Object to the form of</p> <p>4 the question.</p> <p>5 THE WITNESS: No. I'd have to see</p> <p>6 the specific reports that you're talking about.</p> <p>7 BY MR. THORNBURGH:</p> <p>8 Q. I am asking you: Do you recall</p> <p>9 seeing any studies as you sit here -- did you review</p> <p>10 any studies before you came in here today that</p> <p>11 showed that the Prolene -- that the polypropylene</p> <p>12 meshes can lead to chronic wound healing problems?</p> <p>13 MR. THOMAS: Object to the form of</p> <p>14 the question.</p> <p>15 THE WITNESS: No.</p> <p>16 BY MR. THORNBURGH:</p> <p>17 Q. Did you review any studies before you</p> <p>18 came here today that show that the Prolene in TVT</p> <p>19 can cause erosions and extrusions through the</p> <p>20 vaginal wall?</p> <p>21 MR. THOMAS: Object to the form of</p> <p>22 the question.</p> <p>23 THE WITNESS: No. And that would be</p> <p>24 in the clinical area, and my responsibility here is</p> <p>25 to address preclinical questions.</p>

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 507</p> <p>1 BY MR. THORNBURGH:</p> <p>2 Q. Did you look at any -- any of the</p> <p>3 explant reports that Ethicon received that showed</p> <p>4 that women who had mesh devices explanted, also,</p> <p>5 some of those women had ulcerations?</p> <p>6 MR. THOMAS: Object to the form of</p> <p>7 the question.</p> <p>8 THE WITNESS: There would be a</p> <p>9 clinical explant, and I have not reviewed any of</p> <p>10 that information.</p> <p>11 BY MR. THORNBURGH:</p> <p>12 Q. You have also been designated as the</p> <p>13 30(b)(6) witness to discuss the specifics of all</p> <p>14 testing related to TVT products during the design,</p> <p>15 development stages, including but not limited to</p> <p>16 porosity testing, particle loss, degradation, and</p> <p>17 leaching. We'll shorten that up.</p> <p>18 You have also been designated as the</p> <p>19 Ethicon person who will testify regarding all</p> <p>20 testing related to the TVT products and particle</p> <p>21 loss. Correct?</p> <p>22 A. Yes, that's correct.</p> <p>23 MR. THORNBURGH: Off the record.</p> <p>24 THE VIDEOGRAPHER: Off the video</p> <p>25 record, 3:18.</p>	<p style="text-align: right;">Page 509</p> <p>1 THE WITNESS: Okay.</p> <p>2 BY MR. THORNBURGH:</p> <p>3 Q. And it will relate preclinically.</p> <p>4 A. Okay. Fine.</p> <p>5 Q. We'll talk about it and refresh in</p> <p>6 the preclinical context.</p> <p>7 A. Okay. Fine.</p> <p>8 Q. Now, this is a document that</p> <p>9 discusses problems with particle loss that were</p> <p>10 being experienced -- were experienced by Ethicon</p> <p>11 regarding its TVT products, correct?</p> <p>12 MR. THOMAS: Object to the form of</p> <p>13 the question.</p> <p>14 THE WITNESS: I'm sorry. I was kind</p> <p>15 of reading through here, and I see that I have</p> <p>16 looked at it before.</p> <p>17 Could you please repeat that</p> <p>18 question?</p> <p>19 BY MR. THORNBURGH:</p> <p>20 Q. Yeah. This is an e-mail from Dan</p> <p>21 Smith to Janice Burns which discusses problems of</p> <p>22 particle loss that were being seen by doctors in the</p> <p>23 field who were using the TVT product, right?</p> <p>24 MR. THOMAS: Object to the form of</p> <p>25 the question.</p>
<p style="text-align: right;">Page 508</p> <p>1 (Short break.)</p> <p>2 THE VIDEOGRAPHER: Back on the video</p> <p>3 record, 3:24.</p> <p>4 BY MR. THORNBURGH:</p> <p>5 Q. Doctor, I want to mark as -- give me</p> <p>6 one second.</p> <p>7 There we go. I am going to mark as</p> <p>8 Exhibit Number 2255 an e-mail dated February 27,</p> <p>9 2004.</p> <p>10 (Document marked for identification</p> <p>11 as Exhibit T-2255.)</p> <p>12 BY MR. THORNBURGH:</p> <p>13 Q. This is an e-mail from Dan Smith to a</p> <p>14 number of -- or to Janice Burns dated February 27,</p> <p>15 2004, discussing issues with TVT and particle loss.</p> <p>16 Right?</p> <p>17 MR. THOMAS: Object to the form of</p> <p>18 the question.</p> <p>19 THE WITNESS: I've not seen this</p> <p>20 memo, and I am not sure that it relates to the</p> <p>21 biocompatibility or particle loss in a preclinical</p> <p>22 arena. I have to read through here --</p> <p>23 MR. THOMAS: I think they showed it</p> <p>24 to you at your last deposition.</p> <p>25 MR. THORNBURGH: Yeah.</p>	<p style="text-align: right;">Page 510</p> <p>1 THE WITNESS: Yes. That's what it</p> <p>2 looks like.</p> <p>3 BY MR. THORNBURGH:</p> <p>4 Q. And in that context, Dan Smith says:</p> <p>5 This is not going away any time soon, and</p> <p>6 competition will have a field day. Major damage</p> <p>7 control offensive needs to start to educate reps and</p> <p>8 surgeons upfront they -- that they will see blue</p> <p>9 shit, and it is okay. This is why I wanted to</p> <p>10 launch TVT-O in clear.</p> <p>11 Do you see that?</p> <p>12 A. Yes.</p> <p>13 Q. And when you worked for -- as</p> <p>14 Ethicon, you recognize that there is -- at least</p> <p>15 during the mechanical cut days of TVT mesh, there</p> <p>16 was a problem with particles falling away from the</p> <p>17 mesh, right?</p> <p>18 MR. THOMAS: Object to the form of</p> <p>19 the question; scope.</p> <p>20 THE WITNESS: Yes.</p> <p>21 BY MR. THORNBURGH:</p> <p>22 Q. In fact, that same month -- I've</p> <p>23 handed you what's been marked as Exhibit</p> <p>24 Number 2256.</p> <p>25 (Document marked for identification</p>

55 (Pages 507 to 510)

Confidential - Subject to Protective Order

Page 511	Page 513
<p>1 as Exhibit T-2256.)</p> <p>2 MR. THOMAS: May I have one, please?</p> <p>3 BY MR. THORNBURGH:</p> <p>4 Q. That same year, in November of 2004,</p> <p>5 Ethicon received an e-mail concerning complaints</p> <p>6 from Dr. Eberhard.</p> <p>7 It says: Dear all, please see</p> <p>8 attached below a letter with pictures of</p> <p>9 competitor's device and its translation from Dr.</p> <p>10 Eberhard, an important customer in Switzerland,</p> <p>11 regarding mesh fraying. Regarding the mesh frayed</p> <p>12 complaints, decision is not open corrective</p> <p>13 action -- a decision to not open corrective action</p> <p>14 is based on the following memo. Could you please</p> <p>15 give feedback?</p> <p>16 So this is an e-mail regarding</p> <p>17 Dr. Eberhard, who had written a letter to Ethicon</p> <p>18 regarding problems with the mesh devices, right?</p> <p>19 MR. THOMAS: Object to the form of</p> <p>20 the question; scope.</p> <p>21 THE WITNESS: Yes. It looks that to</p> <p>22 be the case.</p> <p>23 BY MR. THORNBURGH:</p> <p>24 Q. And David Menneret on November 9th --</p> <p>25 of November 12th of 2004 wrote that: We already</p>	<p>1 BY MR. THORNBURGH:</p> <p>2 Q. What's been marked as Exhibit</p> <p>3 Number 2257 is a document or a fax that was received</p> <p>4 by Basso Sibylle to David Menneret, who said:</p> <p>5 Attached is Dr. Eberhard's letter regarding TVT blue</p> <p>6 tape.</p> <p>7 Do you see that?</p> <p>8 A. Yes.</p> <p>9 (Document marked for identification</p> <p>10 as Exhibit T-2258.)</p> <p>11 BY MR. THORNBURGH:</p> <p>12 Q. I've marked as Exhibit Number 2258</p> <p>13 the translated letter from Dr. Eberhard, who writes:</p> <p>14 Dear Emilie, Business Unit Manager Gynecare</p> <p>15 Switzerland. Please find attached a TVT tape which</p> <p>16 was used as a demo unit for patients before they had</p> <p>17 their operation. Already at the operation, it is</p> <p>18 embarrassing to see how the tape is crumbling. It</p> <p>19 gets worse if there is stretch on the tape.</p> <p>20 I can't understand that no one will</p> <p>21 solve the problem for such a long time. At least as</p> <p>22 the tape has becoming blue, everyone has realized</p> <p>23 that the quality of the tape is terrible. A tape</p> <p>24 has to be weaved and should not crumble. Please try</p> <p>25 one and you will see that the tape is crumbling.</p>
Page 512	Page 514
<p>1 received similar complaints. This kind of issue is</p> <p>2 usually attributed to over-tensioning of the tape</p> <p>3 during the procedure. Fraying is inherent in the</p> <p>4 product based on the mesh construction. When any</p> <p>5 amount of tension is applied to the mesh, fraying</p> <p>6 occurs. Stretching of the mesh increases the</p> <p>7 probability of fraying.</p> <p>8 Do you see that there?</p> <p>9 MR. THOMAS: Object to the form of</p> <p>10 the question; scope.</p> <p>11 THE WITNESS: Yes.</p> <p>12 BY MR. THORNBURGH:</p> <p>13 Q. I am going to put it in the scope of</p> <p>14 the deposition. So according to David Menneret, one</p> <p>15 of the problems with fraying and particle loss was</p> <p>16 from tensioning of the mesh and specifically</p> <p>17 tensioning of the TVT tape or the tape that was</p> <p>18 being used by Ethicon, correct?</p> <p>19 MR. THOMAS: Same objection.</p> <p>20 THE WITNESS: Yes. I think that's</p> <p>21 what they're referring to.</p> <p>22 (Whereupon, a discussion was held off</p> <p>23 the record.)</p> <p>24 (Document marked for identification</p> <p>25 as Exhibit T-2257.)</p>	<p>1 Did I read that correctly?</p> <p>2 MR. THOMAS: Object to the form;</p> <p>3 scope.</p> <p>4 THE WITNESS: Yes.</p> <p>5 (Document marked for identification</p> <p>6 as Exhibit T-2259.)</p> <p>7 BY MR. THORNBURGH:</p> <p>8 Q. Marked as Exhibit Number 2259 a</p> <p>9 compilation of e-mails --</p> <p>10 MR. THOMAS: May I have one, please?</p> <p>11 MR. THORNBURGH: I'm sorry, Counsel.</p> <p>12 BY MR. THORNBURGH:</p> <p>13 Q. -- a string of e-mails in which</p> <p>14 Charlotte Owens was one of the recipients and</p> <p>15 authors of the e-mails.</p> <p>16 Do you know who Charlotte Owens is?</p> <p>17 A. I think we overlapped a little bit.</p> <p>18 Obviously, she is a medical director of Gynecare.</p> <p>19 Q. So she was in charge, the director of</p> <p>20 the medical affairs part of Ethicon, right?</p> <p>21 A. Yes, for Gynecare.</p> <p>22 Q. For Gynecare.</p> <p>23 And she received, according to this</p> <p>24 document, an e-mail from Dan Smith, who appears to</p> <p>25 have included an e-mail or an excerpt from something</p>

Confidential - Subject to Protective Order

Page 515	Page 517
<p>1 authored by Steve Bell of Gynecare.</p> <p>2 It says: Dear all, as more and more</p> <p>3 customers now move to TVT blue and TVT-O with blue</p> <p>4 mesh, you may sometimes hear, I can see small blue</p> <p>5 pieces come off the mesh. What's wrong?</p> <p>6 The key points, it says, number two,</p> <p>7 the same -- number one, Gynecare blue TVT mesh and</p> <p>8 Gynecare clear TVT mesh are exactly the same.</p> <p>9 Number two, the same number of</p> <p>10 particles came off the clear mesh when it was</p> <p>11 stretched.</p> <p>12 Do you see where it says "when it was</p> <p>13 stretched"? Do you see that?</p> <p>14 A. Yes.</p> <p>15 Q. Okay. It's just that you see them</p> <p>16 against the tissue and skin more when they are blue.</p> <p>17 This is no different to what has happened in the</p> <p>18 past seven years with TVT.</p> <p>19 Reassure your doctors that this is</p> <p>20 part of the success of TVT. The way we have cut the</p> <p>21 mesh makes the edges softer, and we feel that this</p> <p>22 has been a crucial success factor in TVT. Reassure</p> <p>23 that Prolene has proven to be inert.</p> <p>24 Do you see that? "Proven to be</p> <p>25 inert." Right?</p>	<p>1 the question.</p> <p>2 BY MR. THORNBURGH:</p> <p>3 Q. Yes, Doctor?</p> <p>4 A. Yes.</p> <p>5 Q. This doesn't -- this summary doesn't</p> <p>6 say remind physicians that Prolene mesh is</p> <p>7 susceptible to surface degradation, does it?</p> <p>8 A. I don't know that I should be even</p> <p>9 commenting on this exchange between a marketing</p> <p>10 person and the field.</p> <p>11 Q. Well --</p> <p>12 A. First, he's not a scientist. Second,</p> <p>13 I am not sure what it's got to do with the</p> <p>14 preclinical data that we brought here to talk about.</p> <p>15 Q. I am going to put it all into</p> <p>16 context. I assure you.</p> <p>17 A. Okay.</p> <p>18 Q. But it says -- it doesn't say remind</p> <p>19 physicians who are purchasing these permanent</p> <p>20 implants which are going to be put into -- in and</p> <p>21 around the vaginal area of the woman's body, that</p> <p>22 the surface area or the surface layer of the Prolene</p> <p>23 in the TVT is susceptible to surface cracking or</p> <p>24 surface degradation, right?</p> <p>25 MR. THOMAS: Object to the form of</p>
Page 516	Page 518
<p>1 A. Yes, I see that.</p> <p>2 Q. In summary, be proactive. The</p> <p>3 competition will try to target this, especially</p> <p>4 Bard, as they have a sealed edge tape, and remind</p> <p>5 your customers it is the same as clear. It is</p> <p>6 proven safe implant. In the blue format over</p> <p>7 100,000 have been implanted worldwide. Remind them</p> <p>8 that the benefits -- of the benefits of blue mesh.</p> <p>9 Remind them it is inert Prolene with over 25 years</p> <p>10 of health. Remind them our wealth of clinical data</p> <p>11 with ultra low complication rates.</p> <p>12 Do you see that?</p> <p>13 A. Yes. I can read it.</p> <p>14 Q. Okay. So number one is -- there's</p> <p>15 particle loss being seen when the tape is stretched.</p> <p>16 Do you see that?</p> <p>17 MR. THOMAS: Object to the form of</p> <p>18 the question; scope.</p> <p>19 THE WITNESS: Yes, I see it.</p> <p>20 BY MR. THORNBURGH:</p> <p>21 Q. Okay. And, number two, we know from</p> <p>22 what we've seen in the internal studies by Ethicon</p> <p>23 that the Prolene in the TVT mesh is susceptible to</p> <p>24 surface degradation, correct?</p> <p>25 MR. THOMAS: Object to the form of</p>	<p>1 the question. Scope.</p> <p>2 THE WITNESS: I want to make a</p> <p>3 distinction between particles shed from the mesh,</p> <p>4 which I consider a macroparticle, and the kind of</p> <p>5 microparticles that you're alluding might shed from</p> <p>6 or as a result of some sort of surface cracking</p> <p>7 observed on the Prolene fiber. Two different</p> <p>8 issues.</p> <p>9 BY MR. THORNBURGH:</p> <p>10 Q. Both --</p> <p>11 MR. THOMAS: Are you finished?</p> <p>12 THE WITNESS: Yeah.</p> <p>13 MR. THOMAS: Sorry.</p> <p>14 BY MR. THORNBURGH:</p> <p>15 Q. Both of which, by themselves, can</p> <p>16 elicit a -- an inflammatory response.</p> <p>17 MR. THOMAS: Object to the form of</p> <p>18 the question.</p> <p>19 BY MR. THORNBURGH:</p> <p>20 Q. In fact, nanoparticles or</p> <p>21 microparticles will excite macrophages more than</p> <p>22 macroparticles will.</p> <p>23 MR. THOMAS: Which question do you</p> <p>24 want him to answer?</p> <p>25 BY MR. THORNBURGH:</p>

57 (Pages 515 to 518)

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 519</p> <p>1 Q. Correct?</p> <p>2 MR. THOMAS: Which question do you</p> <p>3 want him to answer? You posed two of them.</p> <p>4 MR. THORNBURGH: Both.</p> <p>5 MR. THOMAS: One at a time.</p> <p>6 MR. THORNBURGH: My last one first.</p> <p>7 THE WITNESS: So the first part, the</p> <p>8 fragments that we've talked about that have been</p> <p>9 observed alongside the suture and in what I call</p> <p>10 macroparticles have a tissue reaction to them very</p> <p>11 similar to the polypropylene fiber.</p> <p>12 And the second question in terms of</p> <p>13 these microparticles that I make reference to that</p> <p>14 you allude would come off the surface as a result of</p> <p>15 surface cracking, there's been no evidence in any of</p> <p>16 the 49 documents that I've brought today that</p> <p>17 there's an increase in tissue reaction over time.</p> <p>18 And, in fact, in many studies, there's a diminution</p> <p>19 of the tissue reaction over time. So there's no</p> <p>20 evidence to support that second piece.</p> <p>21 BY MR. THORNBURGH:</p> <p>22 Q. The truth is the testing that you and</p> <p>23 Ethicon were doing preclinically was really</p> <p>24 marketing studies. They were studies to -- that</p> <p>25 were being conducted because of the threat from</p>	<p style="text-align: right;">Page 521</p> <p>1 Q. It's not the same implant condition</p> <p>2 that is occurring in women who are having these</p> <p>3 implants put in their bodies for the rest of their</p> <p>4 lives --</p> <p>5 MR. THOMAS: Object to the form of</p> <p>6 the question.</p> <p>7 BY MR. THORNBURGH:</p> <p>8 Q. -- right?</p> <p>9 MR. THOMAS: Scope.</p> <p>10 THE WITNESS: I don't know all the</p> <p>11 parameters of that condition that you make reference</p> <p>12 to, okay, because I suspect that each patient has</p> <p>13 different issues.</p> <p>14 And this study was an attempt to make</p> <p>15 the implantation procedure very consistent so that</p> <p>16 we could determine whether or not there is</p> <p>17 stretching of the tape or deposition of particles in</p> <p>18 the surrounding tissue.</p> <p>19 BY MR. THORNBURGH:</p> <p>20 Q. You didn't answer my question</p> <p>21 completely.</p> <p>22 It's not the same implant condition</p> <p>23 that is occurring in women who are having these</p> <p>24 implants put into their bodies for the rest of their</p> <p>25 lives.</p>
<p style="text-align: right;">Page 520</p> <p>1 competitors like Bard.</p> <p>2 MR. THOMAS: Object to the form of</p> <p>3 the question; scope.</p> <p>4 THE WITNESS: Absolutely not. The</p> <p>5 preclinical studies conducted by Ethicon were either</p> <p>6 for regulatory submission or for internal</p> <p>7 information to advance product development.</p> <p>8 BY MR. THORNBURGH:</p> <p>9 Q. When you did rabbit studies that</p> <p>10 looked at particle loss in rabbits, the tape that</p> <p>11 was being implanted in the rabbits was not</p> <p>12 undergoing the same type of stresses and strains</p> <p>13 that the tape undergoes in the human environment or</p> <p>14 the human condition when the device is being</p> <p>15 implanted, correct?</p> <p>16 MR. THOMAS: Object to the form of</p> <p>17 the question; scope.</p> <p>18 THE WITNESS: As I recall in that</p> <p>19 study -- and we could make reference to it, and I</p> <p>20 probably should go to it -- that they implanted the</p> <p>21 mesh in a manner that the mesh might be implanted in</p> <p>22 patients; that is, insertion, passage through</p> <p>23 muscle, which would offer up some tension, and then</p> <p>24 implantation.</p> <p>25 BY MR. THORNBURGH:</p>	<p style="text-align: right;">Page 522</p> <p>1 MR. THOMAS: Object to the form of</p> <p>2 the question; scope. And, also, he did answer your</p> <p>3 question.</p> <p>4 BY MR. THORNBURGH:</p> <p>5 Q. Well, number one, rabbits are</p> <p>6 quadrupeds, not bipedal, right?</p> <p>7 A. Well, I thought we were talking about</p> <p>8 the conditions of implantation, and it would have</p> <p>9 nothing to do with the number of legs.</p> <p>10 Q. Well, we're talking about -- we're</p> <p>11 talking about the condition, the real human</p> <p>12 condition, compared to the animal condition where</p> <p>13 you conducted these studies.</p> <p>14 MR. THOMAS: He's not a clinical guy.</p> <p>15 MR. THORNBURGH: Number one -- I</p> <p>16 think he can say pretty easily that rabbits are</p> <p>17 bipedal -- or quadrupeds, not bipeds.</p> <p>18 BY MR. THORNBURGH:</p> <p>19 Q. Right?</p> <p>20 A. I said I don't know all the</p> <p>21 conditions in the clinical situation that you're</p> <p>22 alluding to and whether or not they would compare</p> <p>23 with the passage of mesh through skeletal muscle of</p> <p>24 rabbit.</p> <p>25 Q. Your rat study, which has previously</p>

58 (Pages 519 to 522)

Confidential - Subject to Protective Order

Page 523	Page 525
<p>1 been marked as T-2133, ETH.MESH.05316775 --</p> <p>2 MR. THOMAS: Which one are we talking</p> <p>3 about, Dan?</p> <p>4 MR. THORNBURGH: Sorry.</p> <p>5 MR. THOMAS: Which study?</p> <p>6 MR. THORNBURGH: Yeah. The</p> <p>7 histological evaluation and comparison of mechanical</p> <p>8 pullout strength of Prolene and Prolene Soft mesh in</p> <p>9 a rabbit model.</p> <p>10 Let's go ahead and mark it as an</p> <p>11 exhibit.</p> <p>12 It's already been marked, Exhibit</p> <p>13 Number 2133. Sorry. 2133. It was marked at a</p> <p>14 prior deposition.</p> <p>15 MR. THOMAS: Oh, okay.</p> <p>16 Do you have another one?</p> <p>17 MR. THORNBURGH: Yeah, I do. Sorry.</p> <p>18 I think I left the extra copy -- oh, found it.</p> <p>19 2133.</p> <p>20 BY MR. THORNBURGH:</p> <p>21 Q. Now, Ethicon was concerned about</p> <p>22 the -- what the competition would say about the TVT</p> <p>23 products as a result of the particles that were</p> <p>24 being seen with the TVT blue, correct?</p> <p>25 MR. THOMAS: Object to the form of</p>	<p>1 musculature.</p> <p>2 Q. Okay. And how much mesh is implanted</p> <p>3 in women during the implant process?</p> <p>4 MR. THOMAS: Object to the form of</p> <p>5 the question; scope.</p> <p>6 THE WITNESS: I don't know that</p> <p>7 number. That's a clinical issue, and it would</p> <p>8 depend on which TVT product you're talking about.</p> <p>9 BY MR. THORNBURGH:</p> <p>10 Q. Well, the more mesh, the more</p> <p>11 particles there are to flake off of the mesh device,</p> <p>12 right?</p> <p>13 MR. THOMAS: Object to the form of</p> <p>14 the question.</p> <p>15 THE WITNESS: I don't know that for</p> <p>16 certain.</p> <p>17 BY MR. THORNBURGH:</p> <p>18 Q. You don't know that?</p> <p>19 A. No.</p> <p>20 Q. Did you look at the Pariente study</p> <p>21 before you came here today?</p> <p>22 A. No.</p> <p>23 Q. Do you recall discussing the Pariente</p> <p>24 study during your deposition last time?</p> <p>25 A. The name sounds familiar.</p>
Page 524	Page 526
<p>1 the question; scope.</p> <p>2 THE WITNESS: Yeah. And I guess I</p> <p>3 can't really address what Ethicon was thinking and</p> <p>4 why they did stuff, only to -- insofar as it</p> <p>5 reflects the documents that we brought here today to</p> <p>6 talk about biocompatibility or any preclinical</p> <p>7 studies.</p> <p>8 BY MR. THORNBURGH:</p> <p>9 Q. So you conducted a 14-day rabbit</p> <p>10 study, right?</p> <p>11 A. Ethicon conducted such a study.</p> <p>12 Q. And women who have these devices</p> <p>13 implanted in their bodies are -- the intention is</p> <p>14 that these implants will remain in their bodies for</p> <p>15 the rest of the woman's life, correct?</p> <p>16 A. Yes.</p> <p>17 Q. Now, how much mesh -- what was the</p> <p>18 size of the mesh implanted in the rabbits?</p> <p>19 A. The mesh was -- the TVT tape width,</p> <p>20 about 10 millimeters. That's what was implanted.</p> <p>21 And samples of Prolene Soft mesh and ultrasonically</p> <p>22 cut mesh were done in a very similar way.</p> <p>23 And as I look on Page</p> <p>24 ETH.MESH.05316780, the intention was to leave 3</p> <p>25 centimeters of that mesh within the epaxial</p>	<p>1 Q. Do you recall that in the Pariente</p> <p>2 study, it was found that 8.5 percent of the</p> <p>3 particles in the TVT mesh fell away from the TVT</p> <p>4 product?</p> <p>5 MR. THOMAS: Object to the form of</p> <p>6 the question; scope.</p> <p>7 THE WITNESS: I don't recall that</p> <p>8 information.</p> <p>9 BY MR. THORNBURGH:</p> <p>10 Q. Did any of your studies try to mimic</p> <p>11 the stresses and strains that were used in the</p> <p>12 Pariente study during the implantation of the mesh</p> <p>13 in rabbits, and in this case, in rabbits for</p> <p>14 14 days?</p> <p>15 MR. THOMAS: Object to the form of</p> <p>16 the question; scope.</p> <p>17 Do you have one to show him?</p> <p>18 THE WITNESS: Was it a clinical study</p> <p>19 or a preclinical study?</p> <p>20 MR. THOMAS: That's why I want you to</p> <p>21 see it.</p> <p>22 MR. THORNBURGH: It was an ex vivo</p> <p>23 study.</p> <p>24 THE WITNESS: It could be ex vivo</p> <p>25 from animals or humans.</p>

Confidential - Subject to Protective Order

Page 527	Page 529
<p>1 BY MR. THORNBURGH:</p> <p>2 Q. Do you know sitting here today</p> <p>3 whether the studies that you did were -- whether or</p> <p>4 not you used the Pariente study to determine</p> <p>5 particle loss in any of the studies that you did?</p> <p>6 MR. THOMAS: Object to the form of</p> <p>7 the question; scope.</p> <p>8 THE WITNESS: It's not indicated in</p> <p>9 the study report, any reference to the Pariente</p> <p>10 study.</p> <p>11 BY MR. THORNBURGH:</p> <p>12 Q. What loads were used when implanting</p> <p>13 the 3-centimeter by 1-centimeter samples in these</p> <p>14 rabbits?</p> <p>15 MR. THOMAS: Object to the form of</p> <p>16 the question.</p> <p>17 THE WITNESS: As indicated in the</p> <p>18 study report, the mesh was drawn through the</p> <p>19 epitaxial musculature, and whatever forces that</p> <p>20 would offer the mesh, that's what happened.</p> <p>21 BY MR. THORNBURGH:</p> <p>22 Q. And can you hold up for the ladies</p> <p>23 and gentlemen of the jury approximately 3</p> <p>24 centimeters?</p> <p>25 A. Maybe an inch and-a-half.</p>	<p>1 Q. Well, then, you didn't consider the</p> <p>2 level of force used when implanting a TVT-Retropubic</p> <p>3 in women to try to mimic the same loads being</p> <p>4 applied to the one and-a-half inch piece of mesh</p> <p>5 that you're implanting in these rabbits, did you?</p> <p>6 A. I can't speak to anything that was</p> <p>7 done in the clinical environment.</p> <p>8 Q. Did you ask anybody from the clinical</p> <p>9 environment: Hey, you know what? We want to try</p> <p>10 to, in the preclinical environment, to test this</p> <p>11 issue. We want to determine the amount of force or</p> <p>12 loads that are being applied during the implantation</p> <p>13 of a larger piece of mesh in women so that we can</p> <p>14 mimic that condition in the preclinical studies that</p> <p>15 we're doing with one and-a-half piece of mesh?</p> <p>16 A. That was not done --</p> <p>17 MR. THOMAS: Object to the form of</p> <p>18 the question.</p> <p>19 BY MR. THORNBURGH:</p> <p>20 Q. You did not. Did you have any</p> <p>21 discussions with anybody in the clinical arena to</p> <p>22 determine the implant conditions in women to try to</p> <p>23 mimic those implant conditions in the animals that</p> <p>24 you were testing this mesh in?</p> <p>25 A. That's not indicated in this report.</p>
Page 528	Page 530
<p>1 Q. So your study in rabbits was about an</p> <p>2 inch and-a-half piece of mesh that was implanted in</p> <p>3 the muscle of the rabbit for 14 days max, right?</p> <p>4 A. That's correct.</p> <p>5 Q. Did you measure the force by Newtons</p> <p>6 or the load by Newtons that would be used or was</p> <p>7 used during the implantation process to determine</p> <p>8 whether or not it would mimic the implantation</p> <p>9 conditions in human women?</p> <p>10 A. No assessments of force required to</p> <p>11 implant the mesh samples was recorded, only the</p> <p>12 explant tensions.</p> <p>13 Q. Do you know what forces are used</p> <p>14 during the implantation process in women?</p> <p>15 MR. THOMAS: Object to the form of</p> <p>16 the question. Scope.</p> <p>17 THE WITNESS: It is a clinical</p> <p>18 question.</p> <p>19 BY MR. THORNBURGH:</p> <p>20 Q. Well, isn't that -- isn't that</p> <p>21 clinical information important when you're trying to</p> <p>22 determine particle loss in rabbits?</p> <p>23 A. This preclinical study was an attempt</p> <p>24 to simulate implantation in patients. And it is</p> <p>25 what it is.</p>	<p>1 Those discussions may have taken place.</p> <p>2 Q. Did you do that? Did you try -- did</p> <p>3 you understand or try to understand the amount of</p> <p>4 force or loads in any of the studies that you did</p> <p>5 in -- that were -- that were needed for implantation</p> <p>6 in women so that you could mimic the same implant</p> <p>7 condition in your preclinical studies?</p> <p>8 MR. THOMAS: Object to the form of</p> <p>9 the question.</p> <p>10 THE WITNESS: Again, you're talking</p> <p>11 about data that would be collected in a clinical</p> <p>12 environment, and I am not here to address that other</p> <p>13 than the preclinical data that we brought and</p> <p>14 anything that's relevant to it.</p> <p>15 BY MR. THORNBURGH:</p> <p>16 Q. Did you discuss with anybody for any</p> <p>17 of the preclinical studies or before you walked in</p> <p>18 here today what the implant conditions are like in</p> <p>19 terms of a force required to implant the stretching</p> <p>20 that's done during the implant procedure so that you</p> <p>21 could gain a better understanding of your</p> <p>22 preclinical studies?</p> <p>23 MR. THOMAS: Object to the form of</p> <p>24 the question.</p> <p>25 THE WITNESS: That's the kind of</p>

Confidential - Subject to Protective Order

Page 531	Page 533
<p>1 information that would be in the clinical arena, and 2 that's not part of what I am here to discuss. 3 BY MR. THORNBURGH: 4 Q. But you didn't discuss with anybody 5 in the clinical arena whether or not the preclinical 6 studies that you're trying to rely on now were done 7 in a condition that would mimic the human implant 8 condition? 9 MR. THOMAS: Object to the form of 10 the question. 11 THE WITNESS: I think I've answered 12 that three times, and the same answer I'll give now, 13 and that is this information would be collected in a 14 clinical environment and is not part of what I am 15 here to discuss. 16 BY MR. THORNBURGH: 17 Q. Let's go ahead and mark as 18 Exhibit 2260 the Pariente study. 19 (Document marked for identification 20 as Exhibit T-2260.) 21 MR. THORNBURGH: Dave, I have a copy 22 for you, and I just don't have -- it's not stapled. 23 MR. THOMAS: That's fine. Thank you. 24 BY MR. THORNBURGH: 25 Q. You've seen this study before,</p>	<p>1 before and after soft procedure, and values range 2 from 0 to 8.5 percent of initial weight. 3 Did you -- in any of your studies, 4 did you weigh the sample pre and post procedure? 5 A. No. 6 MR. THOMAS: Pre-implant? 7 BY MR. THORNBURGH: 8 Q. Pre-implant and post explant. 9 A. No. That would not be practical, 10 because there would be tissue adherent to the mesh, 11 and it would alter its weight. 12 Q. So you didn't look at the weight to 13 determine particle loss, did you? 14 A. No. But we looked at something more 15 important than that in the study that we discussed 16 earlier, and that is whether or not particles were 17 observed in the immediate vicinity of the implant. 18 Q. You didn't look at weight, did you? 19 A. No. 20 Q. You didn't determine the percent of 21 particle loss in any of your studies, did you? 22 A. As I pointed out -- 23 Q. It's a yes or no question. 24 A. As I pointed out, weighing a mesh 25 after implantation would not be useful, because</p>
Page 532	Page 534
<p>1 haven't you? 2 A. I think I have, but it doesn't look 3 so familiar. The name does seem familiar, but I'd 4 have to read through it to see what happened here. 5 Q. Do you want to take a moment and look 6 at it? 7 A. Sure. 8 Okay. This looks like an in vitro 9 study. 10 Q. Did you look at this study before you 11 came in here today? 12 A. No. 13 Q. You don't recall looking at the study 14 with me during your prior deposition? 15 A. Again, I think the name rings a bell, 16 but I've looked at a lot of studies. 17 Q. Okay. Well, in the Pariente study, 18 the investigators were looking at -- as their 19 endpoint or one of their endpoints, particle loss, 20 correct? 21 A. Yes. 22 Yes, I recall the study now. This 23 one we discussed during the last deposition. 24 Q. And it says here: To evaluate the 25 shedding of particles, each sample was weighed</p>	<p>1 there would be additional weight of tissue adherent 2 to it. 3 Q. It could dissolve the tissue, right? 4 MR. THOMAS: Object to the form of 5 the question. 6 THE WITNESS: That would be a 7 possibility. 8 BY MR. THORNBURGH: 9 Q. So you could have weighed it after 10 dissolution or dissolving -- desiccation of the 11 tissue, right? 12 A. That's possible. That could 13 introduce other things that you would have to 14 control for, but, clearly, there's no end to the 15 number of studies that could be conducted. 16 Q. But you didn't do that study, did 17 you? 18 A. No. 19 Q. And you didn't determine the 20 percentage of particle loss, correct? 21 MR. THOMAS: Object to the form of 22 the question. 23 THE WITNESS: That's correct. 24 BY MR. THORNBURGH: 25 Q. The study goes on to say: During</p>

61 (Pages 531 to 534)

Confidential - Subject to Protective Order

Page 535	Page 537
<p>1 surgical use, these articles are released in soft 2 tissue, and it is not possible to know where they 3 go. 4 MR. THOMAS: There's no question 5 pending. 6 BY MR. THORNBURGH: 7 Q. Do you see that? 8 A. Yeah, I see it. 9 Q. And that's true? When particles are 10 released into soft tissue, they can migrate, can't 11 they? 12 MR. THOMAS: Object to the form of 13 the question. 14 THE WITNESS: That's not very likely. 15 With any particles, any macroparticles that would be 16 adherent to the mesh or they might flake off the 17 mesh in vivo, they would reside in the immediate 18 vicinity of the implant, and they would be 19 surrounded by connective tissue, just like each 20 element of the mesh. 21 BY MR. THORNBURGH: 22 Q. When I get a splinter in my finger, 23 no matter how deep it is, my body's -- my body's 24 inflammatory response to that little tiny piece of 25 splinter will push that splinter out of my body,</p>	<p>1 it might be associated with. 2 Q. During surgical use, these particles 3 are released in soft tissue, and it is not possible 4 to know where they go. 5 That's what these authors write, 6 correct? 7 MR. THOMAS: Object to the form of 8 the question; scope. 9 THE WITNESS: That is the opinion of 10 these authors. 11 BY MR. THORNBURGH: 12 Q. When these authors tested particle 13 loss, they found that the TVT lost the most 14 particles of all the things that were tested, 15 correct? 16 MR. THOMAS: Object to the form of 17 the question; scope. 18 THE WITNESS: Under the conditions of 19 their testing, that's the case. 20 BY MR. THORNBURGH: 21 Q. And they found that TVT lost 22 8.5 percent of the particles, right? 23 MR. THOMAS: Object to the form of 24 the question; scope. 25 THE WITNESS: I think -- I think they</p>
Page 536	Page 538
<p>1 migrate it from where it found itself initially 2 until it's outside of my body, won't it? That 3 happens, doesn't it? 4 A. That can happen if it's close enough 5 to the surface of your skin. 6 Q. So migration of particles is possible 7 as a result of the inflammatory process that's 8 taking place in the human body, right? 9 MR. THOMAS: Object to the form of 10 the question; scope. 11 THE WITNESS: Highly unlikely. 12 BY MR. THORNBURGH: 13 Q. And that's based on what, sir? 14 A. My experience looking at implanted 15 materials and the experience from the Prolene suture 16 NDA, which calls out macroparticles of the suture, 17 likely resulting from a swaging process of 18 macroparticles that got adhered to the suture, and 19 they got implanted inadvertently with the suture. 20 And what's observed is that there's a 21 tissue reaction around the filament of the suture 22 and then adjacent to it, the particle, or the very 23 similar reaction around it. 24 There's no evidence that that 25 particle will migrate away from the fiber from which</p>	<p>1 mean 8.5 percent of the weight was lost as 2 particulates. 3 BY MR. THORNBURGH: 4 Q. Yeah. I'm sorry. They found that 5 8.5 percent of the weight of the TVT sling was lost 6 to particles, correct? 7 MR. THOMAS: Object to the form of 8 the question; scope. 9 THE WITNESS: I think that's what 10 they're saying. 11 BY MR. THORNBURGH: 12 Q. Almost 10 percent of the TVT sling 13 was lost in their study through particle loss, 14 right? 15 MR. THOMAS: Object to the form of 16 the question; scope. 17 THE WITNESS: Eight and-a-half 18 percent. 19 BY MR. THORNBURGH: 20 Q. Now, what loads were used to test TVT 21 particle loss? 22 MR. THOMAS: In what context, Dan? 23 MR. THORNBURGH: In this study. 24 MR. THOMAS: In which study? 25 MR. THORNBURGH: The Pariente study.</p>

Confidential - Subject to Protective Order

Page 539	Page 541
<p>1 MR. THOMAS: Thank you.</p> <p>2 BY MR. THORNBURGH:</p> <p>3 Q. Measured in K per Newton. Do you</p> <p>4 know what that means? Peak load?</p> <p>5 A. Well, I'm just looking at the text</p> <p>6 where they talk about a soft procedure, and I'm</p> <p>7 looking for the data that would be corresponding to</p> <p>8 it.</p> <p>9 Q. I think if you look here, maybe this</p> <p>10 might help.</p> <p>11 Do you see Table 1?</p> <p>12 It shows low deformation curves?</p> <p>13 A. No. It looks like they gave each</p> <p>14 material a different load.</p> <p>15 Q. Starting at?</p> <p>16 A. TVT at .041 ranging to .012 for</p> <p>17 I-Stop.</p> <p>18 Q. Do you know how much load is used in</p> <p>19 the implantation of the TVT?</p> <p>20 A. I do not.</p> <p>21 Q. Do you know how much load you used</p> <p>22 when you implanted the 1.5 by -- 3-centimeter by</p> <p>23 1-centimeter piece of mesh in the rabbits use study?</p> <p>24 A. That was not measured.</p> <p>25 Q. You don't know sitting here today if</p>	<p>1 lying adjacent to the implant. It would have the</p> <p>2 same kind of tissue reaction. It would be probably</p> <p>3 not discernable against the background of</p> <p>4 implantation of a mesh, even if it had no particles.</p> <p>5 (Document marked for identification</p> <p>6 as Exhibit T-2261.)</p> <p>7 BY MR. THORNBURGH:</p> <p>8 Q. I marked as Exhibit Number 2261 a</p> <p>9 side-by-side photograph of the -- a document that</p> <p>10 includes a side-by-side photograph of mechanical cut</p> <p>11 TVT mesh and laser cut TVT mesh.</p> <p>12 Have you seen this before?</p> <p>13 A. I don't think so.</p> <p>14 Q. Do you see where it says side-by-side</p> <p>15 relaxed after 50 percent elongation?</p> <p>16 MCM would mean mechanical cut mesh,</p> <p>17 right?</p> <p>18 A. Yes.</p> <p>19 MR. THOMAS: Object to the form of</p> <p>20 the question; scope.</p> <p>21 All of this is beyond -- excuse me.</p> <p>22 All of this is beyond what he's been designated for.</p> <p>23 MR. THORNBURGH: No, it's not.</p> <p>24 BY MR. THORNBURGH:</p> <p>25 Q. LCM is laser cut mesh? Do you see</p>
Page 540	Page 542
<p>1 the loads that you used would have mimicked the</p> <p>2 loads used during the implantation of TVT in an</p> <p>3 actual woman, right?</p> <p>4 A. Well, as I mentioned four times</p> <p>5 previously, that would be data coming from the</p> <p>6 original -- the clinical arena, clinical</p> <p>7 environment, and it's not what I am here to address.</p> <p>8 Q. And that information wasn't important</p> <p>9 for you when you designed the studies that looked at</p> <p>10 particle loss, was it?</p> <p>11 MR. THOMAS: Object to the form of</p> <p>12 the question.</p> <p>13 THE WITNESS: Obviously, it was not</p> <p>14 considered necessary to execute this protocol.</p> <p>15 BY MR. THORNBURGH:</p> <p>16 Q. You would agree that if 8.5 percent</p> <p>17 of particles are being lost during the implant</p> <p>18 procedure on the TVT mesh, that that would increase</p> <p>19 the inflammatory response.</p> <p>20 MR. THOMAS: Object to the form of</p> <p>21 the question; scope.</p> <p>22 THE WITNESS: Highly unlikely, given</p> <p>23 the mass of material implanted as part of a tape.</p> <p>24 Think about all of the monofilaments</p> <p>25 woven into a mesh, and think about some particulates</p>	<p>1 that?</p> <p>2 Do you see that?</p> <p>3 A. I understand it's outside my area.</p> <p>4 Q. What -- what? No, it's not. I am</p> <p>5 going to put it in context.</p> <p>6 What percentage of elongation was</p> <p>7 used in any of your studies to determine particle</p> <p>8 loss?</p> <p>9 Did you ever measure the elongation</p> <p>10 that was being applied during the implantation of</p> <p>11 this device in any of the preclinical studies that</p> <p>12 you conducted?</p> <p>13 A. This might be the sixth time that</p> <p>14 I've responded to that question, and it's the same.</p> <p>15 This is data that would be acquired</p> <p>16 in the clinical environment and is not part of the</p> <p>17 preclinical database that I'm here to discuss.</p> <p>18 Q. No. I asked you a different</p> <p>19 question. My question was: In any of the</p> <p>20 preclinical studies that you did or that Ethicon did</p> <p>21 to look at particle loss and tissue reaction, did</p> <p>22 you ever look at or record the percentage of</p> <p>23 elongation during the implantation in the animal</p> <p>24 study?</p> <p>25 A. Not that I'm aware of.</p>

Confidential - Subject to Protective Order

Page 543	Page 545
<p>1 Q. Do you see where it says degradation?</p> <p>2 MR. THOMAS: Where? What page are</p> <p>3 you on?</p> <p>4 MR. THORNBURGH: I'm on the</p> <p>5 side-by-side image of the MCM versus LCM.</p> <p>6 BY MR. THORNBURGH:</p> <p>7 Q. You were designated as somebody that</p> <p>8 would talk about evidence and studies regarding</p> <p>9 degradation, right?</p> <p>10 MR. THOMAS: We provided the studies</p> <p>11 on which he's prepared to testify. This is not one</p> <p>12 of the documents.</p> <p>13 MR. THORNBURGH: You only provided</p> <p>14 studies that would support your position, not</p> <p>15 studies that would show that your position was</p> <p>16 incorrect.</p> <p>17 MR. THOMAS: Now, we invited you to</p> <p>18 ask him to review other things you wanted to be</p> <p>19 prepared on, and you didn't. So this is -- if you</p> <p>20 want him to be prepared on it, he'll study it and</p> <p>21 come back with an appropriate answer. He's not</p> <p>22 prepared on it today.</p> <p>23 BY MR. THORNBURGH:</p> <p>24 Q. Do you see where it says degradation,</p> <p>25 Doctor?</p>	<p>1 contribution of a particle to the overall reaction</p> <p>2 to the entire tape.</p> <p>3 Q. Inflammatory cells would be released</p> <p>4 to attack that particle, to try to rid the body or</p> <p>5 the animal of those particles, correct?</p> <p>6 A. The tissue reaction to these</p> <p>7 particles would be no different to the tissue</p> <p>8 reaction to any filament in any part of the mesh.</p> <p>9 Q. But there will be a tissue reaction,</p> <p>10 right?</p> <p>11 A. Yes.</p> <p>12 Q. And when you increase the surface</p> <p>13 area of a foreign body, that will increase the</p> <p>14 body's inflammatory response, won't it, sir?</p> <p>15 A. Any increase in tissue reaction will</p> <p>16 not be perceptible against the background of tissue</p> <p>17 reactions of the implanted tape.</p> <p>18 Q. When you increase the surface area,</p> <p>19 you increase the inflammatory response. Right,</p> <p>20 Doctor?</p> <p>21 MR. THOMAS: Object to the form of</p> <p>22 the question.</p> <p>23 THE WITNESS: That's a general --</p> <p>24 that's a general principle.</p> <p>25 BY MR. THORNBURGH:</p>
Page 544	Page 546
<p>1 A. I am not prepared to respond to those</p> <p>2 questions today. It is not part of the preclinical</p> <p>3 data package that I put together to address</p> <p>4 degradation questions.</p> <p>5 Q. You see where it shows the particles</p> <p>6 that were lost? Do you see that? Do you see all</p> <p>7 those flakes?</p> <p>8 A. I can see particles in the</p> <p>9 photograph.</p> <p>10 Q. You're not suggesting to the ladies</p> <p>11 and gentlemen of the jury that there won't be an</p> <p>12 individual inflammatory response to each one of</p> <p>13 those particles in tissue?</p> <p>14 A. It would pale by comparison to the</p> <p>15 tissue reaction from the implanted tape.</p> <p>16 Q. But there will be an increased</p> <p>17 inflammatory response or an inflammatory response to</p> <p>18 the individual particle, correct?</p> <p>19 A. There will be an inflammatory</p> <p>20 response to that individual particle, but it will</p> <p>21 not be appreciated against the inflammatory response</p> <p>22 of the entire case.</p> <p>23 Q. The phagocytes will try to gobble up</p> <p>24 that foreign body, won't they?</p> <p>25 A. One will not be able to differentiate</p>	<p>1 Q. And the principle is true. The</p> <p>2 principle -- the answer to that principle would be</p> <p>3 yes. When you increase the surface area, you</p> <p>4 increase the inflammatory response.</p> <p>5 A. Not in this case.</p> <p>6 Q. In all other cases except for cases</p> <p>7 against Ethicon products?</p> <p>8 MR. THOMAS: Object to the form of</p> <p>9 the question.</p> <p>10 THE WITNESS: In any case where the</p> <p>11 addition of particles -- in any case where the</p> <p>12 addition of the inflammatory reaction to a particle</p> <p>13 could be perceived against a tissue reaction of the</p> <p>14 implanted tape itself would be insignificant and</p> <p>15 unappreciable.</p> <p>16 BY MR. THORNBURGH:</p> <p>17 Q. General scientific principle is when</p> <p>18 you increase the surface area, you increase the</p> <p>19 inflammatory response, right?</p> <p>20 MR. THOMAS: Object to the form of</p> <p>21 the question.</p> <p>22 THE WITNESS: That's a general</p> <p>23 scientific principle.</p> <p>24 MR. THORNBURGH: Off the record for a</p> <p>25 minute.</p>

64 (Pages 543 to 546)

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 547</p> <p>1 THE VIDEOGRAPHER: Off the video 2 record, 4:14. 3 (Short break.) 4 THE VIDEOGRAPHER: Back on the video 5 record, 4:25. 6 BY MR. THORNBURGH: 7 Q. Dr. Barbolt, the studies that you've 8 listed for all of the designated topics that you 9 believed were relevant to those topics you included 10 within the list that we marked on the first day as 11 2241, correct? 12 MR. THOMAS: We marked the list -- 13 MR. THORNBURGH: Oh, I'm sorry. I 14 apologize. Maybe we ought to do that. The problem 15 is I have handwriting on mine. I didn't bring 16 another copy. 17 BY MR. THORNBURGH: 18 Q. Doctor -- 19 MR. THORNBURGH: Let's go off the 20 record for a sec. 21 (Whereupon, a discussion was held off 22 the record.) 23 THE VIDEOGRAPHER: 4:26, off the 24 video record. 25 (Short break.)</p>	<p style="text-align: right;">Page 549</p> <p>1 was created after a review of that entire list of 2 both literature searches of R&D central file. But, 3 clearly, I didn't type all this and organize this 4 and so on and so forth. 5 Q. Now, are you -- you didn't come 6 prepared to talk about the number of the opinions 7 that you expressed in your expert report, correct? 8 MR. THOMAS: Object to the form of 9 the question. 10 THE WITNESS: That was not the 11 intention. 12 BY MR. THORNBURGH: 13 Q. For instance, you didn't come 14 prepared to talk about the biocompatibility or lack 15 thereof of a mismatched mesh, right? 16 MR. THOMAS: Object to the form of 17 the question. What is that? 18 MR. THORNBURGH: Language in his 19 expert report. 20 MR. THOMAS: Sorry. 21 THE WITNESS: Mismatched mesh? 22 BY MR. THORNBURGH: 23 Q. Yes. 24 A. A lot of the topics in my expert 25 report are along the same lines of the topics that</p>
<p style="text-align: right;">Page 548</p> <p>1 THE VIDEOGRAPHER: Back on the video 2 record. It's 4:42. 3 This begins Tape Number 5, Volume 2 4 of the videotaped deposition of Dr. Thomas A. 5 Barbolt. 6 BY MR. THORNBURGH: 7 Q. Dr. Barbolt, we're going to mark as 8 an exhibit a list of studies that you chose which 9 you believe were relevant to the 30(b)(6) topics 10 that you were designated to discuss. It's been 11 marked as 2262. 12 (Document marked for identification 13 as Exhibit T-2262.) 14 BY MR. THORNBURGH: 15 Q. Doctor, the 2262 list of studies are 16 the studies that you chose that you believe were 17 relevant to the topics you were designated to 18 discuss, correct? 19 A. Yes, that's correct. 20 Q. Did anybody help you compile this 21 list? 22 A. Yes. 23 Q. Who helped you compile the list? 24 A. Counsel's staff or Ethicon personnel. 25 Ethicon personnel created the first list. This list</p>	<p style="text-align: right;">Page 550</p> <p>1 we've been discussing here. There is a great deal 2 of overlap. 3 Q. Well, in your expert report, on 4 Page 12 of 27, you say: Movement of a mesh from its 5 original site of implantation can result from 6 compliance mismatching. This is a mesh that is 7 stiffer in terms of bending rigidity than 8 surrounding the tissue. 9 Are you prepared to talk about 10 Ethicon internal documents; for instance, documents 11 from Dr. Trzewik regarding the bio -- the 12 biocompatibility or mismatching of mesh? 13 A. Yeah. I'd have to look at that -- 14 I'd have to look at my expert report and then look 15 at the reference to that particular article. 16 Q. Did you look at any of Dr. Trzewik's 17 internal documents before you came here today? 18 MR. THOMAS: To prepare for this 19 deposition today? 20 MR. THORNBURGH: Yes. 21 BY MR. THORNBURGH: 22 Q. I mean, if you want to go there, I'll 23 go there. I'm ready to go there. If you want to 24 talk about the tissue and the biomechanical 25 properties of tissue compared to the biomechanical</p>

Confidential - Subject to Protective Order

Page 551	Page 553
<p>1 properties of mesh, which can cause increased 2 inflammatory response as a result of mismatching, I 3 am ready to do it. But I need to know from you if 4 you're ready to do it. 5 A. Well, I came prepared to talk about 6 the preclinical studies that we've got in front of 7 us and behind us. 8 MR. THOMAS: Short answer is no. 9 MR. THORNBURGH: Okay. 10 BY MR. THORNBURGH: 11 Q. And that's one example of expert 12 opinions that you have that you're not prepared to 13 discuss today, correct? 14 A. That's correct. 15 MR. THORNBURGH: Are you going to 16 give me a date where we can take Dr. Barbolt's 17 expert deposition? 18 MR. THOMAS: To the extent that we 19 intend to offer Dr. Barbolt in areas beyond the 20 scope of the 30(b)(6) designation, yes. 21 MR. THORNBURGH: Well, I mean, I have 22 all kinds of external Ethicon -- external scientific 23 articles on porosity. 24 Now, porosity was an issue regarding 25 preclinical studies, but he's offering opinions</p>	<p>1 trial, which is coming up. 2 MR. THOMAS: You owe me a jordi date, 3 too. 4 MR. THORNBURGH: Well, I'm trying -- 5 you just let me know yesterday, I think it was, that 6 the date I proposed was not a good date, so I am 7 trying to get another date for you. I hope to have 8 that by today or tomorrow. Okay? 9 MR. THOMAS: Okay. 10 MR. THORNBURGH: I am going to give 11 you a date before the trial. 12 MR. THOMAS: Okay. Are you finished 13 now? 14 MR. THORNBURGH: No. I'm just trying 15 to get some stuff on the record. 16 MR. THOMAS: What was the number of 17 that last exhibit? 18 MR. THORNBURGH: 2262. 19 MR THOMAS: Thank you. 20 BY MR. THORNBURGH: 21 Q. Do you believe Ethicon should have 22 done anything different in terms of the language 23 they used in the IFU that we looked at regarding 24 degradation and the inflammatory response? 25 MR. THOMAS: Object to the form;</p>
Page 552	Page 554
<p>1 regarding pore size in his expert report. I want to 2 have an opportunity to cross-examine him on non -- 3 both internal and external documents that we have. 4 Now, if he's prepared to do that now, 5 because we talked about porosity, then I'll do that. 6 But if you're going to offer him up for an expert 7 deposition on those issues, then I will reserve that 8 for another time. 9 MR. THOMAS: I think that the option 10 is to reserve for another time, and we'll decide 11 whether another time is necessary. And if we don't 12 agree, I think the magistrate has already spoken to 13 that. But I feel confident we'll agree. 14 MR. THORNBURGH: So I don't need to 15 go through like degradation studies and -- 16 MR. THOMAS: No. 17 MR. THORNBURGH: -- studies that he 18 wasn't prepared to talk about? 19 MR. THOMAS: Correct. 20 MR. THORNBURGH: We can raise that at 21 another time and, hopefully, we can agree on a time 22 before -- 23 MR. THOMAS: A time and scope. I 24 agree. 25 MR. THORNBURGH: A time before the</p>	<p>1 scope. 2 THE WITNESS: I am here to represent 3 Ethicon with respect to these preclinical studies 4 and their results. 5 BY MR. THORNBURGH: 6 Q. Based on the preclinical studies, 7 including the five-year and seven-year data from the 8 ten-year dog study and the other studies that showed 9 chronic inflammation, do you believe that Ethicon 10 should have done anything different, added any 11 additional language, such that -- any additional 12 language such that information would have been 13 disclosed to physicians in the IFU? 14 MR. THOMAS: Object to the form of 15 the question. 16 He's asking you from a preclinical 17 perspective whether you would change the IFU. 18 THE WITNESS: Yes. As I indicated, 19 the IFU is not the responsibility of preclinical. 20 It is responsibility of medical 21 affairs folks, the regulatory folks, taking input 22 from all areas of product development, including 23 preclinical. 24 MR. THOMAS: He's asking you from a 25 perspective of preclinical whether you would, from</p>

Confidential - Subject to Protective Order

Page 555	Page 557
<p>1 your preclinical experience, when you review the</p> <p>2 preclinical studies under the designations that have</p> <p>3 been made, whether you as Ethicon would change the</p> <p>4 IFU from a preclinical perspective.</p> <p>5 THE WITNESS: No.</p> <p>6 BY MR. THORNBURGH:</p> <p>7 Q. Adding information in the IFU</p> <p>8 regarding the surface degradation is not a change</p> <p>9 that you think Ethicon should have made?</p> <p>10 MR. THOMAS: Object to the form of</p> <p>11 the question.</p> <p>12 THE WITNESS: It's not useful</p> <p>13 information for the surgeon when there is no impact</p> <p>14 on molecular weight and tensile strength of the</p> <p>15 fiber.</p> <p>16 BY MR. THORNBURGH:</p> <p>17 Q. Adding information to the IFU from</p> <p>18 a -- regarding the chronic inflammatory response</p> <p>19 that you observed in all of your preclinical</p> <p>20 studies, you don't believe that more definitive</p> <p>21 language regarding the chronic inflammatory response</p> <p>22 should have been added to the IFU?</p> <p>23 MR. THOMAS: Object to the form of</p> <p>24 the question.</p> <p>25 THE WITNESS: The tissue reaction to</p>	<p>1 A. No, I don't think that's necessary.</p> <p>2 I think all surgeons know that a permanent implant</p> <p>3 is going to be associated with some low level of</p> <p>4 chronic inflammatory reaction for the life of the</p> <p>5 patient.</p> <p>6 MR. THORNBURGH: Move to strike after</p> <p>7 the word, no.</p> <p>8 Pass the witness and reserve some</p> <p>9 time for cross-examination.</p> <p>10 MR. THOMAS: Let's take a break,</p> <p>11 please.</p> <p>12 THE VIDEOGRAPHER: It's 4:53. Off</p> <p>13 the video record.</p> <p>14 (Short break.)</p> <p>15 THE VIDEOGRAPHER: Back on the video</p> <p>16 record, 5:17.</p> <p>17 - - -</p> <p>18 EXAMINATION</p> <p>19 - - -</p> <p>20 BY MR. THOMAS:</p> <p>21 Q. Dr. Barbolt, would you pick up</p> <p>22 Exhibit 2262, please.</p> <p>23 A. Okay.</p> <p>24 Q. And Exhibit 2262 is titled,</p> <p>25 "Deposition Subject Matter." And this is a document</p>
Page 556	Page 558
<p>1 polypropylene-based material is well understood.</p> <p>2 It's discussed in detail, including the chronic</p> <p>3 inflammatory reaction to Prolene sutures in the</p> <p>4 19 -- 1960s NDA submission.</p> <p>5 The whole history of studies from the</p> <p>6 mid '60s to current day has demonstrated a very</p> <p>7 consistent tissue reaction profile to implanted</p> <p>8 polypropylene-based devices.</p> <p>9 BY MR. THORNBURGH:</p> <p>10 Q. So there is a chronic inflammatory</p> <p>11 response, not a temporary one, correct?</p> <p>12 MR. THOMAS: Object to the form of</p> <p>13 the question.</p> <p>14 THE WITNESS: It's well understood</p> <p>15 that the initial reaction is transient and can verge</p> <p>16 to a chronic inflammatory reaction and a fibrotic</p> <p>17 response with more or less inflammatory cell</p> <p>18 infiltrate, well documented in all the implantation</p> <p>19 studies.</p> <p>20 BY MR. THORNBURGH:</p> <p>21 Q. You don't believe that Ethicon should</p> <p>22 have added additional language in the IFU that</p> <p>23 discussed the chronic inflammatory response</p> <p>24 specifically using the word, chronic inflammatory</p> <p>25 response, in the IFU?</p>	<p>1 that you described towards the end of your</p> <p>2 deposition where you identified for counsel for</p> <p>3 plaintiffs all of those topics for which you</p> <p>4 gathered information to be responsive to the</p> <p>5 questions today. Correct?</p> <p>6 A. Yes, that's correct.</p> <p>7 Q. And this multi-page document</p> <p>8 obviously lists many studies. Do you have those</p> <p>9 studies with you here today?</p> <p>10 A. Yes. They're in the various binders</p> <p>11 that you see around that are entitled with the</p> <p>12 specific subject matter topics as are listed in</p> <p>13 these sheets.</p> <p>14 Q. How many boxes of binders did you</p> <p>15 bring to the deposition today?</p> <p>16 A. Oh, I think there was 18 or 20.</p> <p>17 Q. 18 or 20 binders?</p> <p>18 A. Binders.</p> <p>19 Q. The first one on the list is for the</p> <p>20 specifics of all testing related to the TVT</p> <p>21 products.</p> <p>22 Now, you understand there are</p> <p>23 multiple TVT products?</p> <p>24 A. Yes.</p> <p>25 Q. And so you went back and searched for</p>

67 (Pages 555 to 558)

Confidential - Subject to Protective Order

Page 559	Page 561
<p>1 all the testing that you could find for all of the 2 TVT products? 3 A. Yes. Each of the individual TVT 4 products are -- and the data supporting their 5 preclinical studies are assembled in individual 6 binders and titled according to the TVT product. 7 Q. During the design and development 8 stages, including but not limited to, at least for 9 this section, it's porosity testing, particle loss, 10 degradation, and leaching, correct? 11 A. Yes. 12 Q. And the first one that we have listed 13 here is degradation. And you have notebooks here 14 for degradation? 15 A. Yes. 16 Q. Correct? 17 And those notebooks contain 46 18 different documents? 19 A. That's correct. There are 40 20 different -- 46 different studies or documents 21 related to potential degradation of TVT products. 22 Q. Now, the TVT, as you've explained in 23 your examination, didn't come into existence until 24 the late '90s, right? 25 A. That's right. The work started in</p>	<p>1 the scope. 2 THE WITNESS: Yes. 3 BY MR. THOMAS: 4 Q. And the first five studies in your 5 degradation section are studies submitted to the FDA 6 in connection with the Prolene suture NDA, correct? 7 A. That's correct. 8 Q. And let's talk about those briefly. 9 Study of tissue reaction to the colorless and 10 pigmented monofilament polypropylene suture in the 11 rat, rabbit, and the dog. 12 Just tell me briefly what those 13 studies are. 14 A. These were tissue reaction studies in 15 three species of animals, with colored and 16 non-colored suture, looking at tissue reaction over 17 time. 18 Q. And how long were those studies? 19 A. The rat study was two years. That's 20 the lifetime of a rat. 21 The dog study was two years. And the 22 rabbit study was 90 days. 23 Q. And are those considered long-term 24 studies? 25 A. The two-year rat as a lifetime study</p>
Page 560	Page 562
<p>1 the '97 time frame or so, and then I think the 2 510(k) approval was in early 1998. 3 Q. And the information that you list in 4 response to the degradation designation begins in 5 1964; is that right? 6 A. Yes, that's correct. 7 Q. And it runs in chronological order 8 all the way up until 2007, right? 9 A. Yes, that's correct. 10 Q. Why did you include studies that 11 predated the TVT? 12 A. Well, the material used to 13 manufacture TVT mesh is Prolene polypropylene 14 filaments. And a great deal of work was done in the 15 mid '60s and beyond, demonstrating biocompatibility 16 of that product and essentially received FDA 17 approval. 18 Q. What is an NDA? 19 A. An NDA is a new drug application. 20 And at the time of the development of Prolene 21 suture, polypropylene sutures were considered drugs. 22 Q. And did Ethicon go through a new drug 23 application in order to have FDA approve the 24 polypropylene suture that's now used in TVT mesh? 25 MR. THORNBURGH: Objection; beyond</p>	<p>1 is certainly a long-term study, as with the dog 2 study of a two-year duration. 3 Q. And what's the purpose of doing a 4 tissue reaction study to a polypropylene suture in 5 an NDA? 6 A. So for the purposes of a suture, the 7 most important thing that needs to be determined is 8 the tissue reaction of the material over time. 9 Q. And you have reviewed the tissue 10 reaction studies from the NDA? 11 A. Yes. 12 Q. And are the tissue reaction findings 13 for the polypropylene suture approved by the FDA 14 similar to the findings that you have reviewed with 15 respect to Prolene mesh? 16 MR. THORNBURGH: Objection to the use 17 of the word, approved, as well as outside the scope 18 of his designation. 19 THE WITNESS: The tissue reaction is 20 very similar. 21 BY MR. THOMAS: 22 Q. Okay. And you understand that in 23 order for Ethicon to be able to market this 24 polypropylene suture, known as Prolene suture, the 25 FDA had to approve the NDA?</p>

Confidential - Subject to Protective Order

Page 563	Page 565
<p>1 MR. THORNBURGH: Objection; move to</p> <p>2 strike.</p> <p>3 THE WITNESS: Yes. That's an</p> <p>4 approval process. It's not like a 510(k) clearance.</p> <p>5 BY MR. THOMAS:</p> <p>6 Q. And as a matter of fact, in order to</p> <p>7 market this suture, this Prolene suture, Ethicon had</p> <p>8 to get approval from the FDA for the language that</p> <p>9 went in the IFU for the Prolene suture?</p> <p>10 MR. THORNBURGH: Objection.</p> <p>11 BY MR. THOMAS:</p> <p>12 Q. Did you know that?</p> <p>13 MR. THORNBURGH: Objection; lack of</p> <p>14 foundation, outside the scope.</p> <p>15 THE WITNESS: That's correct.</p> <p>16 BY MR. THOMAS:</p> <p>17 Q. And the language -- strike that.</p> <p>18 So after the NDA studies, you pick up</p> <p>19 a number of studies that begin in the '70s and go</p> <p>20 through the '80s, into the '90s, all the way up to</p> <p>21 the time when you start involving testing for the</p> <p>22 TVT device, correct?</p> <p>23 A. Yes.</p> <p>24 Q. And why did you include those studies</p> <p>25 in your degradation section?</p>	<p>1 THE WITNESS: No. The tissue</p> <p>2 reaction is pretty consistent over time. And in</p> <p>3 many studies, there's a diminution of the tissue</p> <p>4 reaction over time. The kinds of qualitative</p> <p>5 characteristics seen with Prolene polypropylene</p> <p>6 suture are the very same kind of qualitative changes</p> <p>7 seen around filaments of the Prolene polypropylene</p> <p>8 mesh.</p> <p>9 BY MR. THOMAS:</p> <p>10 Q. And in any of the studies that you've</p> <p>11 identified in the 46 studies in the degradation</p> <p>12 section on T-2262, did you identify any failure</p> <p>13 issues with the mesh or the sutures due to any</p> <p>14 degradation of the mesh?</p> <p>15 A. No. And I would point to Tab 5,</p> <p>16 where for the purposes of the Prolene suture NDA,</p> <p>17 there was a two-year study where Prolene suture was</p> <p>18 implanted and tensile testing was conducted, and</p> <p>19 there were no consistent changes in the strength of</p> <p>20 suture over time.</p> <p>21 Q. So in these 46 studies that you were</p> <p>22 able to retrieve and review, did you find any issues</p> <p>23 with degradation of the polypropylene suture that</p> <p>24 makes up both Prolene suture and Prolene mesh to</p> <p>25 cause you any concern in the preclinical area about</p>
Page 564	Page 566
<p>1 A. Those studies are part of the</p> <p>2 database that -- that shows that the tissue reaction</p> <p>3 to Prolene polypropylene filaments is very</p> <p>4 consistent over time.</p> <p>5 Q. Now, in -- in the studies that have</p> <p>6 been conducted since 1964, when you conduct a tissue</p> <p>7 reaction study such as those listed in T-2262, is</p> <p>8 degradation something that's always a component of a</p> <p>9 study?</p> <p>10 A. Yes, for absorbable or non-absorbable</p> <p>11 sutures. In this case Prolene suture is a</p> <p>12 non-absorbable suture. One needs to monitor what</p> <p>13 the appearance of the suture looks like over time so</p> <p>14 that one can conclude there's no visible evidence of</p> <p>15 degradation from these tissue reaction studies.</p> <p>16 That's always a component of a tissue reaction</p> <p>17 study.</p> <p>18 Q. I am going to get into the seven-year</p> <p>19 dog study here in more detail in a little bit. But</p> <p>20 from any of the 46 studies that you identified in</p> <p>21 the degradation studies that you have brought here</p> <p>22 with you today, did you find any degradation of any</p> <p>23 Prolene suture or Prolene mesh that you saw created</p> <p>24 an increased inflammatory response?</p> <p>25 MR. THORNBURGH: Objection.</p>	<p>1 any adverse effects from the use of that suture due</p> <p>2 to degradation?</p> <p>3 MR. THORNBURGH: Objection.</p> <p>4 THE WITNESS: No.</p> <p>5 BY MR. THOMAS:</p> <p>6 Q. The next section in 2262 is called</p> <p>7 leaching. And, again, this is the specifics of all</p> <p>8 testing related to TVT products during the design</p> <p>9 and development stages, including but not limited to</p> <p>10 leaching.</p> <p>11 And what is leaching, for the jury?</p> <p>12 A. Leaching is the movement of a</p> <p>13 substance or substances from the body of an implant</p> <p>14 to the surrounding tissues.</p> <p>15 Q. Now, the leaching section of your</p> <p>16 disclosure identifies 91 different documents in</p> <p>17 response to the leaching.</p> <p>18 Why are there so many documents that</p> <p>19 you identified in response to the leaching issue?</p> <p>20 A. Every implantation study is an</p> <p>21 opportunity to evaluate any potential consequence of</p> <p>22 leaching from an implanted device. And there are,</p> <p>23 as I recall, some studies in here that look at</p> <p>24 extracts of the device and administration of those</p> <p>25 extracts to animals to look at whether or not there</p>

Confidential - Subject to Protective Order

Page 567	Page 569
<p>1 is adverse reactions, for example, an intracutaneous</p> <p>2 reactivity test.</p> <p>3 And these studies are conducted for</p> <p>4 products in variation -- in products over time and</p> <p>5 for many of the iterations of TVT mesh.</p> <p>6 Q. Now, you have different categories of</p> <p>7 documents in the leaching section of this exhibit.</p> <p>8 You have one section called in vitro. What is that?</p> <p>9 A. These are studies where the device is</p> <p>10 extracted to maximize leachables, and in this case</p> <p>11 you would say leachables/extractables, because</p> <p>12 sometimes the extraction mediums can accelerate the</p> <p>13 movement of substances from a mesh to the</p> <p>14 surrounding tissues.</p> <p>15 These extracts are then tested in in</p> <p>16 vitro systems which are very sensitive.</p> <p>17 Q. And what is an in vitro system?</p> <p>18 A. In vitro system is a cell culture</p> <p>19 system. And with respect to these studies, they</p> <p>20 would be known as in vitro cytotoxicity assays.</p> <p>21 Q. They're in a laboratory dish?</p> <p>22 A. That's correct.</p> <p>23 Q. Okay.</p> <p>24 A. They are cells in culture and petri</p> <p>25 dishes, or nowadays in wells of 96 well plates where</p>	<p>1 animals. And any leachables that would have adverse</p> <p>2 impact to the surrounding tissues would be revealed</p> <p>3 in a histomorphological evaluation of the section.</p> <p>4 Q. Now, counsel made a number of</p> <p>5 questions about the fact that leaching is not a</p> <p>6 primary or called out endpoint in each of these</p> <p>7 studies.</p> <p>8 Is leaching something that a</p> <p>9 pathologist looks for in any in vivo study?</p> <p>10 A. Absolutely. A pathologist would be</p> <p>11 looking at the tissue reaction at the interface of</p> <p>12 the implant and the surrounding tissues. And if</p> <p>13 there were increased reaction, there would be a</p> <p>14 result of either the implanted material or any</p> <p>15 leachables or a combination of both.</p> <p>16 Q. Now, the leachables we've talked</p> <p>17 about include the additive package that you were</p> <p>18 asked a number of questions about, correct?</p> <p>19 A. Yes.</p> <p>20 Q. The Santonox R, the DLTLP, and the</p> <p>21 others in the John Karl memorandum, do you remember</p> <p>22 those?</p> <p>23 A. Yes, that's correct.</p> <p>24 Q. And those additives have been in the</p> <p>25 product since the beginning, as that memorandum</p>
Page 568	Page 570
<p>1 cells are incubated, and then the extracts are added</p> <p>2 to the cells.</p> <p>3 And then an evaluation is made, as we</p> <p>4 discussed earlier, whether or not there's any impact</p> <p>5 on cell viability in accordance with standard USP</p> <p>6 scoring scheme, as we discussed earlier.</p> <p>7 Q. If we look at your chart for</p> <p>8 leaching, beginning with Number 7 all the way</p> <p>9 through Number 34, you have in vitro studies that</p> <p>10 you've reviewed for the cytotoxicity of Prolene,</p> <p>11 correct?</p> <p>12 A. Yes, that's correct.</p> <p>13 Q. And you reviewed and prepared to</p> <p>14 testify about each of those studies, to talk about</p> <p>15 how they relate to the leaching issues, if any,</p> <p>16 associated with Prolene suture in mesh?</p> <p>17 A. Yeah, that's correct. And we have</p> <p>18 talked about some of those today in the context of</p> <p>19 TVT mesh and the 510(k) submission of TVT original.</p> <p>20 Q. Now, beginning with Number 35 all the</p> <p>21 way to Number 91, you have in vivo studies for</p> <p>22 leaching. What are the in vivo studies for</p> <p>23 leaching?</p> <p>24 A. These -- these would be implantation</p> <p>25 studies where the materials are implanted in</p>	<p>1 described. Do you remember that?</p> <p>2 A. That's correct.</p> <p>3 Q. And the in vivo section which begins</p> <p>4 on Number 35. Number 35 is an NDA study that's</p> <p>5 March 10, 1964, correct?</p> <p>6 A. Yes.</p> <p>7 Q. So from March 10, 1964 all the way up</p> <p>8 to March 11, 2010, you have in vivo studies where</p> <p>9 you've looked at the effect of any leachables on</p> <p>10 these in vivo studies?</p> <p>11 A. That's correct.</p> <p>12 Q. And the additives in the suture</p> <p>13 package that we talked about before at some length,</p> <p>14 all those additives were approved by FDA, weren't</p> <p>15 they?</p> <p>16 MR. THORNBURGH: Objection.</p> <p>17 THE WITNESS: FDA approved the</p> <p>18 original product, Prolene suture. And that suture</p> <p>19 contained those additives.</p> <p>20 BY MR. THOMAS:</p> <p>21 Q. And in any of the in vivo studies</p> <p>22 beginning on Page 35 -- on Number 35, all the way up</p> <p>23 to 91, did you find any adverse effects due to</p> <p>24 leaching from the Prolene suture or the Prolene mesh</p> <p>25 in those results?</p>

70 (Pages 567 to 570)

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 571</p> <p>1 A. No.</p> <p>2 Q. Now, why are the results from in</p> <p>3 vitro tests different from the results in in vivo</p> <p>4 tests sometimes?</p> <p>5 A. In vitro tests are very quick to</p> <p>6 conduct. They are relatively inexpensive. However,</p> <p>7 they only provide directional information and not</p> <p>8 definitive information.</p> <p>9 Q. What do you mean by that?</p> <p>10 A. Well, they are studies conducted</p> <p>11 outside the body. Artificial environment.</p> <p>12 Q. And if you have a positive</p> <p>13 cytotoxicity test in vitro, what does that mean to</p> <p>14 the question of whether the substance is going to be</p> <p>15 cytotoxic in vivo or in an animal?</p> <p>16 A. Again, that would be a watch owl,</p> <p>17 that is a directional information. And then you</p> <p>18 would need to do more relevant in vivo studies to</p> <p>19 determine if the in vitro cytotoxicity translated it</p> <p>20 into any in vivo cytotoxicity or any adverse impact</p> <p>21 on wound healing.</p> <p>22 Q. And in this case, as discussed in</p> <p>23 your direct examination, there was a positive</p> <p>24 cytotoxicity test in vitro for the TVT device,</p> <p>25 correct?</p>	<p style="text-align: right;">Page 573</p> <p>1 A. The tissue reaction to the TVT mesh</p> <p>2 was very comparable to the non-in vitro cytotoxic</p> <p>3 Prolene flat mesh, in that there were -- was no</p> <p>4 impact on wound healing over time on the face of the</p> <p>5 implant.</p> <p>6 Q. And what does that mean in terms of</p> <p>7 whether there is a cytotoxic effect of Prolene mesh</p> <p>8 in vivo?</p> <p>9 A. Now, the least impact might be</p> <p>10 delayed wound healing, and that was not observed.</p> <p>11 If there were a more severe impact as</p> <p>12 a result of leachables, that would have translated</p> <p>13 into an increased tissue reaction.</p> <p>14 In other words, rather than minimal</p> <p>15 to mild reactions, we might have seen moderate to</p> <p>16 marked reactions.</p> <p>17 Q. Was there any evidence in this 28-day</p> <p>18 rat study that you conducted to determine the extent</p> <p>19 to which the TVT mesh in the Ulmsten device was</p> <p>20 cytotoxic, that it was, in fact, cytotoxic in vivo?</p> <p>21 Any evidence at all?</p> <p>22 A. No, there was not.</p> <p>23 Q. Now, in the category that we have for</p> <p>24 that section, it's Category 4, and you don't need to</p> <p>25 go to it unless you want to.</p>
<p style="text-align: right;">Page 572</p> <p>1 A. That's correct.</p> <p>2 MR. THORNBURGH: Objection. More</p> <p>3 than one.</p> <p>4 BY MR. THOMAS:</p> <p>5 Q. So what did Ethicon do when it had</p> <p>6 its positive cytotoxicity response to follow up on</p> <p>7 that?</p> <p>8 A. Ethicon conducted a 28-day study in</p> <p>9 rats, looking at the implantation -- the tissue</p> <p>10 reaction to the -- or after the implantation of TVT</p> <p>11 mesh.</p> <p>12 Q. You were designated as the person</p> <p>13 most knowledgeable regarding a 28-day intramuscular</p> <p>14 tissue reaction study in rats of polypropylene mesh</p> <p>15 in the TVT (Ulmsten) device (PSE 97-0197); is that</p> <p>16 correct?</p> <p>17 A. Yes.</p> <p>18 Q. And that's the study to which you</p> <p>19 just referred where Ethicon actually did an</p> <p>20 implantation study in rats to determine the extent</p> <p>21 to which the TVT mesh was cytotoxic in vivo,</p> <p>22 correct?</p> <p>23 A. That's correct.</p> <p>24 Q. And what was the finding of that</p> <p>25 study?</p>	<p style="text-align: right;">Page 574</p> <p>1 A. Okay.</p> <p>2 Q. There are three other -- why don't</p> <p>3 you go ahead. It's about four from the back.</p> <p>4 A. Four from the back. Okay. Yes.</p> <p>5 There's five tabs.</p> <p>6 Q. And the first one is a study that we</p> <p>7 just discussed, the 28-day rat study?</p> <p>8 A. Yes, that's correct.</p> <p>9 Q. And that was a GLP study, correct?</p> <p>10 A. Yes.</p> <p>11 Q. What does it mean to be a GLP study?</p> <p>12 A. A GLP study would be a study</p> <p>13 conducted in compliance with the FDA good laboratory</p> <p>14 practices regulations.</p> <p>15 As we discussed earlier, all studies</p> <p>16 are conducted in accordance with SOPs and standard</p> <p>17 policies and procedures.</p> <p>18 An FDA GLP study has an additional</p> <p>19 level of scrutiny, and that is outside, independent</p> <p>20 review of various phases of a study and a review of</p> <p>21 the final report in comparison to the raw data to</p> <p>22 ensure that they reflect individual animal data.</p> <p>23 Q. The next three entries in Category 4,</p> <p>24 where you're the person most knowledgeable about</p> <p>25 this 28-day intramuscular study that we've just been</p>

71 (Pages 571 to 574)

Confidential - Subject to Protective Order

Page 575	Page 577
<p>1 discussing, deals with a mesh called Vypro mesh, and</p> <p>2 a cytotoxicity assessment for Vypro mesh.</p> <p>3 What is Vypro mesh?</p> <p>4 A. Vypro mesh is a composite mesh</p> <p>5 consisting of the filaments of polypropylene and</p> <p>6 polyglactin 910 yarn.</p> <p>7 Q. And is Vypro mesh a hernia mesh?</p> <p>8 A. Yes. It would be considered --</p> <p>9 Q. And did a preclinical test on Vypro</p> <p>10 mesh determine whether it was cytotoxic?</p> <p>11 A. Yes. As part of the development of</p> <p>12 Vypro mesh, some biocompatibility studies were</p> <p>13 conducted, and the in vitro cytotoxicity study was</p> <p>14 one of them.</p> <p>15 Q. And what was the finding of the Vypro</p> <p>16 cytotoxicity test?</p> <p>17 A. Vypro mesh was cytotoxic in vitro.</p> <p>18 Q. And so what did the company do? Did</p> <p>19 it not market it?</p> <p>20 A. Well, as part of the biocompatibility</p> <p>21 assessment, they then conducted a intracutaneous</p> <p>22 reactive study looking at extracts of the suture</p> <p>23 that would get leachables and extractables and then</p> <p>24 ejected them into the skin of rabbits to look at</p> <p>25 evidence of local irritancy.</p>	<p>1 91-Day Tissue Reaction Study"; is that right?</p> <p>2 A. Yes. It's tab -- its Tab 5 here on</p> <p>3 this list.</p> <p>4 Q. And that tests the Prolene 5 mil</p> <p>5 mesh, correct?</p> <p>6 A. That's correct.</p> <p>7 Q. And the Vypro mesh, a couple of</p> <p>8 versions of the Vypro mesh?</p> <p>9 A. Yes.</p> <p>10 Q. And there were no cytotoxic findings</p> <p>11 as a result of that 91-day study for either Prolene</p> <p>12 5 mil mesh or the Vypro mesh, correct?</p> <p>13 A. That's correct. There was no</p> <p>14 evidence of increased tissue reaction in the Vypro</p> <p>15 study in spite of there being evidence of in vitro</p> <p>16 cytotoxicity in a manner very similar to a TVT mesh.</p> <p>17 Q. The last document on the leaching</p> <p>18 schedule, going back to where you were, Number 6, is</p> <p>19 a May 8, 2013 document, and it's titled</p> <p>20 "Biocompatibility Risk Assessment For The Gynecare</p> <p>21 TVT Product Family."</p> <p>22 What is that?</p> <p>23 A. Let me catch up to you, David.</p> <p>24 What's the tab number?</p> <p>25 Q. Tab 6.</p>
Page 576	Page 578
<p>1 Q. And what was the finding from that</p> <p>2 intracutaneous study?</p> <p>3 A. It was negative. There was no</p> <p>4 evidence of irritancy. The reaction was negligible.</p> <p>5 Q. So once it passed the intracutaneous</p> <p>6 in vivo test, did the company then get clearance to</p> <p>7 market the product?</p> <p>8 A. Yes.</p> <p>9 Q. So at least in one other circumstance</p> <p>10 in which you have been involved and the company has</p> <p>11 been involved, there has been a positive</p> <p>12 cytotoxicity test for a mesh that you followed up.</p> <p>13 And then after doing in vivo testing, you determined</p> <p>14 that it's appropriate to market the mesh?</p> <p>15 A. Yes. And I should say in addition to</p> <p>16 the intracutaneous reactivity test where extracts</p> <p>17 are injected into rabbit skin, of course there was</p> <p>18 an implantation study that we discussed at length, I</p> <p>19 think these last few days, and that is the 91-day</p> <p>20 study where the tissue reaction to Vypro mesh was</p> <p>21 compared to many other meshes, and the tissue</p> <p>22 reaction was found to be acceptable with appropriate</p> <p>23 tissue integration.</p> <p>24 Q. The tissue reaction study you're</p> <p>25 talking about now is T-2242, titled "Exploratory</p>	<p>1 A. Tab 6. This was a technical file</p> <p>2 that was updated just recently at the request of the</p> <p>3 European Union for the whole family of TVT products,</p> <p>4 essentially a compilation of the history of TVT</p> <p>5 family of products, outlining component materials,</p> <p>6 tests -- biocompatibility testing that was</p> <p>7 appropriate in accordance with tissue contact</p> <p>8 categories, and an evaluation of the</p> <p>9 biocompatibility results coming to a final</p> <p>10 assessment of whether or not the biocompatibility of</p> <p>11 Gynecare family of products conducted, in light of</p> <p>12 the current version of ISO 10993 standards, not</p> <p>13 realizing that these standards changed every five</p> <p>14 years and that the standards in place in 1997 would</p> <p>15 be different than the ones in place in 2013.</p> <p>16 So some of the goal of this exercise</p> <p>17 was to apply current 2013 standards against the</p> <p>18 biocompatibility testing program conducted for TVT</p> <p>19 family of products to see if, in fact, the</p> <p>20 biocompatibility risk assessments done at the time</p> <p>21 still hold.</p> <p>22 Q. And that would relate also back to</p> <p>23 the testing done on polypropylene sutures back in</p> <p>24 1964 with the NDA, wouldn't it?</p> <p>25 MR. THORNBURGH: Objection.</p>

Confidential - Subject to Protective Order

Page 579	Page 581
<p>1 THE WITNESS: Yes, that's correct.</p> <p>2 In the same manner that we've discussed and</p> <p>3 leveraged that early data on poly -- Prolene</p> <p>4 polypropylene fiber for suture, it's also relevant</p> <p>5 for Prolene meshes and TVT.</p> <p>6 BY MR. THOMAS:</p> <p>7 Q. And does the biocompatibility risk</p> <p>8 assessment for the Gynecare TVT product family of</p> <p>9 May of 2013 include a leaching component?</p> <p>10 A. Yes.</p> <p>11 Q. And so this product -- the studies</p> <p>12 and the documents that you have in the leaching</p> <p>13 section of your documents that you brought with you</p> <p>14 today covers some 49 years, correct?</p> <p>15 MR. THORNBURGH: Objection.</p> <p>16 THE WITNESS: Yes.</p> <p>17 BY MR. THOMAS:</p> <p>18 Q. And in those 49 years of 91</p> <p>19 documents, did you find anything that suggests that</p> <p>20 there's anything leaching from polypropylene</p> <p>21 sutures -- excuse me. Strike that.</p> <p>22 In your 49 years of documents, you</p> <p>23 covered some 91 different documents. Did you find</p> <p>24 any evidence of any leaching in vivo that led to any</p> <p>25 adverse reaction in a preclinical study?</p>	<p>1 testing?</p> <p>2 A. Yes.</p> <p>3 Q. And why did you pick the documents</p> <p>4 that you have here, beginning in 1964, the 38</p> <p>5 documents, going all the way up to 2007? Why did</p> <p>6 you include those?</p> <p>7 A. Particles were observed in the</p> <p>8 Prolene suture NDA submission. And as I pointed out</p> <p>9 this morning, they resulted in an inflammatory</p> <p>10 reaction very similar to that reaction around the</p> <p>11 filaments of the suture.</p> <p>12 Q. You talk about fragments and you've</p> <p>13 talked about particles. Are fragments and particles</p> <p>14 different?</p> <p>15 A. As I mentioned this morning, I see a</p> <p>16 big difference there.</p> <p>17 A fragment of a suture is likely to</p> <p>18 have been related to the swaging process or the</p> <p>19 cutting lengths of suture, or a fragment of suture</p> <p>20 gets attached to the suture and then gets implanted</p> <p>21 with it.</p> <p>22 That's different than the</p> <p>23 microparticulates that we discussed earlier, looking</p> <p>24 at data from the seven-year dog study.</p> <p>25 Q. And so the 38 studies that you've</p>
Page 580	Page 582
<p>1 MR. THORNBURGH: Objection.</p> <p>2 THE WITNESS: No.</p> <p>3 BY MR. THOMAS:</p> <p>4 Q. The next section that I have in this</p> <p>5 disclosure, which is T-2262, is the specifics of all</p> <p>6 testing related to TVT products during the design</p> <p>7 and development stages, including particle loss.</p> <p>8 Now, tell me the difference between</p> <p>9 the clinical and the preclinical analysis of</p> <p>10 particle loss.</p> <p>11 MR. THORNBURGH: Objection.</p> <p>12 THE WITNESS: The preclinical</p> <p>13 assessment of particle loss is one that can be done</p> <p>14 in any implantation study where the implant is</p> <p>15 visualized against the surrounding tissue. And if</p> <p>16 there are any particulates there, they would be</p> <p>17 observable.</p> <p>18 I am not sure about the clinical</p> <p>19 arena. I don't know that I can speak to that.</p> <p>20 BY MR. THOMAS:</p> <p>21 Q. Okay. The clinical arena involves</p> <p>22 humans, and that's not work that you do?</p> <p>23 A. That's correct.</p> <p>24 Q. And you are aware of the particle</p> <p>25 loss issues insofar as they relate to preclinical</p>	<p>1 included in your section of particle loss from the</p> <p>2 period, 1964 to 2007, you've looked for the extent</p> <p>3 to which there's been any adverse consequences noted</p> <p>4 in preclinical studies from any kind of particle</p> <p>5 loss of sutures and mesh?</p> <p>6 A. Yes, although fragments are noted in</p> <p>7 the NDA submission and in the Postlethwait study that</p> <p>8 we discussed earlier. In the early going, in the</p> <p>9 development of Prolene suture, I've not seen</p> <p>10 personally in any of the implantation studies that</p> <p>11 I've conducted any sort of fragment of filament next</p> <p>12 to a filament in an implantation study.</p> <p>13 Q. And you talked before about the</p> <p>14 particle in the NDA study and the kind of reaction</p> <p>15 that -- tissue reaction with respect to that</p> <p>16 particle.</p> <p>17 With the particle in the NDA study,</p> <p>18 did you find any adverse inflammation or tissue</p> <p>19 reaction that had any consequences to you for a</p> <p>20 preclinical perspective?</p> <p>21 A. No.</p> <p>22 Q. Why?</p> <p>23 A. It was the same kind of reaction</p> <p>24 around the fragment as there was around the suture.</p> <p>25 Think about a tissue reaction around</p>

73 (Pages 579 to 582)

Confidential - Subject to Protective Order

Page 583	Page 585
<p>1 the earth and a tissue reaction around the earth and 2 moon. The tissue reaction around the earth is 3 around the interface of the earth and the 4 atmosphere. And then there is the moon on the side 5 of the earth with a very similar reaction around its 6 interface with substance and atmosphere. 7 Q. You answered the question at least 8 seven or eight times today about whether more 9 material implanted leads to an increased tissue 10 reaction, and you said as a general proposition, 11 that's true. Is that fair? 12 A. Yes, I think so. I think that's a 13 general principle. Again, as I also mentioned, the 14 details and particulars need to be determined on the 15 basis of an implantation study. 16 Q. And -- and how much additional 17 material -- strike that. 18 Are you able to evaluate the extent 19 to which additional material creates a tissue 20 response that's unacceptable from a preclinical 21 study? 22 A. Yes. I think in every implantation 23 study, one can make that determination. 24 Q. In your evaluation of all of the 25 studies in the particle loss section of your</p>	<p>1 the Pariente study. 2 A. I've got the 2260. I'm looking for 3 2130. 4 Q. I'll get this copy to you. 5 A. Maybe it was discussed yesterday, and 6 it's in this stack, yeah. I can probably get it, 7 David. 8 Q. It's all right. I've got another 9 copy. 10 The Pariente study is the particle 11 loss study that counsel discussed with you at length 12 at T-2260. 13 If you go to the first page of 14 T-2260, down in the lower right-hand corner, it 15 reads: Mechanical testing was performed with a 16 7-centimeter length sample (n=5) on an Instron 4466 17 with a 500-Newtons sensor using the software Series 18 IX-7 to program the setup. 19 What is an Instron machine? 20 A. An Instron machine is a piece of 21 equipment that can determine the tensile strength of 22 a fiber by pulling at both ends and determining the 23 strength at -- the force at which it breaks. 24 Q. And how did Pariente use an Instron 25 machine to test the extent to which particles were</p>
Page 584	Page 586
<p>1 designation, the 38 studies over 43 years, did you 2 find any unacceptable tissue response to any 3 particles in those studies? 4 A. Yeah. The only -- 5 MR. THORNBURGH: Objection. 6 THE WITNESS: The only studies that 7 even talk about particles or fragments is the NDA 8 work in a study done in 2002, Tab 33, that was done 9 specifically to look at whether or not particles 10 would be present after implantation of lengths of 11 TVT tape. And, in fact, none were observed. 12 BY MR. THOMAS: 13 Q. Would you get 2260 in front of you, 14 please. That's the Pariente study. I don't have 15 the number of the rabbit study. 16 MR. THOMAS: Do you happen to have 17 that, Dan? 18 MR. THORNBURGH: The test number or 19 the exhibit number? 20 MR. THOMAS: The exhibit number. 21 I do have it. I'm sorry. 22 MR. THORNBURGH: 2133. 23 BY MR. THOMAS: 24 Q. 2133. Can you get 2133 and 2260? 25 2133 is the March 5, 2003 rabbit test, and 2260 is</p>	<p>1 shed from the meshes that they tested? 2 A. Well, it looks like he put each mesh 3 on the Instron machine and pulled it until it broke. 4 And as I look on Table 1 of that 5 study, it looks like each of the meshes were pulled, 6 as one might expect, a different peak load, 7 depending on their biomechanical characteristics. 8 Q. And at what point in this process 9 were particle loss measured? Are you able to tell 10 that? 11 A. Could you repeat the question? 12 Q. Yes. At what point in this 13 experiment were the particle losses measured? 14 A. I think at break. 15 Q. Okay. 16 A. I think at break. As I look at this 17 Figure 3, there's a break, obviously, and then 18 there's a drop in force because there is a break. 19 Q. Is 2260 a preclinical study that 20 Ethicon conducts to evaluate particle loss? 21 A. Ethicon did not conduct this study. 22 Q. Does Ethicon -- strike that. 23 Is this a preclinical study? 24 A. This is kind of bench-top 25 biomechanical testing.</p>

74 (Pages 583 to 586)

Confidential - Subject to Protective Order

Page 587	Page 589
<p>1 Q. What is the difference between 2 bench-top biomechanical testing and preclinical 3 testing? 4 A. Well, I guess it can be considered 5 preclinical because it's done before, you know, the 6 product gets to clinic. But it's different than 7 preclinical in my mind that has to do with in vitro 8 or in vivo experimental studies with products in 9 animals. 10 Q. Okay. And why is it important to you 11 to measure products in vitro or in vivo in animals? 12 A. Well, because any bench-top is an 13 artificial environment designed to look at a 14 specific parameter under certain conditions. And in 15 my mind, an in vivo study where there is an 16 implantation of a product, it's more clinically 17 relevant because it simulates the patient 18 environment. 19 Q. If you look at T-2130, this is the 20 two-week rabbit study; is that correct? 21 A. 2133? 22 Q. Yes. 23 A. Yes, a two-week rabbit study. 24 Q. And if you look at the abstract on 25 Page 3, the objectives of the study were to compare</p>	<p>1 T-2130? 2 A. That's 33. 2133? 3 Q. Yes. 4 A. You keep saying 30. 5 Q. I'm sorry. Thank you. 6 A. What was the page number? 7 Q. Page 35. 8 A. Okay. 9 Q. You see under the category, 10 approximate average thickness of fibrous tissue 11 located between the mesh fiber bundles -- strike 12 that. Let me start over again. 13 On Page 35 of Exhibit T-2133, there 14 is a table called "Histological Observations," 15 correct? 16 A. Yes. 17 Q. And what are histological 18 observations? 19 A. These are observations by the study 20 pathologist looking at evidence of tissue reaction 21 and integration and the evidence of fibrosis or any 22 other impact of the surrounding tissues. 23 Q. And there is a category that's there. 24 It says: Inflammatory cell infiltrates only 25 associated with the mesh.</p>
Page 588	Page 590
<p>1 the mechanical strength and histological response of 2 Prolene mesh and Prolene Soft mesh in skeletal 3 muscle of the rabbit, correct? 4 A. Yes. 5 Q. And this is the same Prolene mesh 6 that's used in TVT? 7 A. Yes, that's correct. 8 Q. And one of the specific endpoints of 9 this study, this two-week rabbit study, T-2130, is 10 to evaluate the extent to which the mesh shed 11 particles inside the rabbit, correct? 12 A. Yes, that's correct. 13 Q. And how did the study do that? 14 A. The implant site was explanted and 15 the tissue reaction was assessed. And, obviously, 16 that would include the implant and any particulates 17 that might be present, as that was one of the called 18 out objectives in this particular experiment, 19 although for me, any implantation study I would be 20 looking for particulates, but this was called out in 21 this study. 22 And so they would look at the tissue 23 reaction to the mesh itself and any evidence of 24 particulates in the surrounding tissue. 25 Q. If you go to Page 35 of that study,</p>	<p>1 What is that? Right in the middle. 2 A. Yeah. It looks like they're calling 3 out the tissue reaction associated with the mesh 4 versus a tissue reaction to the skeletal muscle 5 which was injured during the implantation process. 6 Q. And in the far right-hand corner -- 7 excuse me -- the far right-hand column, there is a 8 specific category for mesh particles within muscle. 9 And for each one of these animals, 10 they specifically look in the histology to try to 11 identify any particles that may have been in the 12 rabbit in two weeks; is that correct? 13 A. That's correct. 14 Q. And do they find any particles in the 15 histology for any of the rabbits? 16 A. No. No particles were observed for 17 any -- for any -- at any implantation site. 18 Q. And this is a two-week study. Does 19 the fact that this is a two-week study as opposed to 20 a six-month study or a ten-year study have any 21 impact on whether this is a valid study to determine 22 the extent to which mesh particles may be found 23 after implantation of mesh? 24 A. I think at a two-week post 25 implantation period is sufficient time for a tissue</p>

75 (Pages 587 to 590)

Confidential - Subject to Protective Order

Page 591	Page 593
<p>1 reaction and a fibrotic response to occur around any</p> <p>2 particulate if it were present.</p> <p>3 Q. Okay. And the histology in this</p> <p>4 two-week rabbit study, 2133, was consistent with all</p> <p>5 of the other Prolene tissue response tests that</p> <p>6 you've gotten since 1964, correct?</p> <p>7 A. Yeah, that's correct. If you look at</p> <p>8 the inflammatory cell --</p> <p>9 MR. THORNBURGH: Objection. Sorry.</p> <p>10 If you can just give me a hair of a</p> <p>11 second --</p> <p>12 THE WITNESS: I'm sorry.</p> <p>13 MR. THORNBURGH: -- I'd appreciate</p> <p>14 it. I've got to get an objection in.</p> <p>15 THE WITNESS: That's fine.</p> <p>16 BY MR. THOMAS:</p> <p>17 Q. Let me read the question again.</p> <p>18 And the histology in this two-week</p> <p>19 rabbit study, 2133, was consistent with all of the</p> <p>20 other Prolene tissue response tests that you've</p> <p>21 gotten since 1964, correct?</p> <p>22 MR. THORNBURGH: Objection.</p> <p>23 THE WITNESS: Yes. So if you look in</p> <p>24 the column, inflammatory cell infiltrates only</p> <p>25 associated with the mesh, for every mesh, that would</p>	<p>1 observations of encapsulation that were observed</p> <p>2 that were not confirmed upon histological review.</p> <p>3 Is that fair?</p> <p>4 A. That's correct. I recall that</p> <p>5 discussion.</p> <p>6 Q. And you were the person who conducted</p> <p>7 the histological review, correct?</p> <p>8 A. Yes.</p> <p>9 Q. And how is it that what might appear</p> <p>10 on a microscopic level to be encapsulation, upon</p> <p>11 histologic review, may prove something else</p> <p>12 altogether?</p> <p>13 A. Yeah. The deficiency of a</p> <p>14 macroscopic observation is that it cannot see</p> <p>15 through the tissue. For example, if I were to put</p> <p>16 this piece of paper on top of this -- the title of</p> <p>17 this document, you would not see that.</p> <p>18 That would be the result of a</p> <p>19 macroscopic observation. You could only see the</p> <p>20 surface. And that's a directional information, as I</p> <p>21 mentioned.</p> <p>22 The histomorphological evaluation of</p> <p>23 the implant site looks at a cross-section of the</p> <p>24 implant, top to bottom, through and through. So not</p> <p>25 only can the pathologist see the surface coating,</p>
Page 592	Page 594
<p>1 be Prolene Soft mesh, Prolene mechanical cut, which</p> <p>2 is TVT mesh, and Prolene ultrasonic cut mesh, which</p> <p>3 would be a laboratory-made device to simulate a</p> <p>4 different cutting process for TVT tape, all of the</p> <p>5 inflammatory reactions were minimal.</p> <p>6 And, further, if you look at the</p> <p>7 approximate average thickness of fibrous tissue,</p> <p>8 what I would call fibrosis in studies that I've</p> <p>9 read, located between the mesh fiber bundles -- and</p> <p>10 this is measured -- attempted to be measured in</p> <p>11 microns, as we've seen in some early report --</p> <p>12 pathology assessment schemes -- the results at 7 and</p> <p>13 14 days are -- there's no distinct encapsulation for</p> <p>14 any product.</p> <p>15 BY MR. THOMAS:</p> <p>16 Q. What does that mean, no distinct</p> <p>17 encapsulation?</p> <p>18 A. That the fibrotic response was</p> <p>19 relatively minimal.</p> <p>20 Q. Let's talk about encapsulation</p> <p>21 quickly. I am jumping around a little bit, and I</p> <p>22 apologize.</p> <p>23 In questions yesterday from counsel</p> <p>24 in -- with respect to T-2242, the exploratory 91-day</p> <p>25 tissue reaction study, there were some macroscopic</p>	<p>1 but they can see all the other components through</p> <p>2 the mesh implant.</p> <p>3 Q. Okay. So which is the more valid</p> <p>4 observation?</p> <p>5 MR. THORNBURGH: Objection.</p> <p>6 THE WITNESS: The histo -- the</p> <p>7 histomorphological evaluation is the definitive</p> <p>8 result.</p> <p>9 BY MR. THOMAS:</p> <p>10 Q. Okay. Sorry to jump around.</p> <p>11 Going back to the Pariente study,</p> <p>12 which was T-2260, and the Ethicon two-week rabbit</p> <p>13 study, which is T-2133, which is the better study</p> <p>14 from a preclinical perspective for Ethicon to</p> <p>15 evaluate the safety and efficacy of its product?</p> <p>16 A. I always lean towards in vivo studies</p> <p>17 to simulate a patient population.</p> <p>18 Q. And what value to you in preclinical</p> <p>19 context is 2260, the Pariente study?</p> <p>20 A. It's informational.</p> <p>21 Q. Any value to you from a preclinical</p> <p>22 perspective other than what they state?</p> <p>23 A. No.</p> <p>24 Q. The next section in your disclosure</p> <p>25 is the porosity section. And the porosity section</p>

Confidential - Subject to Protective Order

Page 595	Page 597
<p>1 for the development of mesh products only contains</p> <p>2 12 entries. And counsel inquired at length about</p> <p>3 why you only had 12 studies to support the porosity</p> <p>4 testing for the TVT device.</p> <p>5 And I think we've established pretty</p> <p>6 clearly that T-2247, the 1973 rabbit study, is the</p> <p>7 first study conducted by Ethicon on Prolene mesh for</p> <p>8 tissue reaction, correct?</p> <p>9 A. Yes, that's correct.</p> <p>10 Q. And we went through that study at</p> <p>11 some length.</p> <p>12 Is the tissue reaction profile found</p> <p>13 in 2247 for Prolene mesh used in TVT consistent with</p> <p>14 the tissue reaction profile found in other Prolene</p> <p>15 mesh marketed by Ethicon?</p> <p>16 MR. THORNBURGH: Objection.</p> <p>17 THE WITNESS: First, is that exhibit</p> <p>18 that you called out the '73 study?</p> <p>19 BY MR. THOMAS:</p> <p>20 Q. Correct.</p> <p>21 A. Then the response would be that the</p> <p>22 tissue reaction profile reported in the 1973 study</p> <p>23 represents the kind of tissue reaction seen in</p> <p>24 studies conducted since then.</p> <p>25 Q. Including the 91-day rat study using</p>	<p>1 perspective?</p> <p>2 A. No.</p> <p>3 MR. THORNBURGH: Objection.</p> <p>4 BY MR. THOMAS:</p> <p>5 Q. Now, you were questioned at some</p> <p>6 length about why you haven't done any more porosity</p> <p>7 studies on 6-mil Prolene mesh since the 1973 study.</p> <p>8 Why is that?</p> <p>9 A. Well, there's -- in preclinical</p> <p>10 science, there are limitations on the number of</p> <p>11 animal studies that can be conducted. USDA animal</p> <p>12 welfare regulations require experimental</p> <p>13 institutions to justify the use of additional</p> <p>14 animals. And part of that justification is making a</p> <p>15 statement that this work has not been conducted</p> <p>16 previously, and if so, then further studies are not</p> <p>17 allowed.</p> <p>18 Q. In the 91-day rat study, T-2242,</p> <p>19 there is an extensive section and literature</p> <p>20 research -- literature search contained in the data</p> <p>21 for that study. Do you recall that?</p> <p>22 A. Yes.</p> <p>23 Q. And why is that literature search set</p> <p>24 forth in that study?</p> <p>25 A. Part of the --</p>
Page 596	Page 598
<p>1 the 5 mil mesh?</p> <p>2 A. That's correct.</p> <p>3 Q. And in all of the porosity studies</p> <p>4 that are listed, the 12 that are listed here, the</p> <p>5 finding of tissue reaction with respect to Prolene</p> <p>6 mesh, does it meet the same profile?</p> <p>7 A. Yes.</p> <p>8 Q. And what is that profile?</p> <p>9 A. A relatively mild reaction, an acute</p> <p>10 phase, which is transient and passes, because the</p> <p>11 implant is biocompatible. The tissue reaction</p> <p>12 transitions to a low level chronic inflammatory</p> <p>13 reaction and a fibrotic reaction that encapsulates</p> <p>14 elements in a three-dimensional way of the mesh.</p> <p>15 And that tissue reaction is sustained</p> <p>16 through the -- for the duration of each of the</p> <p>17 studies, and in many of those studies, there is a</p> <p>18 diminution of that reaction over time.</p> <p>19 Q. And that diminution in the reactions</p> <p>20 or the change in the reactions that you've just</p> <p>21 described is what you've described to counsel as a</p> <p>22 long-term chronic reaction?</p> <p>23 A. That's correct.</p> <p>24 Q. And does the long-term chronic</p> <p>25 reaction present any risk from a preclinical</p>	<p>1 MR. THORNBURGH: Objection.</p> <p>2 THE WITNESS: Each research</p> <p>3 institution has an institutional animal care and use</p> <p>4 committee whose job is to have oversight over all</p> <p>5 experimental studies and as part of that oversight,</p> <p>6 requires a literature search of either the public --</p> <p>7 well, the public and internal databases to make sure</p> <p>8 that previous studies that have been conducted will</p> <p>9 not be repeated.</p> <p>10 BY MR. THOMAS:</p> <p>11 Q. After Ethicon obtained the results</p> <p>12 from the test in 2247, which is a 1973 rabbit test,</p> <p>13 was there any reason to conduct further tissue</p> <p>14 reaction studies for this Prolene flat mesh?</p> <p>15 A. No. And all tissue reactions</p> <p>16 conducted on various iterations of Prolene mesh over</p> <p>17 time showed a very comparable tissue reaction as</p> <p>18 described in the 1973 study.</p> <p>19 Q. And so the 12 studies that you site</p> <p>20 in connection with your porosity analysis all have a</p> <p>21 consistent tissue reaction profile?</p> <p>22 A. Yes.</p> <p>23 Q. And is the tissue reaction profile</p> <p>24 that is described in those 12 studies consistent</p> <p>25 with the language in the IFU that you talked about</p>

77 (Pages 595 to 598)

Confidential - Subject to Protective Order

Page 599	Page 601
<p>1 at length with counsel for the plaintiff?</p> <p>2 MR. THORNBURGH: Objection.</p> <p>3 THE WITNESS: Yes, I think so.</p> <p>4 BY MR. THOMAS:</p> <p>5 Q. The next category that you were asked</p> <p>6 about -- excuse me -- that you were designated on is</p> <p>7 Section BB. And you were asked to provide the</p> <p>8 specifics of all clinical, preclinical, and medical</p> <p>9 testing related to all of the TVT products, and you</p> <p>10 were responding to the preclinical piece of that.</p> <p>11 Do you recall that?</p> <p>12 A. Yes, I do.</p> <p>13 Q. So as a part of that, you gathered</p> <p>14 all of the testing that Ethicon did for each of the</p> <p>15 devices. Is that fair?</p> <p>16 A. That's correct.</p> <p>17 Q. And to the extent that Ethicon</p> <p>18 leveraged prior testing from Prolene sutures, you've</p> <p>19 also identified that?</p> <p>20 A. That's correct. They're all</p> <p>21 relevant.</p> <p>22 Q. Okay. And you did that for the TVT</p> <p>23 device, correct?</p> <p>24 A. Yes.</p> <p>25 Q. You did that for the TVT-O device?</p>	<p>1 A. Yes.</p> <p>2 Q. And you're prepared to talk about all</p> <p>3 the biocompatibility testing done for each of those</p> <p>4 devices?</p> <p>5 A. Yes.</p> <p>6 Q. Now, next category is Category CC,</p> <p>7 and you were asked to be the person most</p> <p>8 knowledgeable, Rule 30(b)(6) designee, for animal</p> <p>9 testing records for biocompatibility as part of the</p> <p>10 design of the product. Correct?</p> <p>11 A. Yes.</p> <p>12 Q. And here you have listed 64 different</p> <p>13 documents, correct?</p> <p>14 A. Yes.</p> <p>15 Q. And you're prepared today to talk</p> <p>16 about all of these 64 documents concerning the</p> <p>17 animal testing records for biocompatibility as a</p> <p>18 part of the TVT products?</p> <p>19 A. Yes.</p> <p>20 MR. THORNBURGH: Dave, what section</p> <p>21 are you on?</p> <p>22 MR. THOMAS: CC, which is called</p> <p>23 animal testing records for biocompatibility as part</p> <p>24 of the design of this product.</p> <p>25 BY MR. THOMAS:</p>
Page 600	Page 602
<p>1 A. That's correct.</p> <p>2 Q. You did that for the TVT-Secur</p> <p>3 device?</p> <p>4 A. Yes.</p> <p>5 Q. You did that for the TVT-E device?</p> <p>6 A. That's correct.</p> <p>7 Q. And the TVT-A device?</p> <p>8 A. That's correct.</p> <p>9 Q. And this included any new component</p> <p>10 parts that were added to any of the TVT devices.</p> <p>11 You were asked by the plaintiffs to provide that</p> <p>12 information for all of the tools that might</p> <p>13 accompany those devices?</p> <p>14 A. That's correct.</p> <p>15 Q. And you have notebooks of all the</p> <p>16 tests that were conducted on each of those TVT</p> <p>17 devices here today to talk about the -- every aspect</p> <p>18 of the -- any new components to any of the TVT</p> <p>19 devices?</p> <p>20 MR. THORNBURGH: Objection.</p> <p>21 THE WITNESS: Yes.</p> <p>22 BY MR. THOMAS:</p> <p>23 Q. And, also, as a part of this, you</p> <p>24 have biocompatibility risk assessments for each of</p> <p>25 these devices. Isn't there?</p>	<p>1 Q. Now, Category DD asks for the person</p> <p>2 most knowledgeable concerning the evaluation of data</p> <p>3 and results of any preclinical studies and testing</p> <p>4 regarding your TVT products and states that all</p> <p>5 documents responsive to this category have already</p> <p>6 been identified.</p> <p>7 And so all of the documents that we</p> <p>8 have just been through are responsive to this</p> <p>9 category, and you have those here with you today?</p> <p>10 A. That's correct.</p> <p>11 Q. Category EE says the development and</p> <p>12 coordination of any preclinical studies. And to the</p> <p>13 extent that you have studies responsive to this</p> <p>14 category, those have been identified in previous</p> <p>15 categories as well, and they're here with you today?</p> <p>16 A. That's correct.</p> <p>17 Q. The next category is one that we</p> <p>18 spent a good deal of time on. Next category deals</p> <p>19 with the identity of, the location of, and the</p> <p>20 substance of any and all studies, data, and/or other</p> <p>21 evidence that form the basis of the following</p> <p>22 claim/statement included in the attached</p> <p>23 instructions for use for the TVT products.</p> <p>24 And the statement is that animal</p> <p>25 studies show that implementation of Prolene mesh</p>

78 (Pages 599 to 602)

Confidential - Subject to Protective Order

Page 603	Page 605
<p>1 elicits a minimal inflammatory reaction in tissues, 2 which is transient and is followed by the deposition 3 of a thin, fibrous layer or tissue which can grow 4 through the interstices of the mesh, thus 5 incorporating the mesh to adjacent tissue. 6 Your first tab is 1964. Why do you 7 include information from 1964 in the materials that 8 you designate in response to this category? 9 A. As -- as we discussed earlier -- 10 MR. THORNBURGH: Objection. 11 THE WITNESS: -- the Prolene 12 polypropylene suture forms the basis for the Prolene 13 polypropylene mesh, the same Prolene polypropylene 14 filament. 15 And so any studies that are relevant 16 to the tissue reaction of suture are relevant in a 17 way to the filaments that comprise Prolene 18 polypropylene mesh. 19 BY MR. THOMAS: 20 Q. And the tissue reaction studies that 21 were part of the NDA were reviewed by FDA in the NDA 22 approval process, correct? 23 A. That's correct. 24 Q. And FDA ultimately approved the use 25 of the Prolene suture for sale in the United States</p>	<p>1 suture NDA, correct? 2 MR. THORNBURGH: Objection. 3 THE WITNESS: Yes, that's correct. 4 BY MR. THOMAS: 5 Q. And that was based upon the studies, 6 one through five, that appear under this section of 7 the disclosure? 8 A. Yes, that's correct. Long-term 9 implantation studies and long-term retention of 10 breaking strength. 11 Q. Now, if you go to Tab 6, the Miller 12 study, what did you learn about the -- the issue of 13 tissue enzymes in the advent of polypropylene 14 sutures? 15 A. This is a paper in the open 16 literature. We can look at it in detail if we need 17 to, which, as you say, is Tab 6. 18 But I recall there's some language in 19 there that talks about the Prolene polypropylene 20 suture is resistant to the effects of tissue 21 enzymes. 22 Q. And what was it about other sutures 23 in use at the time that created a risk of 24 degradation from tissue enzymes? 25 A. Yeah, this is very significant,</p>
Page 604	Page 606
<p>1 under the new drug application? 2 A. That's correct. 3 MR. THORNBURGH: Objection. 4 BY MR. THOMAS: 5 Q. And FDA ultimately approved the 6 language that appears up above in the IFU in 7 substance for the Prolene suture? 8 MR. THORNBURGH: Objection. 9 THE WITNESS: That's correct. 10 BY MR. THOMAS: 11 Q. And the 44 documents that you cite 12 below this category, are all of these consistent 13 with the language that appears in the IFU on which 14 you're designated? 15 A. Yes. 16 Q. Now, the next category says the 17 material is not absorbed, nor is it subject to 18 degradation or weakening by the action of tissue 19 enzymes. 20 Now, this language was also part of 21 the original instruction for use for the 22 polypropylene -- excuse me -- the Prolene suture? 23 A. That's correct. 24 Q. And this language was specifically 25 approved by the FDA in its approval of the Prolene</p>	<p>1 because at the time, another monofilament suture, as 2 Prolene suture, was catgut suture, and that was made 3 of intestinal collagen from animals, and it's known 4 to degrade over time. 5 So to have a suture that doesn't 6 degrade in the presence of tissue enzymes, whether 7 it's placed in the stomach or part of an 8 inflammatory process or it's in the pancreas, that's 9 something that would be new to many surgeons. 10 Q. Now, you talked at length about the 11 fact that molecular weight and tensile strength are 12 the two key components for you in preclinical to 13 evaluate the extent to which degradation is a 14 significant event, correct? 15 A. Absolutely. 16 Q. In any of the 59 -- excuse me -- 49 17 papers, from 1964 to 2013, did you identify any 18 Prolene suture or mesh that underwent degradation in 19 the form of change in molecular weight or loss of 20 tensile strength that caused you concern from a 21 preclinical perspective? 22 MR. THORNBURGH: I just want to 23 object to the representation that even molecular 24 weight studies were even done in the 40 or so -- 25 40 -- however many studies that are in this list.</p>

Confidential - Subject to Protective Order

Page 607	Page 609
<p>1 Are you representing to the Court</p> <p>2 that molecular weight studies were done in each one</p> <p>3 of these tests?</p> <p>4 MR. THOMAS: No, I'm not. I am</p> <p>5 asking --</p> <p>6 MR. THORNBURGH: Objection. Move to</p> <p>7 strike.</p> <p>8 That's a representation that you've</p> <p>9 been making to this jury this entire time.</p> <p>10 MR. THOMAS: Please. No speeches to</p> <p>11 the jury. That's not appropriate. You know that.</p> <p>12 MR. THORNBURGH: It's fair</p> <p>13 representation, honest ones.</p> <p>14 BY MR. THOMAS:</p> <p>15 Q. Dr. Barbolt, with respect to the 49</p> <p>16 documents that you've identified in response to this</p> <p>17 issue of the materials not absorbed, nor is it</p> <p>18 subject to degradation or weakening by the action of</p> <p>19 tissue enzymes, did you find any information in any</p> <p>20 form that caused you concern that there was</p> <p>21 degradation from a preclinical perspective that</p> <p>22 caused you concern?</p> <p>23 MR. THORNBURGH: Objection.</p> <p>24 THE WITNESS: No.</p> <p>25 BY MR. THOMAS:</p>	<p>1 A. Yes.</p> <p>2 Q. As a pathologist reviewing the data</p> <p>3 that's been provided to you, are you able to review</p> <p>4 that data and determine the extent to which those</p> <p>5 various grading scales can be analyzed to reach a</p> <p>6 common result?</p> <p>7 A. Yes.</p> <p>8 Q. And tell me how you do that.</p> <p>9 A. Well, you look --</p> <p>10 MR. THORNBURGH: Objection. I don't</p> <p>11 even understand the question.</p> <p>12 BY MR. THOMAS:</p> <p>13 Q. You can answer the question.</p> <p>14 A. Answer the question?</p> <p>15 You look at the individual</p> <p>16 observations from each of the studies and you make a</p> <p>17 judgment based on the description and the severity</p> <p>18 scores that might be associated with that</p> <p>19 observation about what really happened.</p> <p>20 So for me to go back and look at a</p> <p>21 study conducted under the Sewell scheme that we</p> <p>22 talked about yesterday, I could reinterpret those</p> <p>23 results in a manner that I would have recorded the</p> <p>24 result if I were going to be doing that work today.</p> <p>25 It takes some work, and it needs to</p>
Page 608	Page 610
<p>1 Q. Category 4 is the person most</p> <p>2 knowledgeable regarding a 28-day intramuscular</p> <p>3 reaction study.</p> <p>4 We already talked about that. That's</p> <p>5 the study that you did after the positive</p> <p>6 cytotoxicity study in the Ulmsten device where you</p> <p>7 then did the intramuscular study to determine the</p> <p>8 extent to which the TVT was going to be cytotoxic in</p> <p>9 vivo.</p> <p>10 A. That's correct.</p> <p>11 Q. And that result was negative?</p> <p>12 A. That's correct. There was no</p> <p>13 evidence of in vivo cytotoxicity.</p> <p>14 Q. And you were the person who ran that</p> <p>15 test?</p> <p>16 A. Yes. I was the study director and</p> <p>17 study pathologist.</p> <p>18 Q. And you're prepared to talk about</p> <p>19 that test today?</p> <p>20 A. Yes.</p> <p>21 Q. In questioning yesterday, you were</p> <p>22 shown a variety of grading scales used by</p> <p>23 pathologists over the years to evaluate tissue</p> <p>24 response from various implantation studies. Do you</p> <p>25 recall that?</p>	<p>1 be done by a person trained in histomorphological</p> <p>2 evaluation, but it's not a difficult task.</p> <p>3 Q. Why do pathologists record in detail</p> <p>4 what they observe?</p> <p>5 A. That forms the basis for their</p> <p>6 interpretation of the study results.</p> <p>7 Q. And does that allow someone to come</p> <p>8 behind them to analyze the extent to which they</p> <p>9 agree with those findings?</p> <p>10 A. Absolutely. And the -- and the --</p> <p>11 and the safety mechanism for that is the fact that</p> <p>12 the slides are considered the ultimate raw data in a</p> <p>13 pathology study.</p> <p>14 This allows another pathologist to go</p> <p>15 behind the study pathologist and re-read those</p> <p>16 slides to generate their own set of data and their</p> <p>17 own conclusions to see how they compare with the</p> <p>18 original study pathologist. It's done very</p> <p>19 commonly.</p> <p>20 Q. And is that the reason why you try to</p> <p>21 preserve slides where you can of these kinds of</p> <p>22 studies?</p> <p>23 A. Yes. Yes. Every intention is to</p> <p>24 maintain raw data as long as possible.</p> <p>25 Q. Now, you talked before in the 91-day</p>

80 (Pages 607 to 610)

Confidential - Subject to Protective Order

Page 611	Page 613
<p>1 study, T-2242, you were the pathologist who reviewed 2 those slides, correct?</p> <p>3 A. That's correct.</p> <p>4 Q. And you talked about how you may have 5 either recorded the data on an Excel spreadsheet or 6 perhaps made notes before you made your final 7 report; is that right?</p> <p>8 A. That's correct.</p> <p>9 Q. And I think you also said that you 10 didn't retain any of the notes that you might have 11 kept on your initial findings that were later 12 recorded in the document which is 2242. Is that 13 fair?</p> <p>14 A. That's correct.</p> <p>15 Q. Is that common?</p> <p>16 A. That's standard industry practice.</p> <p>17 Q. Tell me what you mean by "standard 18 industry practice."</p> <p>19 A. Well, pathologists have an 20 opportunity to go back to the original data, that's 21 the slide, this week, next week, some other 22 period -- point in time. 23 Many times studies occur over a long 24 period of time, and a pathologist may be involved in 25 a lot of different studies. So at the end of a long</p>	<p>1 which the study pathologist believes reflects the 2 microslides.</p> <p>3 Q. In your training, education, and 4 experience in your area of expertise, do 5 histologists keep the notes that they initially make 6 when they ultimately record their findings in their 7 final report?</p> <p>8 A. No.</p> <p>9 MR. THORNBURGH: Objection.</p> <p>10 Are you talking about histologists 11 that have a litigation hold in place?</p> <p>12 THE WITNESS: It wouldn't matter to 13 me.</p> <p>14 MR. THOMAS: In 2000, the year, 2000.</p> <p>15 MR. THORNBURGH: It wouldn't matter 16 to you?</p> <p>17 MR. THOMAS: Let's take a break.</p> <p>18 THE VIDEOGRAPHER: Going off the 19 video record at 6:23.</p> <p>20 This concludes Tape Number 5, 21 Volume 2 in the videotape deposition of Dr. 22 Thomas A. Barbolt.</p> <p>23 (Short break.)</p> <p>24 THE VIDEOGRAPHER: We're back on the 25 video record. It's 6:34.</p>
Page 612	Page 614
<p>1 period of time, a study pathologist may want to go 2 back and revisit the original observations from the 3 first look.</p> <p>4 And maybe something that's -- that is 5 observed at a later time point now causes the 6 pathologist to reevaluate those earlier slides. 7 There could be many iterations of slide evaluation.</p> <p>8 But when I say it's standard industry 9 practice, it's the signed individual animal 10 observations that becomes the raw data for the study 11 report.</p> <p>12 Q. Okay. Why are your notes not raw 13 data?</p> <p>14 A. Because they can change over time.</p> <p>15 Q. Okay. And what is raw data to a 16 pathologist insofar as the histology report goes?</p> <p>17 A. The slides.</p> <p>18 Q. And what significance is the report 19 that the pathologist -- the pathologist makes in the 20 study?</p> <p>21 A. I don't understand the question.</p> <p>22 Q. Okay. What does the histology report 23 represent insofar as your review of the slides?</p> <p>24 A. It represents the raw data signed off 25 by the study pathologist. And that's the results</p>	<p>1 This begins Tape Number 6, Volume 2 2 of the videotape deposition of Dr. Thomas A. 3 Barbolt.</p> <p>4 BY MR. THOMAS:</p> <p>5 Q. Dr. Barbolt, in response to an 6 objection from Mr. Thornburgh, you volunteered it 7 wouldn't matter to you if there was a litigation 8 hold in place about whether you keep notes.</p> <p>9 Have you ever destroyed any documents 10 or discarded any documents that you knew were 11 subject to a litigation hold in this case?</p> <p>12 MR. THORNBURGH: Objection; asked and 13 answered.</p> <p>14 THE WITNESS: No.</p> <p>15 BY MR. THOMAS:</p> <p>16 Q. You were asked a number of questions 17 about preclinical tests and symptoms of delayed 18 wound healing, ulceration, and increased 19 inflammation.</p> <p>20 Of the studies that we have just been 21 through in great detail, did you see any evidence of 22 delayed wound healing in the tissue integration 23 studies that you reviewed that you would attribute 24 to Prolene mesh?</p> <p>25 MR. THORNBURGH: Objection.</p>

81 (Pages 611 to 614)

Confidential - Subject to Protective Order

Page 615	Page 617
<p>1 THE WITNESS: No.</p> <p>2 BY MR. THOMAS:</p> <p>3 Q. Well, same question for Prolene</p> <p>4 sutures.</p> <p>5 A. No.</p> <p>6 Q. In all of the studies that we've just</p> <p>7 described in some detail, were you able to find any</p> <p>8 evidence of ulceration in those animal studies that</p> <p>9 you would attribute to Prolene mesh?</p> <p>10 A. No.</p> <p>11 Q. Were you able to find any evidence of</p> <p>12 ulceration due to Prolene suture in those studies we</p> <p>13 just described?</p> <p>14 A. No.</p> <p>15 Q. And, finally, of all of the studies</p> <p>16 that we just went through in great length, did you</p> <p>17 find any increased inflammatory response that you</p> <p>18 were able to attribute to any leachables from</p> <p>19 Prolene suture?</p> <p>20 MR. THORNBURGH: Objection.</p> <p>21 THE WITNESS: No.</p> <p>22 BY MR. THOMAS:</p> <p>23 Q. Were you able to find any increased</p> <p>24 inflammatory response that you were able to</p> <p>25 attribute to leachables from Prolene mesh?</p>	<p>1 degradation, were you able to identify in any of the</p> <p>2 numerous studies that we've just identified any</p> <p>3 increased inflammation that you were able to</p> <p>4 attribute to Prolene mesh?</p> <p>5 A. No.</p> <p>6 (Document marked for identification</p> <p>7 as Exhibit T-2263.)</p> <p>8 BY MR. THOMAS:</p> <p>9 Q. Let me show you what I've marked as</p> <p>10 Deposition Exhibit 2263.</p> <p>11 2263 is the binder that you prepared</p> <p>12 for the seven-year dog study. Do you see that?</p> <p>13 A. Yes.</p> <p>14 Q. And the seven-year dog study is what</p> <p>15 counsel asked you many questions about I guess</p> <p>16 earlier today. Is that fair?</p> <p>17 A. Yes.</p> <p>18 Q. And I want to go through that study</p> <p>19 with you a little bit.</p> <p>20 I'll represent to you that this</p> <p>21 document has in it a number of documents that hadn't</p> <p>22 been marked, and that's why I marked it all</p> <p>23 together. And just because it's going to be</p> <p>24 easier -- and I'll try to save time -- I'm going to</p> <p>25 mark the final report separately, because I can't</p>
Page 616	Page 618
<p>1 A. No.</p> <p>2 Q. Were you able to find any increased</p> <p>3 inflammation that you were able to attribute to</p> <p>4 particle loss for Prolene suture?</p> <p>5 A. No.</p> <p>6 Q. Were you able to find any increased</p> <p>7 inflammation that you were able to attribute to</p> <p>8 particle loss from Prolene mesh?</p> <p>9 A. No.</p> <p>10 MR. THORNBURGH: Objection.</p> <p>11 BY MR. THOMAS:</p> <p>12 Q. Were you able to find in all of those</p> <p>13 studies that we've just discussed any instance of</p> <p>14 delayed wound healing that you were able to</p> <p>15 attribute to degradation of Prolene suture?</p> <p>16 A. No.</p> <p>17 Q. How about any degradation of Prolene</p> <p>18 mesh?</p> <p>19 A. No.</p> <p>20 Q. With respect to ulceration, were you</p> <p>21 able to find evidence in any of the studies that</p> <p>22 we've just identified any ulceration that you were</p> <p>23 able to attribute the degradation of Prolene mesh?</p> <p>24 A. No.</p> <p>25 Q. And, likewise, with respect to</p>	<p>1 put my hands on it very quickly, and I don't want to</p> <p>2 keep you here any longer than I have to.</p> <p>3 (Document marked for identification</p> <p>4 as Exhibit T-2264.)</p> <p>5 MR. THOMAS: I'll mark 2264 the same</p> <p>6 report that we marked earlier today. This didn't</p> <p>7 have the folded back front page.</p> <p>8 Counsel, it's 2264.</p> <p>9 BY MR. THOMAS:</p> <p>10 Q. Exhibit 2264 is the October 15, 1992</p> <p>11 report that says: Seven-year data for ten-year</p> <p>12 Prolene. Do you recall that?</p> <p>13 A. Yes.</p> <p>14 Q. And you were asked a number of</p> <p>15 questions earlier about this document concerning the</p> <p>16 scanning electron microscopy conducted at that time.</p> <p>17 Do you recall that?</p> <p>18 A. Yes.</p> <p>19 Q. And you identified in the report</p> <p>20 where someone observed cracks on the surface of some</p> <p>21 Prolene mesh. Fair?</p> <p>22 A. Yes.</p> <p>23 Q. Dr. Barbolt, when does a surface</p> <p>24 crack in Prolene mesh raise preclinical issues that</p> <p>25 need to be investigated further?</p>

82 (Pages 615 to 618)

Confidential - Subject to Protective Order

Page 619	Page 621
<p>1 A. When there's a loss in tensile 2 strength. I think that's the -- that would be 3 the -- the final straw. There might be impact on 4 molecular weight, but if there was no impact on 5 tensile strength, that would be the -- that would be 6 the -- the definitive endpoint. 7 Q. Why are surface cracks alone, without 8 any evidence of tensile strength issues or molecular 9 weight, why don't they raise preclinical issues for 10 you? 11 MR. THORNBURGH: Objection. 12 THE WITNESS: Because they don't have 13 an impact on molecular weight, which would be 14 evidence of degradation of polymer chains. And if 15 there were degradation of polymer chains, that would 16 be reflected in a loss in tensile strength. 17 So those two endpoints are key 18 preclinical endpoints. Other endpoints are 19 informational. They're not so important if they 20 don't have an impact on those two endpoints. 21 BY MR. THOMAS: 22 Q. And tell the jury what molecular 23 weight is. 24 A. Molecular weight is a measure of the 25 length of the polymer chain. The longer the polymer</p>	<p>1 A. A change in molecular weight is -- 2 MR. THORNBURGH: Same objection. I'm 3 sorry. 4 THE WITNESS: -- is a quantitative 5 measure. That would suggest it's quite reliable. 6 And it would be a measure of degradation of the 7 polymer. 8 BY MR. THOMAS: 9 Q. And what is tensile strength? 10 A. Tensile strength is the force 11 required to break a fiber, in a -- in a brief 12 description. 13 Q. And why is a loss of tensile strength 14 important to you as a preclinician? 15 A. Tensile strength is a measure of 16 fiber integrity. It's a measure of presence or 17 absence of degradation. 18 And for suture, it's critical, 19 because if a suture breaks because of a loss of 20 tensile strength, it can have very serious 21 consequences for patients when used for 22 cardiovascular repair. 23 And if there is a loss of strength of 24 fiber and in mesh, there could be a reduction in 25 burst strength of the mesh, and so that it doesn't</p>
Page 620	Page 622
<p>1 chain, the heavier its weight. And biomaterials are 2 comprised of many chains of polymers. So a higher 3 molecular weight would suggest a polymer, in this 4 case, fiber, with a pretty high tensile strength. 5 Q. And what does a change in molecular 6 weight tell you as a preclinician? 7 A. It gives a measure of the stability 8 of the polymer. 9 Q. If the molecular weight changes, what 10 happened to the polymer? 11 MR. THORNBURGH: Objection. Outside 12 the scope of his expertise. 13 He's already testified at length that 14 he's not a polymer scientist. I've already asked 15 him these questions, and he couldn't give me answers 16 to them. 17 MR. THOMAS: I don't think you asked 18 that question. 19 But go ahead. 20 MR. THORNBURGH: I did. 21 THE WITNESS: Could you repeat, 22 David? 23 BY MR. THOMAS: 24 Q. What does the change in molecular 25 weight tell you as a preclinician?</p>	<p>1 perform its function as intended. 2 Q. On Exhibit 2264, which is the 3 October 15, 1992 report titled, "Seven-Year Data For 4 Ten- Year Prolene Study," ERF-85-219, down under the 5 paragraph headed "IV and GPC," it says: Gel 6 permeation chromatography (GPC) was run on Prolene 7 sutures explanted from dogs after seven years. The 8 GPC data was compared to data from a current 4/0 9 Prolene suture. 10 What does that mean? 11 A. 4/0 suture was the suture size that 12 was implanted in the dogs. And so to make a 13 relevant comparison, they selected a 4/0 suture out 14 of package to make the comparisons. 15 Q. Okay. The results indicate there was 16 no significant difference in molecular weight 17 between the 4/0 Prolene suture and the seven-year 18 explants. 19 What significance of that -- is that 20 to you as a preclinician? 21 MR. THORNBURGH: Objection. 22 THE WITNESS: That is strong evidence 23 that there's no polymer degradation taking place. 24 BY MR. THOMAS: 25 Q. Turn now, please, to Exhibit 2263.</p>

83 (Pages 619 to 622)

Confidential - Subject to Protective Order

Page 623	Page 625
<p>1 MR. THORNBURGH: What page is that?</p> <p>2 I'm sorry.</p> <p>3 MR. THOMAS: Exhibit 2263.</p> <p>4 BY MR. THOMAS:</p> <p>5 Q. If you go to the last three pages of</p> <p>6 Exhibit 2263, there is a document titled -- dated</p> <p>7 October 19, 1992.</p> <p>8 And it says: Interim report on the</p> <p>9 physical testing of Prolene, PVDF, Ethilon, and</p> <p>10 Novofil after seven-year subcutaneous implantation</p> <p>11 in the Beagle dogs.</p> <p>12 Do you see that?</p> <p>13 A. Yes.</p> <p>14 Q. And what is a BSR study?</p> <p>15 A. BSR is an acronym that stands for</p> <p>16 breaking strength retention.</p> <p>17 Q. And how does breaking strength</p> <p>18 retention compare to tensile strength?</p> <p>19 A. Breaking strength retention would be</p> <p>20 determined by tensile testing.</p> <p>21 Basically, they would look at out of</p> <p>22 package suture and do tensile testing to determine</p> <p>23 breaking strength. And then they would explant</p> <p>24 suture from these dogs after seven years and do</p> <p>25 similar tensile testing and make a comparison.</p>	<p>1 I'm sorry. What was the last?</p> <p>2 MR. THOMAS: The analytical chemistry</p> <p>3 department notes. The last two numbers are 218.</p> <p>4 MR. THORNBURGH: Got it.</p> <p>5 BY MR. THOMAS:</p> <p>6 Q. And do you understand these to be</p> <p>7 notes taken in the analytical chemistry department</p> <p>8 for testing conducted on these mesh -- these suture</p> <p>9 explants?</p> <p>10 A. Yes.</p> <p>11 Q. And down to the bottom of the page,</p> <p>12 it says: Prolene site one and Prolene site six with</p> <p>13 molecular weights of 322,000 and 323,000 compared to</p> <p>14 a molecular weight of 324,000.</p> <p>15 What is the significance of that to</p> <p>16 you as a preclinician?</p> <p>17 MR. THORNBURGH: Objection.</p> <p>18 THE WITNESS: The polymer is not</p> <p>19 showing any significant changes in molecular weight.</p> <p>20 And as the comments indicate below, a comparison --</p> <p>21 and this is a summary of that molecular weight data.</p> <p>22 A comparison of seven-year explants</p> <p>23 to current 4/0 Prolene sutures indicates no</p> <p>24 significant degradation.</p> <p>25 BY MR. THOMAS:</p>
Page 624	Page 626
<p>1 Q. And in 1992, tests were conducted,</p> <p>2 and it reads here: The attached table shows the</p> <p>3 physical properties of explanted and baseline</p> <p>4 samples of size 5/0 Ethilon, Novafil, Prolene, and</p> <p>5 PVDF (N) sutures up to the seven-year mark of the</p> <p>6 ten-year BSR study.</p> <p>7 Reading further, it says: Novofil</p> <p>8 samples show a corresponding decrease of 14 percent</p> <p>9 in breaking strength, while Prolene and PVDF show no</p> <p>10 significant change after seven years of</p> <p>11 implantation.</p> <p>12 What's the significance of that</p> <p>13 finding to a preclinician in evaluating the</p> <p>14 stability of Prolene sutures?</p> <p>15 MR. THORNBURGH: Objection.</p> <p>16 THE WITNESS: That's strong evidence</p> <p>17 that there's no degradation of the polymer fiber.</p> <p>18 BY MR. THOMAS:</p> <p>19 Q. If you go back to Pages Bates Number</p> <p>20 09888218, which is going back from the back -- it's</p> <p>21 a few pages in from the back.</p> <p>22 A. Okay.</p> <p>23 Q. Do you have that?</p> <p>24 A. Yeah.</p> <p>25 MR. THORNBURGH: I am not there yet.</p>	<p>1 Q. And that's dated October 9, 1992,</p> <p>2 down in the lower left by Eugene Muse.</p> <p>3 A. Yes. October 9, 1992.</p> <p>4 Q. If you turn the page and go to 220.</p> <p>5 A. Okay.</p> <p>6 Q. And 220 is a document dated</p> <p>7 September 21, 1992. The analyst's signature, it</p> <p>8 looks like Robin Ragland, and comparing, again,</p> <p>9 Prolene sutures for dog 1995 site three. Do you see</p> <p>10 that?</p> <p>11 A. Yes.</p> <p>12 Q. And the Prolene suture for dog 1995,</p> <p>13 site three, was compared to a current Prolene suture</p> <p>14 4/0.</p> <p>15 Again, what's going on here?</p> <p>16 A. Yeah. This is a comparison of the</p> <p>17 molecular weight of the suture from explant compared</p> <p>18 to a current Prolene suture.</p> <p>19 And the results indicate, as is</p> <p>20 stated, that no degradation has taken place. And</p> <p>21 that's fully supported by the quantitative molecular</p> <p>22 weight data. Those -- that statement and that data</p> <p>23 is very consistent.</p> <p>24 Q. And you go to the next page, which is</p> <p>25 8221, dated August the 5th, 1992, Dan Burkley,</p>

84 (Pages 623 to 626)

Confidential - Subject to Protective Order

Page 627	Page 629
<p>1 signed off by Gene Muse, on October 9, 1992.</p> <p>2 Again, they're comparing Prolene</p> <p>3 suture explants for Dog 2019, site two and three, to</p> <p>4 the current Prolene control. Is that correct?</p> <p>5 A. Yes.</p> <p>6 Q. And they're comparing molecular</p> <p>7 weights again?</p> <p>8 A. Yes.</p> <p>9 Q. And what conclusion do they reach in</p> <p>10 October -- in August 1992 about degradation with</p> <p>11 respect to these suture implants?</p> <p>12 A. For samples from this dog, they say</p> <p>13 in the conclusion section: Comparison of seven-year</p> <p>14 explants to current Prolene indicate no molecular</p> <p>15 weight degradation.</p> <p>16 Q. And the next page dated 8222 --</p> <p>17 excuse me -- numbered 8222, again, is submitted</p> <p>18 July 2, 1992.</p> <p>19 A. Okay.</p> <p>20 Q. I am trying to find my Prolene.</p> <p>21 Here it is. In the middle?</p> <p>22 A. Yep.</p> <p>23 Q. There's Dog 2008, site two?</p> <p>24 A. Yes.</p> <p>25 Q. Measure of molecular weight, again,</p>	<p>1 Q. Excuse me. I'm sorry. I have</p> <p>2 misspoken. Strike that.</p> <p>3 What importance as a preclinician is</p> <p>4 that conclusion to you?</p> <p>5 MR. THORNBURGH: Objection.</p> <p>6 THE WITNESS: I think it demonstrates</p> <p>7 the stability of Prolene suture over seven years in</p> <p>8 in vivo -- in in vivo system.</p> <p>9 BY MR. THOMAS:</p> <p>10 Q. Do any of the documents, the study</p> <p>11 for the seven-year dog study where there is a</p> <p>12 discussion of these surface cracks on some of the</p> <p>13 explanted sutures in some of the locations -- is</p> <p>14 there any attribution of cause to that cracking?</p> <p>15 MR. THORNBURGH: Objection.</p> <p>16 THE WITNESS: It's simply an</p> <p>17 observation.</p> <p>18 MR. THOMAS: Can we take a break,</p> <p>19 please.</p> <p>20 THE VIDEOGRAPHER: Off the video</p> <p>21 record, 6:55.</p> <p>22 (Short break.)</p> <p>23 THE VIDEOGRAPHER: Back on the video</p> <p>24 record at 7:00 p.m.</p> <p>25 MR. THOMAS: I have no further</p>
Page 628	Page 630
<p>1 compared to the control. Do you see that?</p> <p>2 A. Yes.</p> <p>3 Q. And what conclusion is reached in</p> <p>4 1992 about Dog 2008?</p> <p>5 A. For this dog, they're saying</p> <p>6 comparison of current Prolene 4/0 suture indicates</p> <p>7 no significant degradation of seven-year explant.</p> <p>8 Q. Now, we talked before and went</p> <p>9 through in great length about the surface cracking</p> <p>10 that was reserved in the scanning electron</p> <p>11 microscopy. I don't need to go through that again</p> <p>12 in any detail unless you want to.</p> <p>13 A. No thanks.</p> <p>14 Q. But how can you reconcile what was</p> <p>15 found as a preclinician, the findings of the</p> <p>16 scanning electron microscopy with the molecular</p> <p>17 weight tensile strength results that are recorded</p> <p>18 here?</p> <p>19 A. The surface changes are</p> <p>20 informational. However, in my mind as a preclinical</p> <p>21 scientist, they're not having an adverse impact on</p> <p>22 molecular weight or tensile strength of the fiber.</p> <p>23 Q. And what importance as a clinician is</p> <p>24 that conclusion to you?</p> <p>25 A. Well --</p>	<p>1 questions.</p> <p>2 - - -</p> <p>3 FURTHER EXAMINATION</p> <p>4 - - -</p> <p>5 BY MR. THORNBURGH:</p> <p>6 Q. Doctor, I appreciate that we've all</p> <p>7 been here too long today and we're all tired. I do</p> <p>8 have a couple of questions. I'm going to try to get</p> <p>9 us all out of here as quickly as I can. Okay?</p> <p>10 I want to kind of work backwards. I</p> <p>11 want to turn your attention back to the seven-year</p> <p>12 dog study, which I think was Exhibit Number 2264,</p> <p>13 which included the analytical chemistry department</p> <p>14 notes.</p> <p>15 MR. THOMAS: 2263, I think.</p> <p>16 MR. THORNBURGH: Is it 2263?</p> <p>17 THE WITNESS: Okay.</p> <p>18 BY MR. THORNBURGH:</p> <p>19 Q. Now, there actually was molecular</p> <p>20 weight loss in some of the cracked -- or some of the</p> <p>21 explanted Prolene sutures, wasn't there?</p> <p>22 A. There was no significant changes.</p> <p>23 Q. There was -- answer my question.</p> <p>24 Okay? Because I know we both want to get out of</p> <p>25 here. So answer my question.</p>

85 (Pages 627 to 630)

Confidential - Subject to Protective Order

Page 631	Page 633
<p>1 There actually was molecular weight</p> <p>2 loss in some of the explanted Prolene sutures,</p> <p>3 wasn't there?</p> <p>4 MR. THOMAS: Object to the form of</p> <p>5 the question.</p> <p>6 THE WITNESS: Let's look at the data.</p> <p>7 I don't recall the specifics.</p> <p>8 BY MR. THORNBURGH:</p> <p>9 Q. Let's turn to ETH.MESH.09888222.</p> <p>10 A. 232.</p> <p>11 232.</p> <p>12 Q. Yes. No. 09888222.</p> <p>13 A. 222.</p> <p>14 Q. Are you there?</p> <p>15 A. Yes.</p> <p>16 Q. Dog 2008, site two, was compared to</p> <p>17 current Prolene 4/0 suture, right?</p> <p>18 A. Yes.</p> <p>19 Q. And the current Prolene suture had a</p> <p>20 molecular weight of 224,000, and an MN of 60,000,</p> <p>21 right?</p> <p>22 MR. THOMAS: Object to form. You</p> <p>23 read that wrong.</p> <p>24 THE WITNESS: No. I think it's</p> <p>25 324,000.</p>	<p>1 Q. There was a change in the number as</p> <p>2 well, wasn't there, Doctor?</p> <p>3 A. I wouldn't expect these numbers to</p> <p>4 come out on top of each other.</p> <p>5 Q. 60,000 in the current Prolene versus</p> <p>6 53,000 in the explanted Prolene, correct?</p> <p>7 A. That's what it says.</p> <p>8 Q. That would indicate there was a</p> <p>9 reduction in the number of polymer chains, right?</p> <p>10 MR. THOMAS: Object to the form of</p> <p>11 the question.</p> <p>12 THE WITNESS: Well, the conclusion</p> <p>13 says no significant degradation of the seven-year</p> <p>14 explant.</p> <p>15 BY MR. THORNBURGH:</p> <p>16 Q. Right. The conclusion isn't that</p> <p>17 there was no degradation; the conclusion is there</p> <p>18 wasn't significant degradation. But the converse is</p> <p>19 true, that there was evidence of some degradation,</p> <p>20 wasn't there, Doctor?</p> <p>21 MR. THOMAS: Object to the form of</p> <p>22 the question.</p> <p>23 THE WITNESS: What's important to me</p> <p>24 as a preclinical scientist is what the person doing</p> <p>25 the work interprets the results and gives a final</p>
Page 632	Page 634
<p>1 BY MR. THORNBURGH:</p> <p>2 Q. 324,000?</p> <p>3 A. For MW. And 60,000 for MN.</p> <p>4 Q. Molecular weight was 324,000,</p> <p>5 correct?</p> <p>6 A. Yes.</p> <p>7 Q. What does MN mean, by the way?</p> <p>8 A. It is a measure of the number of</p> <p>9 molecular chains versus the average molecular weight</p> <p>10 of those chains.</p> <p>11 Q. For molecular weight, there was a</p> <p>12 reduction of the Prolene, current Prolene, compared</p> <p>13 to the dog explant suture, correct?</p> <p>14 MR. THOMAS: Object to the form of</p> <p>15 the question.</p> <p>16 THE WITNESS: The number is</p> <p>17 different, and it's lower.</p> <p>18 BY MR. THORNBURGH:</p> <p>19 Q. It's lower in the explanted Prolene,</p> <p>20 correct?</p> <p>21 A. Yes, at this site.</p> <p>22 Q. And you said the MN was the number of</p> <p>23 molecular chains?</p> <p>24 A. Yes, in a general way. Again, I'm</p> <p>25 not a polymer chemist, but that's my understanding.</p>	<p>1 conclusion.</p> <p>2 I know that these molecular weight</p> <p>3 numbers can never be identical between samples,</p> <p>4 because there is a range of molecular weights.</p> <p>5 BY MR. THORNBURGH:</p> <p>6 Q. Answer my question, please, Doctor.</p> <p>7 MR. THOMAS: I think he did.</p> <p>8 BY MR. THORNBURGH:</p> <p>9 Q. The finding here was that there was a</p> <p>10 reduction in molecular weight, and there was a</p> <p>11 reduction in the molecular molecules, and that there</p> <p>12 was some degradation observed of this explant,</p> <p>13 explanted mesh, correct?</p> <p>14 MR. THOMAS: Object to the form of</p> <p>15 the question.</p> <p>16 THE WITNESS: These are two numbers.</p> <p>17 These numbers need to be interpreted.</p> <p>18 BY MR. THORNBURGH:</p> <p>19 Q. You can't interpret those numbers?</p> <p>20 A. They have been interpreted for me as</p> <p>21 I read this report.</p> <p>22 Q. And there was indication of</p> <p>23 degradation, wasn't there?</p> <p>24 A. The conclusion say that no</p> <p>25 significant degradation of a seven-year explant.</p>

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 635</p> <p>1 Q. Which doesn't mean that there wasn't</p> <p>2 degradation; it just means that there was</p> <p>3 degradation but this investigator called it</p> <p>4 insignificant or not significant. Right?</p> <p>5 MR. THOMAS: Object to the form of</p> <p>6 the question.</p> <p>7 THE WITNESS: I would disagree.</p> <p>8 BY MR. THORNBURGH:</p> <p>9 Q. If we go to -- that's what the</p> <p>10 summary is for, too, right, Doctor? Summaries in</p> <p>11 reports authored by the investigators is to help us</p> <p>12 understand their interpretation of the data?</p> <p>13 A. Absolutely.</p> <p>14 Q. And if we look at the summary of the</p> <p>15 conclusions -- which are a summary of the data,</p> <p>16 right? It's a conclusion of the --</p> <p>17 A. What page are you on?</p> <p>18 Q. I am looking at Page 2 of --</p> <p>19 MR. THOMAS: Dan, just so you know,</p> <p>20 the full page that talks about molecular weight is</p> <p>21 2264. The copy that you have is folded over. I</p> <p>22 gave you a copy of that already.</p> <p>23 MR. THORNBURGH: I don't know what I</p> <p>24 did with the full page. What is the exhibit number?</p> <p>25 MR. THOMAS: 2264.</p>	<p style="text-align: right;">Page 637</p> <p>1 Q. There's three -- three folks that</p> <p>2 signed the report, right?</p> <p>3 A. I'm still looking for the summary. I</p> <p>4 can't find it.</p> <p>5 Q. If you look at Exhibit Number 2264.</p> <p>6 MR. THOMAS: Over there in that stack</p> <p>7 right there.</p> <p>8 THE WITNESS: Okay.</p> <p>9 BY MR. THORNBURGH:</p> <p>10 Q. Okay. And three -- not one Ethicon</p> <p>11 employee or Ethicon investigator signed this report,</p> <p>12 but three of them signed the report, right?</p> <p>13 A. Yes.</p> <p>14 Q. Which -- and in the report, their</p> <p>15 conclusions, the three Ethicon employees who</p> <p>16 actually participated in the study, their</p> <p>17 conclusions was that there was degradation in the</p> <p>18 polypropylene, in the Prolene, right?</p> <p>19 MR. THOMAS: Object to the form of</p> <p>20 the question.</p> <p>21 BY MR. THORNBURGH:</p> <p>22 Q. That's their conclusion in the</p> <p>23 report?</p> <p>24 MR. THOMAS: Object to the form of</p> <p>25 the question.</p>
<p style="text-align: right;">Page 636</p> <p>1 BY MR. THORNBURGH:</p> <p>2 Q. If we look at 2264.</p> <p>3 A. 2264, yes.</p> <p>4 Q. Strike that. Let me just try to see</p> <p>5 if I can get a clean answer from you, get a clean</p> <p>6 record.</p> <p>7 You would agree with me that as a</p> <p>8 scientist, you rely on the conclusions of the</p> <p>9 investigators who conducted the study, right?</p> <p>10 A. Yes, in large part.</p> <p>11 Q. And the conclusion from the</p> <p>12 investigator who conducted this study was that there</p> <p>13 was --</p> <p>14 A. What page are we on now?</p> <p>15 Q. If we look at page -- it's Page 2 of</p> <p>16 the expert report.</p> <p>17 A. The ETH.MESH. number?</p> <p>18 Q. 2264.</p> <p>19 MR. THOMAS: Object. Who do you</p> <p>20 attribute to be the investigator? There's three, I</p> <p>21 believe.</p> <p>22 MR. THORNBURGH: The person who wrote</p> <p>23 the report.</p> <p>24 MR. THOMAS: There are three.</p> <p>25 BY MR. THORNBURGH:</p>	<p style="text-align: right;">Page 638</p> <p>1 BY MR. THORNBURGH:</p> <p>2 Q. I'm not -- I am not misreading this</p> <p>3 right, Doctor?</p> <p>4 MR. THOMAS: I think you are, Dan.</p> <p>5 BY MR. THORNBURGH:</p> <p>6 Q. Conclusion. Degradation in Prolene</p> <p>7 is still increasing, and PVDF, even though a few</p> <p>8 cracks were found, is still by far the most surface</p> <p>9 resistant in-house made suture in terms of cracking.</p> <p>10 I read that correctly, didn't I,</p> <p>11 Doctor?</p> <p>12 MR. THOMAS: Object to the form of</p> <p>13 the question.</p> <p>14 THE WITNESS: This is a conclusion</p> <p>15 for the ophthalmic microscopy and scanning electron</p> <p>16 microscopy section authored by the Elke Lindemann,</p> <p>17 the person who did the SEM evaluation.</p> <p>18 BY MR. THORNBURGH:</p> <p>19 Q. And the conclusion, which was signed</p> <p>20 off on by three Ethicon employees who -- scientists,</p> <p>21 polymer scientists, right?</p> <p>22 A. Each of the scientists --</p> <p>23 Q. Answer that question first, please.</p> <p>24 A. Each of the scientists' names are</p> <p>25 against the part of the report for which they signed</p>

87 (Pages 635 to 638)

Confidential - Subject to Protective Order

Page 639	Page 641
<p>1 off.</p> <p>2 Q. Three of them participated in the</p> <p>3 study, right?</p> <p>4 A. That's correct.</p> <p>5 Q. And the conclusion on Page 2 says:</p> <p>6 Degradation in Prolene is still increasing, and</p> <p>7 PVDF, even though a few cracks were found, is still</p> <p>8 by far the most surface resistant in-house made</p> <p>9 suture in terms of cracking. Right?</p> <p>10 MR. THOMAS: Object to the form of</p> <p>11 the question.</p> <p>12 THE WITNESS: That's one-third of the</p> <p>13 results of this experiment.</p> <p>14 BY MR. THORNBURGH:</p> <p>15 Q. Well, is that one-third of the</p> <p>16 results of the experiment -- in the experiment, they</p> <p>17 determined that there was degradation, there was</p> <p>18 surface degradation of the Prolene mesh, right?</p> <p>19 A. That's what it says.</p> <p>20 Q. Or Prolene suture.</p> <p>21 And we can see there was a loss in</p> <p>22 molecular weight seen on this explant, right?</p> <p>23 A. Let me get to that section. 222, is</p> <p>24 that the --</p> <p>25 Q. Yes.</p>	<p>1 MR. THORNBURGH: What do you mean?</p> <p>2 MR. THOMAS: Just what I said.</p> <p>3 THE WITNESS: I am looking at Animal</p> <p>4 1995.</p> <p>5 BY MR. THORNBURGH:</p> <p>6 Q. So hold on a second. Let's talk</p> <p>7 about Animal 2008, site two.</p> <p>8 There was a reduction --</p> <p>9 MR. THOMAS: You can do them one at a</p> <p>10 time, Tom. You can do them one at a time. If he</p> <p>11 won't ask you, I'll ask you.</p> <p>12 THE WITNESS: Fine. Okay.</p> <p>13 MR. THORNBURGH: I'll look at all of</p> <p>14 them.</p> <p>15 THE WITNESS: Fine.</p> <p>16 MR. THORNBURGH: I am not afraid of</p> <p>17 the evidence.</p> <p>18 THE WITNESS: Me neither.</p> <p>19 BY MR. THORNBURGH:</p> <p>20 Q. There is a reduction in the molecular</p> <p>21 weight and the number of molecules, right?</p> <p>22 MR. THOMAS: Object to the form of</p> <p>23 the question.</p> <p>24 THE WITNESS: The number is smaller.</p> <p>25 The conclusion is that there's no significant</p>
Page 640	Page 642
<p>1 A. Okay. I'm looking at it.</p> <p>2 Q. It doesn't say that there wasn't</p> <p>3 degradation, does it?</p> <p>4 A. Well, I -- let's take a look at all</p> <p>5 the other dogs and see what happened.</p> <p>6 Q. Well, I know you don't want to talk</p> <p>7 about the evidence that's not good for Ethicon, but</p> <p>8 we got to talk about that evidence, too, Doctor.</p> <p>9 MR. THOMAS: Excuse me. Stop, stop.</p> <p>10 Just ask a good question. Don't argue with him.</p> <p>11 MR. THORNBURGH: It was a good</p> <p>12 question.</p> <p>13 MR. THOMAS: Come on. Stop.</p> <p>14 MR. THORNBURGH: It was a good</p> <p>15 question. I'm not making fun of the doctor.</p> <p>16 MR. THOMAS: Do you want to quit?</p> <p>17 We'll quit.</p> <p>18 MR. THORNBURGH: No. That was a good</p> <p>19 question.</p> <p>20 MR. THOMAS: That's ridiculous.</p> <p>21 MR. THORNBURGH: He didn't want to</p> <p>22 answer it because -- because he didn't want -- he</p> <p>23 didn't want the truth to be heard.</p> <p>24 MR. THOMAS: I want you to argue that</p> <p>25 one to the magistrate, to the judge.</p>	<p>1 degradation.</p> <p>2 BY MR. THORNBURGH:</p> <p>3 Q. Oh, by the way, did you talk to these</p> <p>4 investigators about why there was insufficient</p> <p>5 sample for Prolene IV for this study?</p> <p>6 A. No, I did not.</p> <p>7 Q. Did you talk to the investigator --</p> <p>8 A. What are we looking at now?</p> <p>9 Q. Same page, 222.</p> <p>10 A. 222. Insufficient sample for</p> <p>11 inherent viscosity, not molecular weight.</p> <p>12 Q. Insufficient Prolene -- sorry.</p> <p>13 Insufficient sample for Prolene IV. Right. That's</p> <p>14 what that says?</p> <p>15 A. No. No. It's IV which means</p> <p>16 inherent viscosity.</p> <p>17 Q. What is inherent viscosity?</p> <p>18 A. It's another measure of polymer</p> <p>19 characteristics. It's different than a molecular</p> <p>20 weight measurement.</p> <p>21 Q. And that's why it's not included in</p> <p>22 here, right?</p> <p>23 MR. THOMAS: Included where?</p> <p>24 MR. THORNBURGH: Included right below</p> <p>25 for the --</p>

88 (Pages 639 to 642)

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 643</p> <p>1 BY MR. THORNBURGH:</p> <p>2 Q. I assume -- and you can tell me --</p> <p>3 you can answer the question for me, if you can.</p> <p>4 The IV results --</p> <p>5 MR. THOMAS: They're above, Dan.</p> <p>6 MR. THORNBURGH: Hold on one second.</p> <p>7 BY MR. THORNBURGH:</p> <p>8 Q. Is this the IV results here?</p> <p>9 A. IV/DLG, that is an IV result.</p> <p>10 Q. Okay. I'm sorry.</p> <p>11 A. They're saying they could not --</p> <p>12 there was insufficient sample to determine an IV</p> <p>13 measurement for Prolene suture.</p> <p>14 Q. And what is an IV measurement?</p> <p>15 A. It represents inherent viscosity,</p> <p>16 again, a measure -- it's a polymer characteristic.</p> <p>17 Q. Would it give us information about</p> <p>18 the loss of the polymer?</p> <p>19 A. I don't know for certain. I think</p> <p>20 it's a different endpoint, but I don't know for</p> <p>21 certain.</p> <p>22 Q. In any case, they're able to test all</p> <p>23 of the other samples except for Prolene for that</p> <p>24 study, right, for IV?</p> <p>25 A. That's what it says, yes.</p>	<p style="text-align: right;">Page 645</p> <p>1 different, and the Dog 2008 site two is a smaller</p> <p>2 number.</p> <p>3 MR. THORNBURGH: Is that the section</p> <p>4 that you wanted me to go back to and ask questions</p> <p>5 about?</p> <p>6 MR. THOMAS: You can ask whatever you</p> <p>7 want to. I'm not going to tell you what to do.</p> <p>8 BY MR. THORNBURGH:</p> <p>9 Q. If you go to 8221.</p> <p>10 A. 8221. Okay.</p> <p>11 Q. There was insufficient sample of</p> <p>12 Prolene for IV again, right?</p> <p>13 A. That's correct.</p> <p>14 Q. Then, also, again, insufficient</p> <p>15 sample of Prolene IV again here, right? You see it</p> <p>16 says insufficient Prolene IV. And then it also says</p> <p>17 insufficient Prolene IV here. And it doesn't give</p> <p>18 numbers for the Prolene.</p> <p>19 MR. THOMAS: It does at the bottom.</p> <p>20 Current molecular weight right there on the bottom.</p> <p>21 MR. THORNBURGH: We're going to talk</p> <p>22 about that -- we're going to talk about that in a</p> <p>23 moment.</p> <p>24 MR. THOMAS: I thought you were</p> <p>25 suggesting --</p>
<p style="text-align: right;">Page 644</p> <p>1 Q. If you go to 8221.</p> <p>2 MR. THOMAS: Do you want to ask the</p> <p>3 rest of the questions about the molecular weight</p> <p>4 down at the bottom of that page?</p> <p>5 MR. THORNBURGH: I see Prolene wasn't</p> <p>6 included in that -- in this section of molecular</p> <p>7 weight. Right?</p> <p>8 MR. THOMAS: Oh, I think it is.</p> <p>9 THE WITNESS: No. That's IV.</p> <p>10 Molecular weight is above to the right.</p> <p>11 BY MR. THORNBURGH:</p> <p>12 Q. Okay. I'm sorry.</p> <p>13 A. So --</p> <p>14 Q. What's this -- what's this data right</p> <p>15 here?</p> <p>16 A. That's -- that's molecular weight</p> <p>17 data for the other suture -- sutures.</p> <p>18 Q. Okay. And the molecular weight data</p> <p>19 here we've already discussed, which showed a</p> <p>20 reduction in the molecular weight from the current</p> <p>21 Prolene to the explant and, also, a reduction in the</p> <p>22 number of molecules, correct?</p> <p>23 MR. THOMAS: Object to the form of</p> <p>24 the question.</p> <p>25 THE WITNESS: The numbers are</p>	<p style="text-align: right;">Page 646</p> <p>1 BY MR. THORNBURGH:</p> <p>2 Q. Because right here, they separate it</p> <p>3 out, right? In both cases, it says insufficient</p> <p>4 sample for Prolene IV.</p> <p>5 A. That is just written twice.</p> <p>6 Q. Do you know why there would be</p> <p>7 insufficient samples for Prolene IV?</p> <p>8 A. No, I do not. I know you need to</p> <p>9 have a certain mass in order to do the experiment.</p> <p>10 And the analytical work was done on the strand</p> <p>11 breaks after Instron testing. So maybe there was</p> <p>12 just not enough mass to run the experiment, a</p> <p>13 certain sample requirement.</p> <p>14 Q. And for molecular weight, current</p> <p>15 Prolene, there's -- the explants in this sample were</p> <p>16 also lower than the -- than the control, correct?</p> <p>17 MR. THOMAS: Object to the form of</p> <p>18 the question. That's not true.</p> <p>19 THE WITNESS: No, that's not correct.</p> <p>20 BY MR. THORNBURGH:</p> <p>21 Q. 334,000 --</p> <p>22 MR. THOMAS: No.</p> <p>23 BY MR. THORNBURGH:</p> <p>24 Q. -- is greater than 331,000.</p> <p>25 MR. THOMAS: You're not reading the</p>

Confidential - Subject to Protective Order

Page 647	Page 649
<p>1 number right, Dan. It's 324,000.</p> <p>2 MR. THORNBURGH: Oh, okay. I'm</p> <p>3 apparently dyslexic today.</p> <p>4 BY MR. THORNBURGH:</p> <p>5 Q. So there was -- in this -- in this</p> <p>6 sample, there wasn't degradation observed, molecular</p> <p>7 degradation, right?</p> <p>8 A. Well, to use your language from the</p> <p>9 previous dog, there were increases in molecular</p> <p>10 weight for two strands.</p> <p>11 Q. There wasn't molecular weight</p> <p>12 degradation; there wasn't a decrease in the</p> <p>13 molecular weight seen in this sample. Right?</p> <p>14 A. There was an increase.</p> <p>15 Q. There wasn't a reduction in -- there</p> <p>16 wasn't -- look at the conclusion.</p> <p>17 The conclusion was no molecular</p> <p>18 weight degradation, right?</p> <p>19 A. That's right.</p> <p>20 MR. THOMAS: That's fine.</p> <p>21 THE WITNESS: That's right.</p> <p>22 BY MR. THORNBURGH:</p> <p>23 Q. Molecular weight degradation. That's</p> <p>24 what they call it here, right?</p> <p>25 A. That's right. What this is</p>	<p>1 the significance of that in polymer science, but I</p> <p>2 can't shed much light on it.</p> <p>3 Q. You didn't talk to anybody, right?</p> <p>4 A. That's correct.</p> <p>5 Q. You didn't call up Dan Burkley or the</p> <p>6 other two investigators and say, hey, why is</p> <p>7 there -- why weren't you able to do Prolene IV</p> <p>8 studies?</p> <p>9 A. That's correct.</p> <p>10 Q. So the people most knowledgeable</p> <p>11 about that -- that particular issue in this study</p> <p>12 wouldn't include you; it would include somebody</p> <p>13 else?</p> <p>14 A. At this level of detail, yes.</p> <p>15 Q. It would appear, though, that IV had</p> <p>16 analysis -- is related in some way to a degradation</p> <p>17 analysis, right?</p> <p>18 MR. THOMAS: Object to the form of</p> <p>19 the question.</p> <p>20 THE WITNESS: No, I don't think so.</p> <p>21 MR. THORNBURGH: We'll mark as</p> <p>22 Exhibit 2265.</p> <p>23 (Document marked for identification</p> <p>24 as Exhibit T-2265.)</p> <p>25 BY MR. THORNBURGH:</p>
Page 648	Page 650
<p>1 suggesting is that molecular weight rises and falls</p> <p>2 in comparison to a control, and the investigator</p> <p>3 needs to make a judgment whether or not the movement</p> <p>4 from the baseline is sufficient to call out</p> <p>5 significant degradation. That's how science works.</p> <p>6 Q. Again, there's insufficient sample</p> <p>7 for Prolene IVs, right?</p> <p>8 MR. THOMAS: What page are we on now?</p> <p>9 MR. THORNBURGH: 8220.</p> <p>10 THE WITNESS: Yes. Insufficient</p> <p>11 sample for the IV test.</p> <p>12 BY MR. THORNBURGH:</p> <p>13 Q. Why -- why is -- why is -- why are</p> <p>14 these researchers able to run IV testing on all</p> <p>15 other sutures except for Prolene?</p> <p>16 A. I don't know the answer for that.</p> <p>17 Q. Did you ask anybody?</p> <p>18 A. No.</p> <p>19 Q. In every single case, they didn't run</p> <p>20 a test for Prolene IV, right?</p> <p>21 A. For me, the molecular weight</p> <p>22 determination was the most relevant. It may be</p> <p>23 because I understand it a little bit better than IV.</p> <p>24 Clearly, IV is an important</p> <p>25 measurement, but -- maybe someone else can address</p>	<p>1 Q. A degradation analysis of Prolene</p> <p>2 explants.</p> <p>3 MR. THOMAS: Where did this come</p> <p>4 from?</p> <p>5 MR. THORNBURGH: This is --</p> <p>6 MR. THOMAS: A lab notebook?</p> <p>7 MR. THORNBURGH: I believe so, yes.</p> <p>8 MR. THOMAS: We've already told you</p> <p>9 that we're not prepared.</p> <p>10 MR. THORNBURGH: You're not prepared</p> <p>11 to talk about --</p> <p>12 BY MR. THORNBURGH:</p> <p>13 Q. You haven't seen this?</p> <p>14 A. I have not seen this.</p> <p>15 Q. Do you see the date on this?</p> <p>16 MR. THORNBURGH: If he's not prepared</p> <p>17 to tell me or talk about it, then he needs to say</p> <p>18 I'm not prepared to talk about it. I'm going to ask</p> <p>19 one or two questions.</p> <p>20 MR. THOMAS: We're not. We're not</p> <p>21 prepared to talk about it.</p> <p>22 THE WITNESS: I haven't seen it.</p> <p>23 BY MR. THORNBURGH:</p> <p>24 Q. Do you know what melt pointing is,</p> <p>25 melt point test?</p>

90 (Pages 647 to 650)

Confidential - Subject to Protective Order

Page 651	Page 653
<p>1 A. No. I can't explain that in any 2 detail. 3 Q. Nobody at Ethicon provided you with 4 this study that showed that in 1987, the explants 5 showed that there -- the conclusions from studies of 6 explants was that it was degraded Prolene? 7 MR. THOMAS: Object to the form of 8 the question. He's not prepared to talk on this. 9 We've been through this at length. 10 BY MR. THORNBURGH: 11 Q. My question is: Nobody at Ethicon, 12 nor Ethicon's counsel, provided you with this study 13 that showed the explanted Prolene was degraded? 14 MR. THOMAS: Object to the form of 15 the question. 16 BY MR. THORNBURGH: 17 Q. Right? 18 A. I've not seen this. I am not 19 really -- I'm not prepared to talk about it. It is 20 a bit of information in isolation. I don't 21 understand the context. I'd have to look at all -- 22 at all the data around it. 23 Q. Nobody -- nobody showed you this 24 conclusion either, or this study either, prior to 25 coming here today, a study that they've had</p>	<p>1 attorneys -- Ethicon has been in possession of this 2 since 1987 -- did not provide this information to 3 you, correct? 4 A. I have not seen this information. 5 Q. So you're not prepared to talk about 6 that study or any other studies from the notebooks? 7 MR. THOMAS: We've already said that 8 a hundred times. 9 MR. THORNBURGH: We'll have to come 10 back. 11 MR. THOMAS: I understand. 12 BY MR. THORNBURGH: 13 Q. Now, you represented that there were 14 20 binders in front of you and behind you which 15 included studies that you -- that Ethicon -- 16 Ethicon's attorneys and you compiled together for 17 purposes of this deposition, right? 18 A. Yes. 19 Q. And you -- you have to agree that 20 many of the studies that were copied and put in 21 these binders are actually duplicates of studies in 22 other binders in front of you, right? 23 A. That's correct. 24 Q. Many of them, a vast majority of 25 them?</p>
Page 652	Page 654
<p>1 apparently in Ethicon's files since 1987, which 2 showed that the explanted meshes -- the explant 3 mesh -- 4 MR. THOMAS: Are you referring to 5 something new? Or is this the same document? 6 MR. THORNBURGH: Same document. 7 THE WITNESS: It's a notebook page. 8 BY MR. THORNBURGH: 9 Q. Nobody showed you this document 10 either? 11 A. It's a notebook page. 12 Q. Nobody showed you the study results 13 from Professor Godoin? Professor Godoin. Nobody 14 showed you Professor Godoin's explants and the 15 studies that were done on Professor Godoin's 16 explants which showed evidence of polypropylene 17 degradation? 18 MR. THOMAS: Object to the form of 19 the question. 20 THE WITNESS: If there's anything in 21 any notebooks that you want to talk about, I'm not 22 prepared to talk about it. 23 BY MR. THORNBURGH: 24 Q. Yeah. So nobody showed you this 25 study, right? Nobody at Ethicon, nor Ethicon's</p>	<p>1 A. That's correct. 2 Q. It's not actually 20 binders of 3 different studies. There's 20 binders where the 4 majority of those are duplicate copies, right? 5 A. I never represented them as 6 individual lists of studies that were not 7 duplicates. 8 Q. I just want to make sure the jury 9 understands. It's not actually 20 binders of 10 studies, of different studies. There's 20 binders 11 with lots of duplication, right? 12 A. Yes. There's overlap between the 13 topics of discussion. 14 Q. In fact, some studies are contained 15 within -- are duplicated 10 and 11 times in these 16 binders, right? 17 A. I don't think there were that many 18 topics for discussion. 19 Q. Or duplicated in each one of the 20 topics? 21 A. Okay. 22 Q. Right? Correct? 23 A. That could be so. 24 Q. Exhibit 2262, the list of studies. 25 A. Okay.</p>

91 (Pages 651 to 654)

Confidential - Subject to Protective Order

Page 655	Page 657
<p>1 Q. Now, we've marked that as an exhibit. 2 Do you have it in front of you? 3 A. Yes. 4 Q. Okay. You have a list of studies 5 and -- that you included or somebody included in the 6 degradation section of Exhibit 2262, correct? 7 A. Yes. 8 Q. And can you tell me in exhibit -- or 9 in Study Number 1, study of tissue reaction of 10 colorless and pigmented monofilament polypropylene 11 sutures, was there SEM, SEM EDX, GPC, DTP, or FTIR 12 studies conducted? 13 A. No. 14 Q. And to determine if there was 15 actually actual degradation of the polypropylene in 16 these cases, a number of studies would have to be 17 conducted, right? A number of tests? 18 A. Not necessarily. One can determine 19 quite a bit by looking at the tissue reaction from 20 an implanted material and whether or not there's any 21 evidence that there's cracking, degradation, 22 absorption, edge -- edge erosion. 23 Q. SEM -- SEM -- 24 MR. THOMAS: Excuse me. 25 BY MR. THORNBURGH:</p>	<p>1 study. 2 Q. Okay. And then you have degradation 3 here, which could include surface degradation, 4 correct? 5 A. If it were significant enough to be 6 seen at the light microscope level in an H&E 7 section, yes. 8 Q. What do you mean by absorption? 9 A. For absorbable implants, there's an 10 absorption of the material into the surrounding 11 tissues. That's not the case for a non-absorbable, 12 which is Prolene. 13 Q. And what do you mean by "edge 14 erosion"? 15 A. There might be degradation of the 16 surface which would be reflected by inflammatory 17 cells scalloping the perimeter of the implant, 18 fiber. 19 Q. Now, for these studies that you 20 listed here in degradation, the overwhelming 21 majority of these studies weren't studies that 22 looked at FTIR analysis, scanning electron 23 microscopy, scanning electron microscopy EDX, GPC, 24 or those other tests, degradation tests, correct? 25 MR. THOMAS: Object to the form of</p>
Page 656	Page 658
<p>1 Q. I'm sorry. I thought you were done. 2 I didn't mean to interrupt you. 3 A. It's all right. I'm done. 4 Q. I see the period. Now -- or I hear 5 the period. 6 Doctor, are you telling the ladies 7 and gentlemen of the jury that SEM analysis alone is 8 sufficient to determine degradation or surface 9 degradation of a polymer fiber? 10 A. Absolutely not. 11 Q. Additional testing could be 12 conducted, right? 13 A. Yeah, as was done in the seven-year 14 dog study. 15 Q. You said that -- you testified a 16 moment ago that one can determine the tissue 17 reaction from implanted material and whether or not 18 there's any evidence that there's cracking, 19 degradation, absorption, edge erosion. 20 So I am going to break that down for 21 a moment. Okay? 22 A. Okay. 23 Q. So one can determine through light 24 microscopy or SEM surface cracks, correct? 25 A. As was done in the seven-year dog</p>	<p>1 the question. 2 THE WITNESS: Yes. 3 BY MR. THORNBURGH: 4 Q. In fact, can you point to any of 5 these studies that you have listed in the 6 degradation section of your -- your notebooks that 7 did FTIR microscopy? 8 A. Seven-year dog study. 9 Q. That's it? That's the only one that 10 you can point to, right? 11 A. Yes. 12 Q. And the seven-year dog study through 13 FTIR found degradation, correct? 14 MR. THOMAS: No. Object to the form 15 of the question. 16 BY MR. THORNBURGH: 17 Q. There were carbonyl bands that were 18 consistent with oxidation, correct? 19 MR. THOMAS: Object to the form of 20 the question. 21 BY MR. THORNBURGH: 22 Q. Correct? 23 A. I recall some language about a 24 possibility of such a thing, but nothing definitive. 25 Q. There were carbonyl bands that were</p>

Confidential - Subject to Protective Order

Page 659	Page 661
<p>1 seen that were consistent with oxidation, according</p> <p>2 to the report.</p> <p>3 MR. THOMAS: Object to the form of</p> <p>4 the question.</p> <p>5 THE WITNESS: No, they -- we can go</p> <p>6 to the report and look.</p> <p>7 BY MR. THORNBURGH:</p> <p>8 Q. Okay.</p> <p>9 MR. THOMAS: It's on Page 1, I</p> <p>10 believe.</p> <p>11 THE WITNESS: There would be an</p> <p>12 ETH.MESH.09888187, whereas I have recalled the</p> <p>13 statement says, showed possible evidence of slight</p> <p>14 oxidation.</p> <p>15 BY MR. THORNBURGH:</p> <p>16 Q. So the only study that you listed in</p> <p>17 your 40 some studies that actually did FTIR</p> <p>18 microscopy found that the IR spectra obtained for</p> <p>19 cracked Prolene specimens showed possible evidence</p> <p>20 of slight oxidation, correct?</p> <p>21 A. I think I just said that.</p> <p>22 Q. Correct?</p> <p>23 A. Yes.</p> <p>24 Q. The only study that you listed in</p> <p>25 your degradation study -- or degradation list of</p>	<p>1 of -- listen. I am summarizing.</p> <p>2 The only study -- listen, Dave. I</p> <p>3 would appreciate if you would stop coaching this</p> <p>4 witness.</p> <p>5 MR. THOMAS: I am not coaching the</p> <p>6 witness.</p> <p>7 MR. THORNBURGH: You are. You have</p> <p>8 been coaching him for the last two days, Dave. I</p> <p>9 don't do that to you.</p> <p>10 MR. THOMAS: Stop, please.</p> <p>11 MR. THORNBURGH: I have respect for</p> <p>12 you. I treat you like a professional.</p> <p>13 MR. THOMAS: I bet you do.</p> <p>14 MR. THORNBURGH: You don't treat me</p> <p>15 like a professional. You don't act professional</p> <p>16 when I am asking questions. You coach the witness.</p> <p>17 BY MR. THORNBURGH:</p> <p>18 Q. The only study that you listed in</p> <p>19 your degradation section of the studies that were</p> <p>20 compiled by you or someone for Ethicon or Ethicon's</p> <p>21 attorneys say -- show -- showed evidence of --</p> <p>22 possible evidence of oxidation and degradation,</p> <p>23 right?</p> <p>24 A. We've discussed this line several</p> <p>25 times today.</p>
Page 660	Page 662
<p>1 studies that actually did FTIR microscopy showed</p> <p>2 evidence of degradation.</p> <p>3 MR. THOMAS: Object to the form of</p> <p>4 the question.</p> <p>5 BY MR. THORNBURGH:</p> <p>6 Q. Right?</p> <p>7 MR. THOMAS: Object to the form of</p> <p>8 the question.</p> <p>9 BY MR. THORNBURGH:</p> <p>10 Q. Right, sir?</p> <p>11 A. Can you restate?</p> <p>12 Q. Yeah. Yeah. And I can try to ask in</p> <p>13 a better way.</p> <p>14 The only study that you can identify</p> <p>15 right now for the ladies and gentlemen of the jury</p> <p>16 in your list of degradation studies on Exhibit 2262</p> <p>17 that actually looked at FTIR microscopy found</p> <p>18 evidence of oxidation and degradation, correct?</p> <p>19 MR. THOMAS: Object to the form of</p> <p>20 the question. Read it correctly, please.</p> <p>21 MR. THORNBURGH: Read it correctly?</p> <p>22 I wasn't reading anything.</p> <p>23 MR. THOMAS: Read what the report</p> <p>24 says.</p> <p>25 MR. THORNBURGH: There is evidence</p>	<p>1 Q. And the answer is yes, correct?</p> <p>2 A. It showed possible evidence of slight</p> <p>3 degradation. What's written is undeniable.</p> <p>4 THE WITNESS: I am hoping to wrap</p> <p>5 this up soon, Dave. I am running out of steam.</p> <p>6 MR. THOMAS: I understand.</p> <p>7 Just in light of what he said, are</p> <p>8 you getting close to being finished?</p> <p>9 MR. THORNBURGH: Yeah. I got -- I</p> <p>10 only have a few little notes here.</p> <p>11 MR. THOMAS: Well, last time that got</p> <p>12 a little bit too late, and the witness is getting</p> <p>13 tired. I'm just trying --</p> <p>14 THE WITNESS: I'm getting tired. And</p> <p>15 if you've got a lot of questions to ask --</p> <p>16 MR. THORNBURGH: I'm tired, too. I'm</p> <p>17 tired, too.</p> <p>18 THE WITNESS: -- and if it's going to</p> <p>19 go beyond five minutes, we need to schedule more</p> <p>20 time.</p> <p>21 MR. THORNBURGH: I'm tired, too,</p> <p>22 Doctor.</p> <p>23 MR. THOMAS: Let's go. Let's go.</p> <p>24 BY MR. THORNBURGH:</p> <p>25 Q. You're getting paid for your time</p>

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 663</p> <p>1 today, aren't you?</p> <p>2 A. Like I said, you've got five minutes.</p> <p>3 I am running out of energy. If you need more time,</p> <p>4 we'll have to reschedule more time.</p> <p>5 Q. How much money are you getting paid</p> <p>6 by the hour by Ethicon to come in here and testify</p> <p>7 as a 30(b)6 witness?</p> <p>8 A. You know that it's \$225 an hour.</p> <p>9 You've asked me before. And that's the same reason</p> <p>10 I gave --</p> <p>11 MR. THOMAS: Whoa, whoa, whoa. Just</p> <p>12 relax. Just don't -- you're asking questions over</p> <p>13 and over again. Let's ask the questions and move</p> <p>14 on.</p> <p>15 MR. THORNBURGH: I hear you. I know</p> <p>16 you're tired. I am going to pass the witness.</p> <p>17 MR. THOMAS: Thank you. That's all</p> <p>18 we have. Thanks very much.</p> <p>19 THE VIDEOGRAPHER: It's now 7:33, and</p> <p>20 we're concluded with Tape Number 6 in the videotape</p> <p>21 deposition of Thomas A Barbolt.</p> <p>22 (Witness excused.)</p> <p>23 (Deposition concluded at</p> <p>24 approximately 7:33 p.m.)</p> <p>25</p>	<p style="text-align: right;">Page 665</p> <p>1 INSTRUCTIONS TO WITNESS</p> <p>2</p> <p>3 Please read your deposition over</p> <p>4 carefully and make any necessary corrections. You</p> <p>5 should state the reason in the appropriate space on</p> <p>6 the errata sheet for any corrections that are made.</p> <p>7 After doing so, please sign the</p> <p>8 errata sheet and date it. It will be attached to</p> <p>9 your deposition.</p> <p>10 It is imperative that you return the</p> <p>11 original errata sheet to the deposing attorney</p> <p>12 within thirty (30) days of receipt of the deposition</p> <p>13 transcript by you. If you fail to do so, the</p> <p>14 deposition transcript may be deemed to be accurate</p> <p>15 and may be used in court.</p> <p>16</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p>
<p style="text-align: right;">Page 664</p> <p>1</p> <p>2 CERTIFICATE</p> <p>3</p> <p>4</p> <p>5 I HEREBY CERTIFY that the witness was</p> <p>6 duly sworn by me and that the deposition is a true</p> <p>7 record of the testimony given by the witness.</p> <p>8</p> <p>9 It was requested before completion of</p> <p>10 the deposition that the witness, THOMAS A. BARBOLT,</p> <p>11 Ph.D., have the opportunity to read and sign the</p> <p>12 deposition transcript.</p> <p>13</p> <p>14</p> <p>15</p> <p>16</p> <p>17 _____</p> <p>18 MICHELLE L. GRAY, a Registered</p> <p>19 Professional Reporter, Certified</p> <p>20 Shorthand Reporter and Notary Public</p> <p>21 Dated: January 16, 2014</p> <p>22</p> <p>23 (The foregoing certification of this</p> <p>24 transcript does not apply to any reproduction of the</p> <p>25 same by any means, unless under the direct control</p> <p>and/or supervision of the certifying reporter.)</p>	<p style="text-align: right;">Page 666</p> <p>1 - - - - -</p> <p>2 E R R A T A</p> <p>3 - - - - -</p> <p>4 PAGE LINE CHANGE</p> <p>5 REASON _____</p> <p>6 _____</p> <p>7 REASON _____</p> <p>8 _____</p> <p>9 REASON _____</p> <p>10 _____</p> <p>11 REASON _____</p> <p>12 _____</p> <p>13 REASON _____</p> <p>14 _____</p> <p>15 REASON _____</p> <p>16 _____</p> <p>17 REASON _____</p> <p>18 _____</p> <p>19 REASON _____</p> <p>20 _____</p> <p>21 REASON _____</p> <p>22 _____</p> <p>23 REASON _____</p> <p>24 _____</p> <p>25 REASON _____</p>

Confidential - Subject to Protective Order

<p style="text-align: right;">Page 667</p> <p>1</p> <p>2 ACKNOWLEDGMENT OF DEPONENT</p> <p>3</p> <p>4 I, _____, do hereby</p> <p>5 certify that I have read the foregoing pages, 294 -</p> <p>6 668, and that the same is a correct transcription of</p> <p>7 the answers given by me to the questions therein</p> <p>8 propounded, except for the corrections or changes in</p> <p>9 form or substance, if any, noted in the attached</p> <p>10 Errata Sheet.</p> <p>11</p> <p>12</p> <p>13 _____</p> <p>14 THOMAS A. BARBOLT, Ph.D. DATE</p> <p>15</p> <p>16</p> <p>17 Subscribed and sworn</p> <p>18 to before me this</p> <p>19 _____ day of _____, 20____.</p> <p>20 My commission expires: _____</p> <p>21 _____</p> <p>22 Notary Public</p> <p>23</p> <p>24</p> <p>25</p>	
<p style="text-align: right;">Page 668</p> <p>1 LAWYER'S NOTES</p> <p>2 PAGE LINE</p> <p>3 _____</p> <p>4 _____</p> <p>5 _____</p> <p>6 _____</p> <p>7 _____</p> <p>8 _____</p> <p>9 _____</p> <p>10 _____</p> <p>11 _____</p> <p>12 _____</p> <p>13 _____</p> <p>14 _____</p> <p>15 _____</p> <p>16 _____</p> <p>17 _____</p> <p>18 _____</p> <p>19 _____</p> <p>20 _____</p> <p>21 _____</p> <p>22 _____</p> <p>23 _____</p> <p>24 _____</p> <p>25 _____</p>	

Confidential - Subject to Protective Order

Page 669

A				
Aachen 418:25 419:3,5,10	accelerated 421:1 421:10,15 423:6 423:22	Adding 555:7,17	administration 566:24	306:15 311:25 391:17 403:13
ability 502:22	accept 340:8 343:3	addition 490:1 546:11,12 576:15	admitted 469:10	457:16 461:17
able 357:14 360:10	acceptability 481:19	additional 348:20 387:4 404:3,15	advance 409:10 520:7	484:16 523:10 531:17 574:3 620:19
476:23 479:22	acceptable 475:9 576:22	405:4 421:4	advent 605:13	aid 416:19 478:20
544:25 562:23	access 461:4	424:20 425:3	adverse 374:6 488:14 493:8	aim 327:13
565:22 583:18	Accession 299:11	460:14 467:20	504:23 505:7,23	al 296:16 414:23
586:9 609:3 615:7	accompany 600:13	468:8 478:3,25	566:1 567:1 569:1	allow 610:7
615:11,18,23,24	accumulating 488:7	481:2 503:16	570:23 571:20	allowed 597:17
616:2,3,6,7,12,14	accurate 665:14	534:1 554:11,11	579:25 582:3,18	allows 610:14
616:21,23 617:1,3	acid 362:25 363:2,8	556:22 574:18	628:21	allude 519:14
643:22 648:14 649:7	ACKNOWLED... 667:2	583:16,19 597:13 656:11	adversely 384:20	alluding 392:6 518:5 522:22
above-captioned 294:15	acquired 542:15	additive 416:18,23 417:5,9 442:25	affairs 450:17 514:20 554:21	alongside 519:9
abrasions 397:23 414:23	acronym 623:15	444:2 479:4,6	afraid 641:16	alter 533:11
absence 355:20,21 383:5,8 460:12,13 621:17	act 661:15	481:22 489:22	agarose 471:21 472:7	alteration 411:18
absolutely 444:19 462:25 520:4	action 343:9,22 344:9 345:9,17	497:13 500:13	agent 473:21 474:9 475:15,25 483:23	altered 372:22 410:22 411:11
569:10 606:15	346:2 347:14	additives 314:5 417:8 443:7 459:8	agents 469:10	altogether 593:12
610:10 635:13	355:1 402:15	466:14,20 467:3	aging 421:1,10,12 421:15 423:6,22	amorphous 355:25 355:25 356:4,4,25 357:2
656:10	403:2 405:2	467:20,20 468:8	ago 311:24 326:15 391:9 398:9	amount 483:14 503:6 512:5
absorbable 368:5 376:1 564:10 657:9	432:25 447:23	468:23 470:8,9	489:24 656:16	529:11 530:3
absorbance 405:13	453:17 455:10	481:3,6,13 485:9	agree 307:13 312:15 334:3	analysis 357:5 367:10,12,17
absorbances 407:8 407:10,13	459:25 460:15	485:14 489:20	347:16 348:4	368:8,14 401:23
absorbed 343:8,21 344:8 345:8,16	511:13,13 604:18 607:18	493:17,20 494:3	349:9,15 362:20	580:9 598:20
346:1 347:13	actions 294:8 352:13 443:3	497:5,6 501:15	363:10 374:14	649:16,17 650:1 656:7 657:22
354:25 402:14	459:18	503:21 504:2	375:8 380:23	analyst's 626:7
403:1 405:1	actual 415:2 418:21 442:2	569:24 570:12,14 570:19	383:11 385:3,14	analytical 357:11 625:2,7 630:13 646:10
409:11 447:18,22	483:17 540:3 655:15	address 343:19 358:2 461:13	385:22 386:2	analyze 610:8
448:15 604:17 607:17	acute 596:9	493:23 494:21	393:16,25 396:17	analyzed 609:5
absorption 315:18 368:20 655:22	add 386:10 387:15 435:7	495:25 496:8	408:13,19 417:5	analyzing 357:22
656:19 657:8,10	added 393:17,21 394:10 395:6	506:25 524:3	418:18 426:20	Andrews 296:22
abstract 587:24	396:2 480:22	530:12 540:7	428:14 431:17	and-a-half 527:25 528:2 529:4,15 538:17
absurd 437:7,18	482:1 489:20,21	544:3 648:25	443:14 452:21	and/or 344:17 345:5 375:10 475:6 485:13
accelerate 567:12	554:10 555:22	addressed 485:14 486:5	456:10 458:20	
	556:22 568:1 600:10	adhered 536:18	462:13,25 492:6	
		adherent 533:10 534:1 535:16	505:11 540:16	
		adjacent 305:25 387:14 536:22	552:12,13,21,24 610:9 636:7 653:19	
		541:1 603:5	ahead 305:5 306:9	

Confidential - Subject to Protective Order

Page 670

602:20 664:24 anecdotal 418:9,16 418:20 Angeles 296:14 animal 297:15,20 305:19 307:14,21 320:23 343:7 344:7,20 347:18 359:11,11 364:16 365:18 366:25 369:9 383:15 390:6 420:9,19 426:5,6,24 427:9 428:4 459:14,18 459:25 462:17 477:19 522:12 542:23 545:5 571:15 574:22 597:11,11 598:3 601:8,17,23 602:24 612:9 615:8 641:3,7 animals 459:15 526:25 529:23 561:15 566:25 569:1 587:9,11 590:9 597:14 606:3 answer 303:5 319:1 319:6 326:14 327:10,11 329:11 331:5,6 342:9,10 356:20 360:10 364:25 365:2,5,14 365:24 366:11,13 367:8 376:19 395:16,21,25 402:20 430:1 431:19 438:25 441:3,3 474:16 492:17,19 494:7 518:24 519:3 521:20 522:2 531:12 543:21 546:2 551:8 609:13,14 630:23 630:25 634:6	636:5 638:23 640:22 643:3 648:16 662:1 answered 331:21 366:20 385:7,11 395:9 421:24 422:20 453:6 494:7 531:11 583:7 614:13 answering 319:4 366:15 451:15 answers 406:13,22 437:19,21 620:15 667:7 antioxidant 359:16 359:21 393:21 417:8 435:6,8 443:2 467:24 478:21 482:12,15 483:23 490:3,7 494:3 495:18 496:2,22 497:13 497:17,25 antioxidants 314:5 353:24 354:1 359:13 360:3,21 393:17,25 394:10 395:6 396:2 443:5 466:21,23 467:8 468:12 490:15,20 491:15 492:9,24 492:25 494:15 498:22 504:2 anybody 319:13 326:12 328:13 529:8,21 530:16 531:4 548:20 648:17 649:3 anybody's 312:14 anyway 377:21 apologize 547:14 592:22 apparently 378:14 378:22 456:5 480:23 487:6 647:3 652:1 appear 313:4	379:19 593:9 605:6 649:15 appearance 564:13 APPEARANCES 295:1 296:1 Appearing 295:11 appears 309:15 350:13 351:1 366:21 370:22 514:24 604:6,13 apples 501:18,18 501:19,19,20,20 applicable 354:13 432:16 application 479:17 560:19,23 604:1 applied 322:18 466:14 480:7,12 481:3,12 503:16 512:5 529:4,12 542:10 apply 578:17 664:22 appreciate 484:1 591:13 630:6 661:3 appreciated 544:21 appropriate 435:6 435:7 543:21 576:14,22 578:7 607:11 665:5 appropriately 423:10 approval 560:2,17 563:4,8 603:22 604:25 approve 560:23 562:25 approved 308:19 562:13,17 570:14 570:17 603:24 604:5,25 approximate 483:1 483:17 589:10 592:7 approximately 342:23 402:9	527:23 663:24 aqueous 482:21 503:7 area 357:12,21 390:8 432:19,24 441:17,19 442:5 443:18 506:24 517:21,22 542:3 545:13,18 546:3 546:18 565:25 613:4 areas 356:15,18 357:10 398:5 405:8 407:7 440:3 551:19 554:22 arena 508:22 529:21 531:1,5 540:6 580:19,21 argue 438:19 464:18 491:12,25 640:10,24 arguing 438:15 article 359:15 372:19 379:4 410:18 472:8 550:15 articles 351:11 535:1 551:23 artificial 571:11 587:13 asked 331:4,20 343:25 371:25 376:18 421:24 422:20 423:9 457:6,13 492:16 542:18 569:18 599:5,7 600:11 601:7 614:12,16 617:15 618:14 620:14,17 663:9 asking 337:21 361:12 403:3 416:2,5 418:8 439:5 463:25 474:24 506:8 554:16,24 607:5 661:16 663:12	asks 602:1 aspect 600:17 assay 472:5 assays 567:20 assembled 559:5 assess 318:3 320:4 325:7 355:8 assessed 342:3 588:15 assessment 308:6 333:10,24 463:18 473:5 505:2 575:2 575:21 577:20 578:10 579:8 580:13 592:12 assessments 374:9 449:8,9 464:9 528:10 578:20 600:24 associated 460:3 487:25 537:1 557:3 568:16 589:25 590:3 591:25 609:18 assume 309:5 502:16 643:2 assurance 322:16 322:20 462:22 assure 517:16 atmosphere 583:4 583:6 attached 344:19 345:6 386:15 484:24 485:3,18 486:4 511:8 513:5 513:15 581:20 602:22 624:2 665:8 667:9 Attachment 446:12 attack 545:4 attempt 351:10 483:16 521:14 528:23 attempted 592:10 attention 370:16 409:22 447:11 455:13 630:11
--	---	---	--	--

Confidential - Subject to Protective Order

Page 671

attorney 665:11	580:24	664:10 667:14	336:6 342:1 350:2	biocompatibility
attorneys 653:1,16	AYLSTOCK 295:3	Barbolt's 551:16	352:5 363:19	298:7 333:9,23
661:21	296:2	Bard 372:20	390:11 417:9	350:9,23 351:3,7
attribute 614:23	a.m 294:22 304:5	410:19 415:11	420:13 424:14	427:15 428:12
615:9,18,25 616:3		416:12 434:25	432:14 457:3	429:9,13,19 430:4
616:7,15,23 617:4	B	516:4 520:1	478:20 486:11	455:15 458:9
636:20	B 295:8 297:10	based 416:6 456:10	548:9,16 553:21	463:18 464:8
attributed 503:6	298:2 299:2 300:2	463:9 492:6,6	554:9 555:20	473:5 477:18,24
512:2	301:2 302:2	505:11 511:14	556:21 636:21	485:13 492:3
attribution 629:14	back 305:10 337:5	512:4 536:13	650:7 659:10	493:23 505:2
August 402:11	337:6 349:6 366:6	554:6 605:5	believed 387:2	508:21 524:6
403:5 462:6	381:3 391:5	609:17	547:9	549:14 550:12
626:25 627:10	396:25 409:18,22	baseline 624:3	believes 613:1	560:15 575:12,20
author 370:22	410:6 418:7 419:7	648:4	bell 515:1 532:15	577:20 578:6,9,10
371:2 397:3	433:10 437:17	basically 393:23	Belleville 296:9	578:18,20 579:7
401:17	444:25 446:16	486:14 623:21	bench-top 586:24	600:24 601:3,9,17
authored 484:12	495:6 499:6 508:2	basis 310:14	587:2,12	601:23
515:1 635:11	543:21 547:4	340:25 341:13,20	bending 550:7	biocompatible
638:16	548:1 557:15	342:16 344:18	benefits 516:8,8	363:22 364:10
authoritative	558:25 574:3,4	345:5 422:12	Bernardino 296:16	456:17 458:14
351:23	577:18 578:22,23	583:15 602:21	best 327:5,8 420:16	596:11
authors 350:13	594:11 609:20	603:12 610:5	444:19	biological 309:9
352:22,25 353:4	611:20 612:2	Basso 513:4	bet 661:13	310:1
353:15 358:21	613:24 618:7	batch 489:2	better 430:4 431:18	biomaterials 620:1
364:1 514:15	624:19,20,20,21	Bates 353:9 446:7	479:1 530:21	biomechanical
537:5,10,12	629:23 630:11	455:13 624:19	594:13 648:23	301:13 327:23
autoclave 316:17	645:4 653:10	BB 599:7	660:13	550:24,25 586:7
322:23	background	Beagle 623:11	Beyer-Nolen	586:25 587:2
autoclaved 503:13	486:19 541:3	beauty 368:17,18	296:11	biomedical 353:18
autoclaving 503:5	545:16	becoming 513:22	beyond 458:17	bipedal 522:6,17
503:15	backwards 630:10	began 354:3 392:17	464:18 541:21,22	bipeds 522:17
autooxidation	bad 487:24	409:22	551:19 560:15,25	bit 304:24 375:17
354:2 359:18	BAGGETT 296:3	beginning 370:19	662:19	444:6 472:1
available 301:14	bands 357:17	393:22 568:8,20	big 435:4 581:16	514:17 564:19
348:22 387:4	658:17,25	569:25 570:22	binder 297:14,19	592:21 617:19
463:3 464:10	Barbolt 294:14	581:4	302:6 306:8 311:2	648:23 651:20
489:8 503:9	297:4 304:8,11	beginnings 488:19	422:8 471:9	655:19 662:12
Avenue 294:21	307:6 366:4,9	begins 304:6 366:8	617:11	Bittman 296:19
average 323:6	388:16 404:23	433:12 446:11	binders 306:10	black 399:21
589:10 592:7	433:8,14,16	495:8 548:3 560:4	354:23 426:9	blistering 372:23
632:9	439:14 445:3	570:3 614:1	427:9 428:3	410:23 411:19
aware 336:12	454:23 495:4,10	behalf 295:11	431:24 471:2	bloom 481:13
339:13 354:17	495:12 547:7	364:15 365:9,22	558:10,14,17,18	497:6 500:14
389:6,19 416:5	548:5,7 551:19	367:13 375:8	559:6 653:14,21	blooming 481:5,6
421:18 422:14	557:21 607:15	438:22	653:22 654:2,3,9	501:15 503:20
434:12 448:20	613:22 614:3,5	behavior 327:8	654:10,16	504:1
464:21,23 542:25	618:23 663:21	believe 306:6 333:8	bio 550:11	blooms 504:5

Confidential - Subject to Protective Order

Page 672

blue 301:8 510:8 513:5,22 515:3,3 515:4,7,16 516:6 516:8 523:24 BOBBY 296:3 bodies 521:3,24 524:13,14 body 323:20 346:17 347:18 349:10 352:14 353:19 354:20 358:22 363:13,15 363:22 364:2 397:13 426:4,7,21 427:11 428:5,22 430:4 434:16 481:7 496:11 500:16 517:21 535:25 536:2,8 544:24 545:4,13 566:13 571:11 body's 535:23,23 545:14 BONNE 296:12 book 351:2,2 bottom 378:12 395:17 446:12 593:24 625:11 644:4 645:19,20 bought 487:6 Boulevard 296:13 boxes 558:14 BRAD 296:3 BRADFORD 296:3 break 337:3 349:2 349:5 366:5 379:19 391:4 409:14,17 433:9 437:14 444:24 495:5 508:1 547:3 547:25 557:10,14 586:14,16,17,18 613:17,23 621:11 629:18,22 656:20 breakdown 454:13 breaking 424:3	605:10 623:16,17 623:19,23 624:9 breaks 585:23 621:19 646:11 BRIDGES 296:12 brief 305:9 336:25 621:11 briefly 316:23 433:16 561:8,12 bring 445:22 446:1 547:15 558:15 broadened 405:13 broke 586:3 brought 426:9 427:9 428:3 434:8 453:11 471:2 517:14 519:16 524:5 530:13 564:21 579:13 Bruce 369:22 372:11 381:4,15 410:15 BSR 623:14,15 624:6 bulk 356:13 bundles 589:11 592:9 Burkley 413:7 414:2 484:12 486:6 490:19 492:23 626:25 649:5 Burns 508:14 509:21 burst 621:25 Business 513:14 <hr/> C <hr/> C 354:7 calcium 363:1 497:12 California 296:14 call 335:20 406:14 406:15 460:17 481:5 519:9 592:8 647:24 648:4 649:5	called 294:14 359:20 363:20 364:6 388:4 566:6 567:8 569:6 575:1 588:17,20 589:14 595:18 601:22 635:3 calling 590:2 calls 536:16 carbonyl 354:9,11 357:17 407:9,13 658:17,25 cardiovascular 454:17 621:22 care 385:13 389:11 598:3 carefully 665:4 Cary 461:20 case 296:16 330:22 330:25 387:22 440:18 468:5 472:4,6 485:15 488:22 504:13 511:22 526:13 537:19 544:22 546:5,10,11 564:11 567:10 571:22 614:11 620:4 643:22 648:19 657:11 cases 294:7 388:3 420:24 453:13 546:6,6 646:3 655:16 catch 577:23 categories 567:6 578:8 602:15 category 573:23,24 574:23 589:9,23 590:8 599:5 601:6 601:6 602:1,5,9 602:11,14,17,18 603:8 604:12,16 608:1 catgut 606:2 cause 385:25 389:7 452:24 453:20	478:12 479:23 480:23 481:3,13 502:23 503:20 504:1,13 505:8 506:1,19 551:1 565:25 629:14 caused 397:17 606:20 607:20,22 causes 612:5 CC 601:6,22 cc'd 381:9 cell 502:22,23 556:17 567:18 568:5 589:24 591:8,24 cells 459:1,2 472:4 472:16,17 473:19 474:8 475:1,5,10 475:14,24 476:7 545:3 567:24 568:1,2 657:17 cellular 379:23 386:1 Celsius 482:8 Center 378:8 centimeters 524:25 527:24 central 338:7,20 422:14 549:2 certain 333:4,7 346:23 347:5,6 350:18 351:14 362:11 458:10 525:16 587:14 643:19,21 646:9 646:13 certainly 379:12 406:23,24 414:1 425:2 459:10 504:22 505:7 562:1 CERTIFICATE 664:2 certification 664:21 Certified 294:18 664:17	certify 664:5 667:5 certifying 664:24 chain 371:17 372:5 619:25 620:1 chains 424:4 454:14 619:14,15 620:2 632:9,10,23 633:9 challenged 415:6 challenging 372:12 372:17 381:16 410:16 chance 353:1 404:19 406:4 422:22 444:7,8,9 change 304:23 408:17 426:1 433:3 452:8 478:14,15,16 488:14 493:6,9 494:24 495:13 554:17 555:3,8 596:20 606:19 612:14 620:5,24 621:1 624:10 633:1 666:3 changed 323:19 362:10 578:13 changes 347:24 348:10 373:1,8,22 374:9 383:2 384:14,15 386:8 387:1 391:10 392:23 412:6,11 412:21 413:1,19 414:1,5 418:10 421:2,16 423:5,23 436:5,6 449:11,12 452:6 487:1 488:24 565:6,19 620:9 625:19 628:19 630:22 667:8 chapter 351:2 characteristic 466:15 643:16 characteristics
---	--	---	--	---

Confidential - Subject to Protective Order

Page 673

356:24 420:17 437:5 452:9 565:5 586:7 642:19 characterization 476:16,18 477:1,8 477:15,21 characterize 319:12 356:22 characterized 501:3 charge 514:19 Charleston 294:3 295:9 Charlotte 514:14 514:16 chart 568:7 check 323:13 475:2 checking 418:7 chemical 491:21 chemically 364:6 chemicals 482:2 chemist 356:23 494:9,10 632:25 chemistry 357:12 358:1 488:24 625:2,7 630:13 chlorine 362:24 363:2,7 chose 339:3 390:5 390:16 454:5 455:3 548:8,16 chromates 362:25 chromatography 622:6 chronic 310:6,10 316:7,12 323:20 501:3 506:1,12 554:9 555:18,21 556:2,10,16,23,24 557:4 596:12,22 596:24 chronological 560:7 Chu 350:14,20 359:15 circumstance 576:9	cite 604:11 City 486:23 CIVDS1307951 296:16 claim 305:17,19 307:14 310:14 339:5 340:5 341:21 342:16,22 343:7 344:7 345:15,20 346:5 352:12 402:13,25 403:8 404:24 435:21 claims 364:1 422:9 claim/statement 344:18 345:6 602:22 clarification 340:13 489:18 clarify 318:14 335:9 class 352:17,17 353:15 clean 636:5,5 clear 434:9 510:10 515:8,10 516:5 clearance 563:4 576:6 clearly 315:3 324:8 324:19 330:9 356:23 367:22 371:20 496:2 534:14 549:3 595:6 648:24 clinic 587:6 clinical 420:8 451:17 456:15 458:12 461:13 462:19 463:3,9,15 463:16 464:10,24 488:8,12 506:24 507:9 516:10 522:14,21 525:7 526:18 528:17,21 529:7,8,21 530:11 531:1,5,14 540:6 540:6 542:16	580:9,18,21 599:8 clinically 500:22 587:16 clinician 437:11,16 440:8 441:7,13 628:23 clinicians 439:17 440:17 450:18 460:16 close 536:4 662:8 coach 661:16 coaching 661:3,5,8 coated 467:19 468:7 coating 593:25 cobalt 313:20 cold 304:22 collagen 606:3 collected 419:6 530:11 531:13 colorant 498:15 colored 561:15 colorless 561:9 655:10 column 378:20 590:7 591:24 combination 569:15 COMBS 295:7,8 come 351:6 374:16 385:23 473:20 474:8 475:14,24 483:1,17 515:5 519:14 543:21 549:5,13 559:23 610:7 633:4 640:13 650:3 653:9 663:6 comes 452:25 454:1 458:1 coming 375:9 386:7 403:21 452:22 540:5 553:1 578:9 651:25 commencing 294:22	commenting 517:9 comments 467:16 625:20 Commercially 301:14 commission 667:19 committee 598:4 common 609:6 611:15 commonly 472:5 610:19 community 320:15 companies 487:3 company 362:16 362:18 439:15 458:6 486:15,21 487:1 575:18 576:6,10 comparable 427:5 428:18 501:5 573:2 598:17 comparative 298:12 377:7 compare 318:5 334:21 339:21 502:10 522:22 587:25 610:17 623:18 compared 334:25 335:25 336:3 338:16 339:9 357:2 426:4,8,15 426:22 427:11,17 428:5 469:23 470:2 522:12 550:25 576:21 622:8 625:13 626:13,17 628:1 631:16 632:12 comparing 301:20 324:18 501:18 626:8 627:2,6 comparison 323:23 338:22 429:10 523:7 544:14 574:21 622:13 623:25 625:20,22	626:16 627:13 628:6 648:2 comparisons 622:14 compatible 364:7 364:11 competition 510:6 516:3 523:22 competitors 520:1 competitor's 511:9 compilation 329:18 343:18 367:5 463:10 514:9 578:4 compile 548:20,23 compiled 338:13 387:25 422:13 653:16 661:20 complaints 511:5 511:12 512:1 complete 360:10 395:24 406:2 446:13 completely 413:19 521:21 completion 664:9 compliance 322:11 550:6 574:13 complication 516:11 component 327:24 413:13 456:4,6 473:11 481:21 564:8,16 578:5 579:9 600:9 components 327:21 467:24 479:3 594:1 600:18 606:12 composed 324:13 composite 321:10 321:12 324:13 434:11 575:4 composition 491:21 Composix 372:20 410:20 415:11
---	--	--	--	---

Confidential - Subject to Protective Order

Page 674

compounding 498:1,24	529:14 530:7 531:7,8	confident 444:18 552:13	474:9 475:14,25 476:7 578:7	Cont'd 297:10 298:2 299:2 300:2 301:2 302:2
comprise 603:17	conditions 346:23	CONFIDENTIAL	contain 347:11	converse 633:18
comprised 620:2	421:10 479:3	294:9	359:12 431:24	coordination 602:12
concentrated 363:1	482:9 483:18	confirm 324:4	559:17	copied 370:14
concentration 482:2	522:8,21 528:9	325:23 331:1	contained 314:6	371:8,10,24
concentrations 481:25	529:22,23 530:18	confirmed 480:1	321:19 322:4	410:10 418:4
concepts 415:6	537:18 587:14	500:10 593:2	333:5 336:18	653:20
concern 565:25	conduct 318:21	connection 561:6	338:2,22 342:20	copies 302:15
606:20 607:20,22	320:11 334:17,18	598:20	344:18 362:5	654:4
concerned 454:13	334:20 365:16	connective 535:19	408:21 442:21	copolymer 430:19
481:1 523:21	366:23 369:9	consequence 436:7	470:4 478:10	copy 377:17,18
concerning 474:7	564:6 571:6	566:21	501:14 502:4,9	378:13 391:24
511:5 601:16	586:21 598:13	consequences 582:3,19 621:21	570:19 597:20	400:8 466:1
602:2 618:15	conducted 308:23	consider 518:4	654:14	476:23 477:12
conclude 327:23	318:9,15 319:21	529:1	containing 432:15	484:13 485:3
355:22 396:23	322:13,15,19	considered 310:20	contains 306:24	523:18 531:21
449:14 451:16	328:20 330:20	321:17 325:1,13	360:11 467:20	547:16 585:4,9
480:6 483:3	336:9 337:10	329:1 341:4	595:1	635:21,22
564:14	341:5 347:17	351:12 429:2	contentious 327:3	corner 585:14
concluded 663:20	348:5 358:12,14	430:2 473:12	context 341:4	590:6
663:23	367:4,23 374:15	501:10 540:14	369:4 479:13	corporate 422:13
concludes 366:3	377:2 402:8,24	560:21 561:23	509:6 510:4	464:4 476:15,17
433:7 495:2	422:11 426:25	575:8 587:4	517:16 538:22	476:18,25 477:15
613:20	427:5 429:9,17,21	610:12	542:5 568:18	491:20
conclusion 312:22	431:25 463:11	considering 342:3	594:19 651:21	correct 307:21
398:8 399:4	471:6,20 472:5	consisted 323:17	continue 432:3	308:10,12,14,16
401:11,13 405:9	473:7 476:5 478:4	consistent 401:3	continued 294:13	308:20 309:6,23
407:8 408:4 423:8	478:25 479:19	521:15 556:7	304:15 487:15	309:24 310:3,8,15
430:8 434:14	481:24 490:19	564:4 565:2,19	488:22	310:22 311:4,7,11
627:9,13 628:3,24	494:12,20 500:24	591:4,19 595:13	continuous 489:2	312:7,9,17 313:17
629:4 633:12,16	519:25 520:5	598:21,24 604:12	contract 329:7	314:1,6,17 316:1
633:17 634:1,24	522:13 524:9,11	626:23 658:18	contraction 328:24	316:13 318:22
635:16 636:11	534:15 542:12	659:1	330:10,12 335:16	320:14 321:20
637:22 638:6,14	564:6 565:18	consisting 575:5	contribute 422:15	322:7,9 323:2,8
638:19 639:5	567:3 571:10	construct 414:24	contributing 479:7	324:9 325:4,15,16
641:25 647:16,17	572:8 573:18	construction 335:25 336:3	479:18 483:4	325:18 329:2,5
651:24	574:13,16 575:13	512:4	contribution 356:9	331:16 333:19,21
conclusions 395:18	575:21 578:11,18	consult 474:16	504:14 545:1	333:22 334:11
451:24 610:17	582:11 593:6	consultant 419:19	control 334:22	339:11,15,23
635:15 636:8	595:7,24 597:11	consultants 419:11	510:7 534:14	340:7,9,17,19,22
637:15,17 651:5	597:15 598:8,16	consummating 489:8	627:4 628:1	341:23 342:18,25
condition 520:14	600:16 609:21	contact 453:1	646:16 648:2	343:10,11 344:11
521:1,11,22	618:16 624:1	472:3,15 473:20	664:23	345:18,22 346:17
522:11,12,12	625:8 636:9,12		controlled 420:18	351:18,21 352:4
	655:12,17 656:12		controls 467:4,9	
	conducts 586:20		468:3	

Confidential - Subject to Protective Order

Page 675

356:13 357:5,19 359:13,14 360:5 362:17 363:2,8 364:4 367:24 372:15 373:21 380:14,21 382:9 390:22 391:11 392:11 394:12 395:8 396:5,19 397:24 398:1,24 399:13 402:2,4,10 402:16 403:2,9,23 405:2,6 407:3 408:16,21 409:5 409:12 410:13 411:3,22 412:14 412:22 414:2,8 415:16 416:12 417:8 418:1,13,22 421:6 422:18 425:4,12,21 428:6 428:25 429:5 430:4,20 432:5 433:25 436:10 437:24 441:11 442:22 443:8 445:8 447:7,25 448:6,12,22 449:3 453:1 454:7 455:6 455:15 456:2,12 459:9 460:4 461:8 462:3,8 466:21,25 468:4,16 469:6,15 469:25 470:5,9 473:2 475:15,25 476:9,12,13 477:25 478:12 480:2,13 481:14 481:15 483:8 488:15 490:18 492:25 494:19,23 497:7,10,20 498:2 498:25 499:10,20 500:7,11,16 501:9 501:16 502:11,25 503:19,22 504:3,4 504:7,21 505:21	507:21,22 509:11 512:18 516:24 519:1 520:15 523:24 524:15 528:4 532:20 534:20,23 537:6 537:15 538:6 544:18 545:5 547:11 548:18,19 549:7 551:13,14 552:19 556:11 558:5,6 559:10,16 559:19 560:6,9 561:6,7 563:15,22 567:22 568:11,12 568:17 569:18,23 570:2,5,11 571:25 572:1,16,22,23 574:8,9 577:5,6 577:12,13 579:1 579:14 580:23 587:20 588:3,7,11 588:12 589:15 590:12,13 591:6,7 591:21 593:4,7 595:8,9,20 596:2 596:23 599:16,20 599:23 600:1,6,8 600:14 601:10,13 602:10,16 603:22 603:23 604:2,9,23 605:1,3,8 606:14 608:10,12 611:2,3 611:8,14 627:4 632:5,13,20 633:6 634:13 639:4 644:22 645:13 646:16,19 649:4,9 653:3,23 654:1,22 655:6 656:24 657:4,24 658:13 658:18,22 659:20 659:22 660:18 662:1 667:6 corrected 343:18 393:3 corrections 665:4,6	667:8 corrective 511:12 511:13 correctly 350:17 417:20 486:15 487:12 514:1 638:10 660:20,21 correspondence 386:23 corresponding 539:7 624:8 corrosive 364:10 Costello 415:15,20 415:25 416:3,7 433:17 434:6 counsel 306:22 307:4 337:11 376:15,17 423:3 465:24 466:2 499:19 514:11 558:2 569:4 585:11 592:23 595:2 596:21 599:1 617:15 618:8 651:12 Counsel's 548:24 count 340:8 343:3 counter 429:22 County 296:16 couple 326:14 415:5 469:19 577:7 630:8 course 317:18 379:23 380:10 428:15 438:2 576:17 court 294:1,16,18 296:16 337:4 444:18 607:1 665:15 cover 404:18 486:19 covered 361:20 457:11,14 579:23 covers 579:14 Coyote 324:18 crack 374:1 382:21	383:13 384:3,10 385:4,17 388:19 389:7 390:8 400:23 402:7 618:24 cracked 394:12 395:8 396:4,18 398:4 400:9 405:8 405:11 406:5 630:20 659:19 cracking 347:19 368:20 383:19 384:1 386:9 387:20 389:20 393:5,10,14,15 395:20 396:8 397:6,9,11,23 399:8,11,12,17,21 400:7,23 402:1 405:22 407:23 411:7,14,22 418:24 435:13,17 449:22 517:23 518:6 519:15 628:9 629:14 638:9 639:9 655:21 656:18 cracks 373:19 392:9 396:12 397:17 407:21 618:20 619:7 629:12 638:8 639:7 656:24 CRC 351:11 create 363:14 created 336:17 452:5 548:25 549:1 564:23 605:23 creates 583:19 creating 444:17 criteria 481:19 critical 298:6 350:8 351:7,9 352:23 621:18 cross-examination 404:21 557:9	cross-examine 464:14 552:2 CROSS-NOTIC... 294:8 cross-section 593:23 crucial 515:22 crumble 513:24 crumbling 513:18 513:25 crystalline 356:9 356:13,25 357:1 culture 567:18,24 current 318:6 331:25 332:4 362:10 415:6 468:12 490:20 492:10 556:6 578:12,17 622:8 625:23 626:13,18 627:4,14 628:6 631:17,19 632:12 633:5 644:20 645:20 646:14 currently 336:18 381:21 404:14 curves 539:12 customer 511:10 customers 515:3 516:5 cut 301:20,21 455:18 456:25 457:3 510:15 515:20 524:22 541:10,11,16,25 592:1,2 cutting 386:14 581:19 592:4 cytotoxic 316:24 317:9 318:10 319:24 320:8 456:2,7,8 459:8 470:11,12 473:12 473:19 474:9 478:11 479:1,7,9 480:11 483:11 499:13 500:7,11
---	---	---	---	---

Confidential - Subject to Protective Order

Page 676

500:25 502:5,9 504:19 505:4 571:15 572:21 573:2,7,20,20 575:10,17 577:10 608:8 cytotoxicity 318:15 318:17,18,22 320:10 447:10 455:23 456:12,18 457:12,20 458:1 458:15,21 459:1 460:3 461:8,14 462:7,20 463:10 464:12,17 470:17 470:23 471:20 472:4,23 473:1,6 474:2 475:7,11,13 475:23 478:5,23 479:11,18,24 480:2,24 481:16 481:18,24 482:9 482:17,23 483:2,5 483:19 493:25 499:8 500:4,22 502:19 503:1,4,11 504:7,11,14 505:13,19 567:20 568:10 571:13,19 571:20,24 572:6 575:2,13,16 576:12 577:16 608:6,13 C.C 350:14 359:14	492:23 508:13 509:20 510:4 514:24 523:3 538:22 584:17 626:25 635:19 638:4 643:5 647:1 649:5 DANIEL 295:3 Danzig 294:20 data 299:7 302:10 332:13 344:17 345:4 348:13,16 358:19 361:17 367:6 375:18 388:3 391:22 392:5 397:2 399:10,11,12,22 401:12 403:14,18 403:22,25 404:3,5 404:6,10,10,15 407:19 408:24 412:22 413:8 418:19,19 424:15 424:20 425:4 431:18 432:14 435:10,11 442:2 453:10 461:13 462:15,17,19,22 463:3,9,16,23 464:10 481:21 488:12 491:14 492:24 502:18 516:10 517:14 530:11,13 539:7 540:5 542:15 544:3 554:7 559:4 574:21,22 579:3 581:24 597:20 602:2,20 609:2,4 610:12,16,24 611:5,20 612:10 612:13,15,24 618:11 622:3,8,8 625:21 626:22,22 631:6 635:12,15 644:14,17,18 651:22	database 488:8 542:17 564:2 databases 598:7 date 331:11 402:11 403:4,5 551:16 553:2,6,6,7,11 650:15 665:8 667:14 dated 321:25 323:25 462:3 484:12 486:5 508:8,14 623:6 626:1,6,25 627:16 664:18 Dave 327:1 377:22 383:7 404:17,19 406:3,11 439:3,7 439:11 531:21 601:20 661:2,8 662:5 David 295:8 511:24 512:14 513:4 577:23 585:7 620:22 day 304:22 310:8 327:3 376:14,21 388:7 432:3 510:6 547:10 556:6 667:18 days 318:5 320:6 324:2,6 342:3 354:4,5,12 426:18 447:16 466:16 510:15 526:14 528:3 561:22 576:19 592:13 661:8 665:12 DD 602:1 deal 550:1 560:14 602:18 deals 575:1 602:18 Dear 511:7 513:14 515:2 death 458:25 459:2 502:23 decide 552:10 decided 318:20	deciding 460:22 decision 462:6 511:12,13 decrease 389:13 624:8 647:12 deemed 665:14 deep 535:23 defects 504:20 505:5 Defendants 295:11 defer 440:8,16 441:7,13 deferred 464:9 deficiency 593:13 define 411:18 definitely 338:24 368:3 definition 320:15 definitive 555:20 571:8 594:7 619:6 658:24 deformation 539:12 degradation 343:9 343:21 344:9 345:9,17 346:1,22 347:13 348:5 350:23,25 351:2,3 353:17 354:3,6,10 354:25 355:3,9,13 355:23 357:4,22 359:10,22 364:17 365:18 366:25 367:6,24 368:3,13 368:25 369:10 374:2,7,11,18 375:6 378:9 379:15 381:20 382:7 388:17 389:19 398:21 399:7 401:16,18 401:24,25 402:15 403:1 405:1 407:20 408:3,4,11 408:12,15,20 409:4,5,12 412:14 413:8 414:8,13,19	415:15 416:8 417:13 418:22,24 419:21 420:8 421:6,16,22 424:21 425:12,21 433:21 434:19 435:7,23 436:21 438:9 439:16 440:10,20,24 441:8 442:6,6,7 447:19,22 448:16 448:22 449:15 450:3,10,24 451:6 451:21,25 452:13 454:7 455:5 491:9 491:10,16,23 492:8 494:13 507:16 516:24 517:7,24 543:1,9 543:24 544:4 552:15 553:24 555:8 559:10,13 559:14,21 560:4 561:5 563:25 564:8,15,21,22 565:11,14,23 566:2 604:18 605:24 606:13,18 607:18,21 616:15 616:17,23 617:1 619:14,15 621:6 621:17 622:23 624:17 625:24 626:20 627:10,15 628:7 633:13,17 633:18,19 634:12 634:23,25 635:2,3 637:17 638:6 639:6,17,18 640:3 642:1 647:6,7,12 647:18,23 648:5 649:16 650:1 652:17 655:6,15 655:21 656:8,9,19 657:2,3,15,20,24 658:6,13 659:25 659:25 660:2,16
---	--	--	---	---

Confidential - Subject to Protective Order

Page 677

660:18 661:19,22 662:3 degradations 452:4 452:5 degrade 345:22 346:6,16 347:5 349:19 352:13 354:19 376:1 377:1 422:10 606:4,6 degraded 354:1 355:17 359:16 651:6,13 degree 424:2 442:9 443:15 degrees 482:8 delay 462:6 delayed 459:9,10 459:16 505:4 573:10 614:17,22 616:14 demo 513:16 demonstrate 382:15 387:25 428:17 493:7 demonstrated 556:6 demonstrates 488:9 629:6 demonstrating 560:15 department 625:3 625:7 630:13 depend 525:8 depending 357:13 416:18 586:7 depends 329:16 504:24 DEPONENT 667:2 deposing 665:11 deposition 294:13 294:16 301:23 303:2 304:7 305:23 306:20 361:21,23 363:12 366:4,9 403:21 409:23 423:8	433:8,13 434:3 439:10 457:7,15 457:16,19,23 495:3,9 508:24 512:14 521:17 523:14 525:24 532:14,23 548:4 550:19 551:17 552:7 557:25 558:2,15 603:2 613:21 614:2 617:10 653:17 663:21,23 664:6 664:10,12 665:3,9 665:12,14 DEPO.ETH.ME... 302:18 deps@golkow.com 294:25 depth 357:21 described 316:11 338:5 558:1 570:1 596:21,21 598:18 598:24 615:7,13 describing 454:1 description 297:13 298:5 299:5 300:5 301:5 302:5 609:17 621:12 descriptions 411:7 desiccation 534:10 design 445:6 507:14 559:7 566:8 580:6 601:10,24 designate 603:8 designated 305:1 343:5 344:5 345:13 359:24 431:8,9 445:4 455:9 457:10,24 458:6 491:9,20,23 491:23 493:16 507:12,18 541:22 543:7 547:8 548:10,17 572:12 599:6 604:14	designation 307:7 343:16 344:13,14 344:24 345:2,11 464:6,8 491:6 551:20 560:4 562:18 584:1 designations 330:2 330:8 555:2 designed 351:21 540:9 587:13 designee 601:8 despite 394:10 395:5 396:2 461:6 destroyed 614:9 detail 330:23 357:13 383:21 384:16 390:23 415:25 473:23,25 474:2 556:2 564:19 605:16 610:3 614:21 615:7 628:12 649:14 651:2 details 383:1 385:13 394:16 427:15 583:14 determination 355:16 583:23 648:22 determine 317:8 320:7 321:15 334:22 354:18 355:13 368:19 466:15 479:6 521:16 527:4 528:7,22 529:11 529:22 533:13,20 534:19 542:7 571:19 572:20 573:18 575:10 585:21 590:21 608:7 609:4 623:22 643:12 655:14,18 656:8 656:16,23 determined 338:11 349:24 441:24	562:7 576:13 583:14 623:20 639:17 determining 585:22 developed 351:21 development 393:22 445:6 507:15 520:7 554:22 559:7 560:20 566:9 575:11 580:7 582:9 595:1 602:11 device 316:4 318:4 318:9,22 319:24 320:8 389:4 432:20 439:20 447:6 456:7 462:6 462:20 470:7,9 472:14 473:6,12 479:10,21,25 481:17 500:25 503:25 511:9 520:14 525:11 542:11 563:22 566:22,24 567:9 571:24 572:15 573:19 592:3 595:4 599:23,25 600:3,5,7 608:6 devices 441:16 443:8 472:5 487:16 501:14 502:20 507:4 511:18 524:12 556:8 599:15 600:10,13,17,19 600:25 601:4 die 473:20 474:8 475:14,25 died 476:8 Dieter 417:19,21 417:22 differed 407:7 difference 339:21 435:4 442:9	443:15 470:10 502:10 580:8 581:16 587:1 622:16 differences 356:24 501:13 502:16 different 309:19,22 326:22 331:14,15 346:13 347:11 356:15 381:21 382:7 387:18 393:10 412:1 416:17 420:25 423:21 427:19 472:1,10,11,12,17 472:19 479:2 489:10,16 501:17 515:17 518:7 521:13 539:14 542:18 545:7 553:22 554:10 559:18,20,20 566:16 567:6 571:3 578:15 579:23 581:14,22 586:6 587:6 592:4 601:12 611:25 632:17 642:19 643:20 645:1 654:3,10 differentiate 544:25 differentiated 322:10 difficult 610:2 diminishes 375:21 diminution 388:2 389:15 519:18 565:3 596:18,19 direct 404:21 459:13 571:23 664:23 directed 370:15 direction 303:5 320:3 332:13 directional 480:20 571:7,17 593:20
---	--	---	---	--

Confidential - Subject to Protective Order

Page 678

directly 368:22 432:16	401:18 420:24 494:9 499:9	409:21 417:23 437:23 467:15	307:23 329:18 334:4 338:14	576:13 609:24 633:24 665:7
director 370:12 476:15,17 477:17 514:18,19 608:16	508:15 525:23 550:1 575:1	508:5 517:3 543:25 545:20	339:3 354:22 376:24 421:19	Dormier 315:20 426:15
disagree 362:14 380:5 635:7	discussion 305:17 306:16,25 338:9	547:18 548:15 630:6 633:2,20	422:12 484:25 502:2 519:16	doubt 312:22,22
discarded 614:10	338:12 343:19	634:6 635:10	524:5 543:12	Dr 296:11 300:9
discernable 541:3	358:25 383:20	638:3,11 640:8,15	550:10,10,17	304:7 307:6
disclose 454:6 455:4 467:7	386:20 397:1	656:6 662:22	552:3 559:18,20	350:20 366:4,9
disclosed 505:21 554:13	407:11 409:25	doctors 419:1 454:5 455:3	566:16,18 567:7	369:18,19 370:7,8
disclosure 348:21 460:1 566:16	438:4 441:10	509:22 515:19	579:12,13,19,22	370:11 371:9
580:5 594:24 605:7	443:23 444:13	document 294:7 307:8,10 309:10	579:23 581:3,5	372:3 377:8 378:7
discuss 305:2 330:4 348:25 359:24	446:9 447:9	309:25 313:3,13	601:13,16 602:5,7	378:21 379:15
375:24 409:23	455:23 472:25	321:16 328:22	604:11 607:16	380:1,5 381:4,14
445:4 457:10,19	485:20 512:22	350:1,5,14,22	614:9,10 617:21	388:16 404:23
458:7 469:3	547:21 593:5	352:1,3,10 353:2	629:10	410:10 413:16
476:14 478:24,25	629:12 654:13,18	375:24 378:4	dog 302:7 341:6	414:21 417:19,22
491:24 502:6	discussions 439:19 529:21 530:1	391:19 392:4	347:24 348:3,11	418:3,25 419:19
507:13 530:16	dish 567:21	403:15 455:19	348:24 373:15	420:25 421:5
531:2,4,15 542:17	dishes 567:25	460:9,11 462:12	383:1,19 384:7,14	425:3 428:21
548:10,18 551:13	disrupt 502:22	466:6,11 472:22	385:12 386:6	431:10 433:8,13
discussed 307:4 316:17,23 373:13	dissolution 534:10	476:25 479:14	391:22 392:5	433:16,17,17
384:16 386:18	dissolve 534:3	484:11,20,24	403:23 411:2	445:3 454:23
393:24 398:8	dissolved 483:13	485:22 486:1	417:7 418:20	464:23 495:3,9,12
412:10 433:20	dissolving 534:10	487:5 490:17	435:10 490:6	511:6,9,17 513:5
435:5 443:19	distinct 592:13,16	492:5,6,14,22	554:8 561:11,21	513:13 547:7
447:16 453:25	distinction 518:3	495:17,20 496:21	562:1 564:19	548:4,7 550:11,16
454:3 499:12	distribution 477:3	497:18 498:21	581:24 617:12,14	551:16,19 557:21
532:23 533:15	DISTRICT 294:1,2	499:4,7 508:10	626:9,12 627:3,12	607:15 613:21
556:2,23 568:4,6	divided 327:21	509:8 510:25	627:23 628:4,5	614:2,5 618:23
571:22 574:7,15	Divilio 370:7,8,10 370:11,11 371:3,9	512:24 513:3,9	629:11 630:12	draft 462:18
576:18 579:2	372:3 381:4,14	514:5,24 531:19	631:16 632:13	472:22 476:11,21
581:23 582:8	410:10 413:16	541:5,9 548:12	645:1 647:9	drafted 455:17
585:5,11 603:9	414:21	557:25 558:7	656:14,25 658:8	463:2 499:9
616:13 644:19	Division 294:3 295:12	577:17,19 593:17	658:12	drag 498:9
661:24	DLTDP 482:12 497:6,13 498:22	611:12 617:6,21	dogs 372:25 373:7	drawn 527:18
discusses 307:19 378:21 462:5	DLTLP 569:20	618:3,15 623:6	373:23 383:3	dried 397:14
509:9,21	doctor 304:18,24 305:13 307:13	626:6 649:23	398:4 399:6	drop 586:18
discussing 393:8	318:25,25 319:19	652:5,6,9	401:15 412:5	drug 560:19,22
	319:19 330:5	documentation 485:13	413:18 622:7,12	604:1
	349:9 376:23	documented 342:5 556:18	623:11,24 640:5	drugs 560:21
	391:8 403:20	documents 303:8 306:19,24 307:6	doing 304:20 312:21 327:12	dry 397:9
			335:8,23 361:8	drying 397:8
			368:14 444:19	dthomas@tcspllc... 295:10
			457:5 519:23	dthornburgh@a... 295:5
			529:15 562:3	DTP 655:11

Confidential - Subject to Protective Order

Page 679

due 349:10 353:19 364:2 372:24 399:7 401:16 410:24 411:20 414:23,24 468:14 565:13 566:1 570:23 615:12 Duke 378:7 duly 664:6 duplicate 487:9 654:4 duplicated 654:15 654:19 duplicates 653:21 654:7 duplication 654:11 dural 315:10,17 duration 562:2 596:16 dyed 315:2,11 dyslexic 647:3	edge 379:20 516:4 655:22,22 656:19 657:13 edges 378:18 515:21 educate 510:7 education 613:3 EDX 367:10 655:11 657:23 EE 602:11 effect 316:24 376:2 464:11,11 493:8 570:9 573:7 effects 313:21 314:1 355:10 368:21 374:6 414:22 566:1 570:23 605:20 efficacy 315:18 594:15 eight 402:9 538:17 583:8 either 334:7 342:24 383:14 446:18 449:13 472:15 480:6 498:23 520:5 569:14 577:11 598:6 611:5 651:24,24 652:10 ejected 575:24 electron 298:20 397:11 398:3 410:20 618:16 628:10,16 638:15 657:22,23 electronic 397:22 element 483:4 535:20 elements 368:19 373:12,12,13 596:14 elicit 341:1,22 342:17 467:3 469:14 518:16 elicited 318:6 339:10 467:10	elicits 305:20 307:16 320:24 334:6 340:6 342:22 344:21 603:1 Elisabeth 296:11 Elke 638:16 elongation 541:15 542:6,9,23 Elution 456:8,11 470:20,21 472:1,2 473:16 481:24 EM 372:20 410:20 embarrassing 513:18 Emilie 513:14 employed 499:10 employee 311:18 312:6 408:2 451:25 637:11 employees 637:15 638:20 encapsulates 596:13 encapsulation 328:10 592:13,17 592:20 593:1,10 ended 310:2 endpoint 317:7 325:17,21 326:18 327:1 355:3 364:17 365:17 366:24 367:22 368:4 369:10 374:6 532:19 569:6 619:6 643:20 endpoints 317:15 325:20 348:18 355:15 358:2 449:5 459:15 494:21 532:19 588:8 619:17,18 619:18,20 ends 585:22 energy 663:3 Engel 417:21,22,22	418:3,25 420:25 421:5 425:3 428:21 431:10 engineer 485:7 494:10 496:8 engineering 485:19 486:7 enhance 498:16 ensure 574:22 entire 341:19 379:18 479:14 544:22 545:2 549:1 607:9 entirety 337:12 entitled 352:19 472:22 558:11 entries 574:23 595:2 environment 520:13 529:7,9,10 530:12 531:14 540:7 542:16 571:11 587:13,18 environments 347:6 enzymes 343:10,22 344:10 345:10,18 346:2,17 347:14 352:13 355:1 377:2 389:6 402:16 403:2 405:2 447:23 604:19 605:13,21 605:24 606:6 607:19 EO 314:1 epaxial 524:25 epitaxial 527:19 epoxy-tipped 309:8 equal 452:5 equals 354:8 equate 416:20 equipment 585:21 ERF 299:11 302:12 348:14 ERF-85-219 299:8 622:4	erosion 655:22 656:19 657:14 erosions 506:19 errata 665:6,8,11 667:10 especially 406:21 516:3 ESQUIRE 295:3,8 295:8 296:3,3,8 296:13 essentially 483:23 560:16 578:4 establish 491:19 502:2 established 448:19 456:22 478:9 502:2,3 595:5 estimates 485:15 et 296:16 414:23 Ethicon 294:5 295:11,12,12 307:5 311:18 312:6 319:20 322:14 329:21 334:18,20 345:20 347:16 348:5 352:4 354:18 358:12 364:15 365:10,22 367:13 370:12 373:6 375:8,18 376:25 378:9,23 379:3,5 379:12 381:21 382:8,13,19 383:11,12 384:23 385:4,15 388:17 390:5,11,16 392:22 393:17 397:16 408:2,14 409:3 412:21 418:1 419:11,19 421:5 422:9,13 424:18 425:19 426:25 427:4 435:11 436:18 438:23 439:15 442:15,19,22
E				
E 297:2,10 298:2 299:2 300:2 301:2 302:2 666:1 earlier 373:14 393:24 409:23 430:18 434:24 435:5 441:15 442:23 443:6,19 533:16 568:4,6 574:15 581:23 582:8 603:9 612:6 617:16 618:6,15 early 560:2 579:3 582:8 592:11 earth 583:1,1,2,3,5 easier 430:25 617:24 easily 522:16 East 295:4 296:4 easy 402:21 Eberhard 300:9,14 300:18 511:6,10 511:17 513:13 Eberhard's 513:5				

Confidential - Subject to Protective Order

Page 680

445:4 447:6	503:14	ETH.MESH.098...	309:9 310:1	exact 343:25
448:10,14,20	ETH.MESH 352:2	302:13	323:22 473:10	441:23
449:21 451:25	352:24 370:4	ETH.MESH.098...	477:20 523:7	exactly 376:11
454:5,10 455:3,24	377:9 399:19	631:9	568:3 569:3 578:8	446:17 482:10
457:20 458:6	636:17	ETH.MESH.105...	583:24 593:22	487:10 515:8
460:20 463:16	ETH.MESH.000...	350:4	594:7 602:2 610:2	examination
465:14,16 467:7	446:8	ETH.MESH.105...	612:7 638:17	294:15 304:15
473:2 476:24	ETH.MESH.003...	298:10	event 358:21	404:21 557:18
477:16 485:6,19	447:12	ETH.MESH.105...	606:14	559:23 571:23
486:6,7,13,20	ETH.MESH.003...	298:16	events 488:14	630:3
487:2,14 490:14	455:14	ETH.MESH.105...	everybody 444:9	examine 353:22
499:10 507:3,19	ETH.MESH.003...	386:19	evidence 344:17	405:8
509:10 510:14	455:22	ETH.MESH.106...	345:5,15 346:5	examined 304:12
511:5,17 512:18	ETH.MESH.003...	299:14	350:2 352:11	359:9
514:20 516:22	461:13	ETH.MESH.111...	358:14 359:21	example 405:7
519:23 520:5	ETH.MESH.003...	298:22	363:11 364:1	474:3 551:11
523:21 524:3,11	471:16	ETH.MESH.113...	372:23 376:24	567:1 593:15
542:20 546:7	ETH.MESH.003...	403:19	386:6 394:7	examples 419:7
548:24,25 550:10	471:4,25	ETH.MESH.113...	399:10,12 405:12	exceed 380:17
551:22 553:21	ETH.MESH.008...	299:9	405:22,23 406:6	exceeds 379:24
554:3,9 555:3,9	299:19	ETH.MESH.113...	407:2 410:23	380:11
556:21 560:22	ETH.MESH.008...	395:18	411:19 416:8	Excel 611:5
562:23 563:7	301:10	ETH.MESH.113...	417:16 420:8	exception 488:25
572:5,8,19 586:20	ETH.MESH.012...	401:12	429:22 440:24	exceptions 489:24
586:21,22 594:12	301:17	ETH.MESH.1515	443:6,12 449:15	excerpt 308:18
594:14 595:7,15	ETH.MESH.017...	459:22	456:11 460:2	309:14 514:25
598:11 599:14,17	378:19	ETH.MESH.6481	468:19,22 475:7	exchange 517:9
637:10,11,15	ETH.MESH.020...	400:14	475:10 505:12,12	excite 518:21
638:20 640:7	362:20	ETH.MESH.6483	505:18 519:15,20	excuse 326:17
651:3,11 652:25	ETH.MESH.021...	400:1	536:24 543:8	327:6 334:12
653:1,15 661:20	300:11	etiology 384:14	564:14 573:17,21	340:13 349:1
663:6	ETH.MESH.021...	Eugene 626:2	575:25 576:4	356:19 365:4
Ethicon's 346:4,19	300:16	European 578:3	577:14,15 579:24	376:9 377:10
346:21 347:4,9	ETH.MESH.021...	evaluate 327:14	588:23 589:20,21	383:4 389:24
360:20 376:8	300:20	328:24 335:16	602:21 608:13	437:8 438:12
383:25 384:2,9	ETH.MESH.022...	419:11 500:21	614:21 615:8,11	444:5,14 492:16
388:3 402:13	486:5	532:24 566:21	616:21 619:8,14	492:20 541:21
407:19,24 409:2,4	ETH.MESH.053...	583:18 586:20	622:22 624:16	579:21 590:7
418:11 477:25	317:25	588:10 594:15	633:19 640:7,8	599:6 604:22
651:12 652:1,25	ETH.MESH.053...	606:13 608:23	641:17 652:16	606:16 627:17
653:16 661:20	523:1	evaluated 333:3	655:21 656:18	629:1 640:9
Ethilon 313:10	ETH.MESH.053...	381:19 408:25	659:13,19 660:2	655:24
405:8 407:6 623:9	524:24	419:20 466:14	660:18,25 661:21	excused 663:22
624:4	ETH.MESH.055...	479:5 481:23	661:22 662:2	execute 540:14
ETHISORB	370:19	evaluating 420:6	ex 495:22 526:22	exercise 312:20
315:18,21,25	ETH.MESH.098...	624:13	526:24	313:9 432:9,11
ethylene 313:20	659:12	evaluation 301:13	exacerbate 458:19	578:16

Confidential - Subject to Protective Order

Page 681

exhibit 305:5,13 306:6,11,23 307:2 307:9,11 308:9 337:16 350:4,6 362:1 363:24 370:3 377:25 378:5 381:3 386:19 391:18,18 391:20 397:1 403:16,19 409:23 446:6,21 459:23 462:1 465:25 466:7 471:11,13 484:16 499:6 508:8,11 510:23 511:1 512:25 513:2,10,12 514:6 514:8 523:11,12 531:18,20 541:6,8 548:8,13 553:17 557:22,24 567:7 584:19,20 589:13 595:17 617:7,10 618:4,10 622:2,25 623:3,6 630:12 635:24 637:5 649:22,24 654:24 655:1,6,8 660:16	469:11,13 501:19 586:13 588:18 639:13,16,16 646:9,12 experimental 587:8 597:12 598:5 expert 549:7,19,24 550:3,14 551:11 551:17 552:1,6 636:16 expertise 613:4 620:12 experts 351:11,12 381:18 382:6 expires 667:19 explain 361:2 376:6 651:1 explained 376:10 450:14 451:11,14 559:22 explant 507:3,9 528:12 533:8 623:23 626:17 628:7 632:13 633:14 634:12,25 639:22 644:21 652:2 explanted 328:10 372:19 399:6 401:14 410:19 415:4 419:6,20 420:14,21 507:4 588:14 622:7 624:3 629:13 630:21 631:2 632:19 633:6 634:13 651:13 652:2 explants 372:25 373:8 395:19 397:22 398:3,4 399:8 400:7,15 401:25 405:9 412:5 413:18 420:2,6,7 622:18 625:9,22 627:3,14 646:15 650:2	651:4,6 652:14,16 exploratory 321:22 467:18 468:10 576:25 592:24 expressed 549:7 extensive 597:19 extent 551:18 572:20 573:18 582:2 583:18 585:25 588:10 590:22 599:17 602:13 606:13 608:8 609:4 610:8 extents 501:17 external 551:22,22 552:3 extra 424:15 425:4 523:18 extract 472:3,6,14 472:15,16 extractables 575:23 extracted 482:3 567:10 extracting 483:1 extraction 482:9,21 483:18 503:9 567:12 extracts 472:13 503:7 566:24,25 567:15 568:1 575:22 576:16 extruded 386:25 extrusion 387:2 490:4 493:6 495:19 496:3,10 496:18,23 498:2 extrusions 506:19 e-mail 299:16 300:6 301:6 370:6 370:6,7,19,22 371:8,9,13,17 372:2 381:4,11 409:22 410:4,9,10 410:15 414:21 415:9 418:4 424:19 433:19,19	484:22,23 485:2 486:2,11 508:8,13 509:20 511:5,16 514:24,25 e-mails 514:9,13,15 <hr/> F <hr/> fabric 324:14 face 573:4 fact 318:16 340:15 341:18 347:19 351:20 368:24 375:25 376:23 382:21 383:13 384:3 388:1,2 389:6,15 421:20 461:6,17 468:2 471:6 510:22 518:20 519:18 563:6 569:5 573:20 578:19 584:11 590:19 606:11 610:11 654:14 658:4 factor 515:22 factors 329:16 fail 665:13 failed 420:15 failure 565:12 fair 583:11 593:3 599:15 607:12 611:13 617:16 618:21 fallen 338:19 falling 510:16 falls 648:1 familiar 359:7 362:17 415:14 525:25 532:3,3 family 333:25 577:21 578:3,5,11 578:19 579:8 far 407:22 419:5 457:14 590:6,7 638:8 639:8 favorable 384:23 465:8	fax 294:24 513:3 FAYE 296:13 FDA 314:13 322:11 352:15,19 375:23 375:24 386:12 388:4 429:12 445:20 447:6,24 448:11 449:22 450:22,24 452:14 454:6 455:4 560:16,23 561:5 562:13,25 563:8 570:14,17 574:13 574:18 603:21,24 604:5,25 February 484:12 508:8,14 federal 322:11 feedback 511:15 feel 390:12 515:21 552:13 fell 526:3 fellow 359:8 485:19 486:7 felt 338:8 386:13 fiber 331:15 341:8 353:25 355:4,16 355:23 356:1,5 357:2 359:16,20 360:4 364:8 374:11,16 375:6 382:16 384:3 387:15,17 398:13 414:24,24 423:23 432:16 454:2 518:7 519:11 536:25 555:15 579:4 585:22 589:11 592:9 620:4 621:11,16 621:24 624:17 628:22 656:9 657:18 fibers 353:23 357:19 359:10,12 360:21,22 372:21 373:23 374:1
---	--	---	--	---

Confidential - Subject to Protective Order

Page 682

375:21 378:10	617:25 619:3	416:11 422:24	488:12,13 554:21	360:23 361:18
382:21,22 383:2	633:25	445:15,19 453:8,8	554:21 637:1	362:6 363:16
383:13 384:10	finalize 463:23	484:19 485:3	follow 384:16	364:18 365:19
385:4,16,17,23,24	finalized 477:2,12	486:12 517:12	572:6	367:1,18,25 368:9
389:20 394:2,12	finally 399:19	519:6,7 547:10	followed 305:22	368:15 369:1,11
395:8 399:17	401:11 615:15	548:25 558:19	314:12,24 315:6	371:11,14,22
400:17 410:21	financial 465:6	559:12 561:4	315:10,17 576:12	373:3,10 374:3,20
411:11 421:3	find 325:24 351:23	574:6 585:13	603:2	374:25 375:15
423:6,25 424:2	352:10 436:17	595:7,17 603:6	following 311:6	378:25 380:7,15
435:19 452:23	473:23 476:21	612:3 638:23	313:16,19 314:18	381:23 382:10,23
468:16,18 469:5	513:15 559:1	five 298:21 365:14	322:17 344:18	383:16 384:4,11
497:10 504:3	564:22 565:22	398:9 399:5,6,9	345:6 362:23	385:5,18 386:3,8
fibrils 424:5	570:23 579:19,23	401:13,15 445:21	511:14 602:21	387:11 388:20
fibrosis 589:21	582:18 584:2	561:4 574:5	follows 304:13	389:8,25 390:9,19
592:8	590:14 607:19	578:13 605:6	follow-up 488:15	392:12,25 393:6
fibrotic 556:16	615:7,11,17,23	662:19 663:2	force 325:7,18,22	394:4,13 395:22
591:1 592:18	616:2,6,12,21	five-year 348:16,17	528:5,10 529:2,11	396:6,20 398:14
596:13	627:20 637:4	391:21 392:5	530:4,19 585:23	398:18,25 400:10
fibrous 305:23	finding 410:25	397:2 398:2	586:18 621:10	400:19 401:6,19
589:10 592:7	466:19 468:1	399:11,22 401:12	forces 527:19	403:10 405:25
603:3	572:24 575:15	411:2 417:6	528:13	407:15 408:6,22
field 310:21 351:12	576:1 596:5	418:19 435:10	foregoing 664:21	409:6 411:4,15,23
351:25 381:19	624:13 634:9	554:7	667:5	412:8,15,23
382:6 461:15	findings 378:21	fixation 325:7	foreign 363:14,14	413:10,21 414:3,9
509:23 510:6	402:6 405:10	327:20	426:4,7,21 427:10	414:14 415:17,22
517:10	407:24 411:1	flake 525:11	428:5,22 430:3	416:9 417:1,14
figure 378:12 400:6	450:18 505:21	535:16	544:24 545:13	418:14 419:13,22
400:14 405:12	562:12,14 577:10	flakes 544:7	forget 423:14	420:10 421:7,23
586:17	610:9 611:11	flat 331:12 469:23	form 309:17	422:5,12,19
filament 536:21	613:6 628:15	470:1,11,14,15	310:16 312:18	424:23 425:5,13
545:8 582:11,12	fine 337:23 372:9	471:7,20,22 573:3	313:6 316:8,14	425:22 426:11
603:14	509:4,7 531:23	598:14	317:1,13 318:12	427:1,12 428:7,23
filaments 355:10	591:15 641:12,15	flexing 414:25	321:13 323:3,10	429:6 430:5,12,21
355:14 560:14	647:20	Floor 296:13	323:20 326:1,8	431:3,13,21 432:6
564:3 565:7 575:5	finger 535:22	Florida 295:4	328:3,16 329:8,13	432:21 434:1,21
581:11 603:17	finish 327:11	296:4	329:24 330:17	435:14,24 436:11
file 338:7,20 549:2	360:16 441:2,3	fluids 397:13	331:20 332:7,17	436:22 437:20
578:1	finished 366:15	focus 357:10	333:13 334:13	438:20 439:23
files 352:3 422:14	454:23 469:24	folded 618:7	335:2 336:4,10,23	440:14 442:13
652:1	470:2 476:6	635:21	339:16,24 341:24	443:9,20 448:1,23
film 324:14	481:17 518:11	folder 352:19 360:9	343:1,12 344:12	449:23 450:4,12
filter 471:25 472:7	553:12 662:8	360:11	344:17 345:5,23	450:25 451:8
472:16	first 305:19 306:11	folders 347:10	346:7,24 347:7,21	452:2,15 453:2,22
final 340:5 460:17	307:19,20 340:18	365:3 375:5	348:7 349:12,21	454:8 455:7
470:2,3 476:20,22	340:21 370:6	folks 341:14 440:1	352:7 354:11	456:20 458:23
476:23 574:21	378:12 382:4	450:17,19 451:17	355:5 356:6,14	460:5,23 461:9
578:9 611:6 613:7	386:25,25 389:10	451:17 460:10,17	357:6 358:5,16	462:9 463:4,20

Confidential - Subject to Protective Order

Page 683

465:1,9,19 467:12	342:16	453:24 519:8	349:15 356:2	gluteal 318:5 320:5
469:7 474:10	former 311:17	581:12,13 582:6	432:18 441:15,21	466:16
475:17 476:2	312:5	584:7	441:23 442:1	go 305:5 306:9,15
480:3,14 483:24	forms 341:12	frame 384:22 560:1	545:23,24 546:17	311:25 312:13
484:5 487:17	349:11 353:20	FRANCISCO	546:22 583:10,13	313:9 317:22
488:1,16 489:1	364:3 603:12	296:13	632:24	336:23 359:4
490:9,22 491:5	610:5	frayed 511:11	generalized 504:25	370:5 371:18
492:11 493:1	formulation 487:10	fraying 300:9	generally 351:25	378:12 381:3
494:17 495:23	487:21 488:7	511:11 512:3,5,7	358:3 501:3	391:17 394:22
496:12,16,25	489:24	512:15	generate 424:15,20	395:15 397:20,21
497:21 498:3,12	forth 416:20 549:4	free 496:5	425:4 610:16	401:11 403:13
499:1,16 500:17	597:24	FREEARK 296:7	generated 432:14	404:15 457:16
501:21 502:13	forward 326:15	front 306:8 332:11	442:3	461:17 469:19
504:8 505:14	351:11 411:17	343:18 551:6	generation 431:18	471:1 478:24
506:3,13,21 507:6	445:14	584:13 618:7	generic 416:17	481:16,20 484:15
508:17 509:12,24	found 336:1 339:9	653:14,22 655:2	417:4	499:6,22 508:7
510:18 511:19	353:25 359:10,15	FTIR 357:5,18	gentlemen 313:2	520:20 523:10
512:9 514:2	372:21 380:2	367:12 655:11	361:3 373:25	531:17 535:3
516:17,25 517:25	397:12,23,23	657:22 658:7,13	389:23 396:12	537:4 547:19
518:17 520:2,16	407:20,22 410:21	659:17 660:1,17	438:8 527:23	550:22,23,23
521:5 522:1	413:7 415:15	full 378:20 471:1	544:11 656:7	552:15 560:22
523:25 525:4,13	418:21 421:2,16	635:20,24	660:15	563:19 573:25
526:5,15 527:6,15	423:22 433:21	fully 493:7 626:21	Gerald 296:15	574:3 585:13
528:15 529:17	442:6 467:2	fun 640:15	German 300:15	588:25 605:11
530:8,23 531:9	470:22 482:13,23	function 496:6	Germany 417:23	609:20 610:14
534:4,21 535:12	483:7 523:18	622:1	422:15	611:20 612:1
536:9 537:7,16,23	526:2 536:1	functionality	getting 662:8,12,14	617:18 620:19
538:7,15 540:11	537:13,21 538:4	427:19 462:17	662:25 663:5	623:5 624:19
540:20 541:19	576:22 590:22	477:19 488:9	Gillespie 371:9	626:4,24 628:11
545:21 546:8,20	595:12,14 628:15	further 354:14	381:5,6	635:9 644:1 645:4
549:8,16 553:25	638:8 639:7	425:17 500:10	give 359:3 360:10	645:9 659:5
554:14 555:10,23	658:13 659:18	592:6 597:16	377:16 402:20	662:19,23,23
556:12 602:21	660:17	598:13 618:25	410:5 446:3,16	goal 578:16
606:19 607:20	foundation 563:14	624:7 629:25	461:18 471:8	gobble 544:23
631:4,22 632:14	four 540:4 574:3,4	630:3	508:5 511:15	Godoin 652:13,13
633:10,21 634:14	fourth 362:19	future 426:1,3	531:12 551:16	Godoin's 652:14,15
635:5 637:19,24	fragment 379:18	477:23	553:10 591:10	goes 327:15,17
638:12 639:10	379:21 385:25		620:15 643:17	412:3 414:21
641:22 644:23	386:15 387:13	G	645:17	418:25 424:13
646:17 649:18	393:13 581:17,19	G 296:11	given 438:25	428:11 460:22
651:7,14 652:18	582:11,24	gain 530:21	446:15 540:22	464:17 473:9
657:25 658:14,19	fragmentation	gathered 558:4	664:7 667:7	478:4 486:18,24
659:3 660:3,7,19	387:3 393:9,13	599:13	gives 620:7 633:25	486:25 487:8
667:9	fragments 374:16	gathering 440:3	glad 329:19 429:24	489:19,20 495:17
format 516:6	375:10 378:15,18	Gel 622:5	GLP 322:6,11,14	534:25 612:16
formed 310:14	380:3 385:22	Gene 627:1	322:15,19 574:9	going 319:12
340:24 341:20	386:11,21 453:20	general 346:9,11	574:11,12,18	326:24 327:3,18

Confidential - Subject to Protective Order

Page 684

337:1 360:17,18 361:9 366:2 372:7 376:14,17 377:15 377:16,20 388:7,8 404:13 406:11,13 409:15 415:6 433:5 438:19 446:21 461:17,18 464:4,18 469:3 474:16,23 487:21 488:4 491:12,18 491:25 494:25 508:7 510:5 512:13 517:15,20 542:5 548:7 551:15 552:6 553:10 557:3 564:18 571:14 577:18 581:5 582:8 594:11 608:8 609:24 613:18 617:23,24 624:20 626:15 630:8 645:7,21,22 650:18 656:20 662:18 663:16 GOLKOW 294:24 good 304:18,19,21 322:8 350:19 425:7 462:22 553:6 574:13 602:18 640:7,10 640:11,14,18 goods 470:2 gotten 591:6,21 GPC 622:5,6,8 655:11 657:23 grade 379:24 380:3 380:10 grading 608:22 609:5 gradually 323:19 Gray 294:18 664:17 great 374:10 415:5 550:1 560:14 614:21 615:16	628:9 greater 336:2 431:12 432:19,20 441:17,17 467:4 467:11 468:3 469:14 474:25 492:9 503:10 646:24 gross 337:18 group 354:9,11 372:19 381:7 410:18 415:3,21 417:24 419:1,3,5 419:10 433:21 476:19 477:17 478:2 grow 305:24 603:3 growth 335:17 393:13 guess 505:8 524:2 587:4 617:15 guidance 429:12 guidelines 455:25 guy 522:14 Gynecare 295:12 333:24 381:7 513:14 514:18,21 514:22 515:1,7,8 577:20 578:11 579:8 G95 429:12 <hr/> H H 297:10 298:2 299:2 300:2 301:2 302:2 hair 591:10 half 410:5 hamsters 353:25 hand 446:21 472:21 handed 370:2 446:17 465:24 510:23 hands 469:20 618:1 handwriting 547:15	handy 445:24 Hang 479:13 happen 459:13 536:4 584:16 happened 515:17 527:20 532:4 609:19 620:10 640:5 happening 423:24 happens 463:13,14 496:10 536:3 hard 378:13 HARVEY 296:7 head 360:8 417:24 headed 622:5 Headquarters 294:21 healing 459:9,10,17 460:14 504:20,23 505:5,7,24 506:2 506:12 571:21 573:4,10 614:18 614:22 616:14 health 295:12 516:10 Healthcare 296:10 hear 470:13 515:4 656:4 663:15 heard 640:23 Heartland 296:10 heat 479:17,20 480:6,7,12,13,17 480:17,19 481:1,2 481:12 496:3 503:16,25 heavier 620:1 heavily 462:18 heavyweight 331:2 335:25 442:10 443:16 held 306:16,25 409:25 512:22 547:21 help 357:14 395:16 431:18 489:14 498:8 539:10 548:20 635:11	helped 548:23 helps 496:3 hernia 442:8 470:16 575:7 hey 529:9 649:6 he'll 475:2 543:20 high 354:2 359:17 424:2,3 479:16,23 480:18 481:11 620:4 higher 378:17 480:18 620:2 highlighted 353:14 447:2 466:4 Highly 536:11 540:22 histo 594:6 histologic 593:11 histological 323:22 523:7 588:1 589:14,17 593:2,7 histologists 613:5 613:10 histology 327:24 590:10,15 591:3 591:18 612:16,22 histomorphologi... 569:3 593:22 594:7 610:1 history 456:15 458:11 485:20 490:18 556:5 578:4 hold 370:23 374:10 392:3 474:5 527:22 578:21 613:11 614:8,11 641:6 643:6 holding 313:3 Holste 328:9 homopolymer 362:4 honest 607:13 hope 553:7 hopefully 552:21 hoping 662:4 host 394:3	hour 663:6,8 hours 482:8 human 346:17 347:18 349:10 352:14 353:19 358:22 363:13 364:2 420:1,2,6,7 449:7 520:13,14 522:11 528:9 531:7 536:8 humans 420:22 526:25 580:22 hundred 476:7 653:8 hydrolysis 353:16 353:18 Hyland 294:20 hypochlorite 363:1 363:2 hypothesis 434:16 481:10 503:23 H&E 657:6 <hr/> I identical 634:3 identification 307:8,10 350:5 378:4 391:19 403:15 466:6 508:10 510:25 512:24 513:9 514:5 531:19 541:5 548:12 617:6 618:3 649:23 identified 306:5,22 343:15 457:25 558:2 564:20 565:11 566:19 599:19 602:6,14 607:16 616:22 617:2 618:19 identifies 566:16 identify 306:14 332:12 565:12 590:11 606:17 617:1 660:14
--	--	--	--	--

Confidential - Subject to Protective Order

Page 685

identity 344:15 345:3 602:19 IFU 304:25 305:1,3 305:6,18 306:7 307:14 310:15 317:21 320:21 321:1,3 322:4 339:4 340:25 341:14,21 342:16 342:21 343:7 344:7 345:15,21 346:14 352:6,12 352:19 354:23 388:17,24 390:17 403:9 404:25 435:22 436:9,19 438:3,4 439:25 440:1 450:15 451:22 459:19 460:1,22 505:20 553:23 554:13,17 554:19 555:4,7,17 555:22 556:22,25 563:9 598:25 604:6,13 IFU-1 297:15,20 II 294:6 Illinois 296:9 image 378:14,15 400:8 543:5 images 399:20 454:3 imagine 443:1 immediate 533:17 535:17 impact 355:23 373:16 382:13 383:9 389:12 422:15 435:18 436:5,25 437:4 449:13 451:19,20 452:9,18,18 453:17 460:13 467:25 468:2,24 480:6 493:25 504:23 505:7,24 555:13 568:4	569:2 571:20 573:4,9,11 589:22 590:21 619:3,4,13 619:20 628:21 impacts 421:12 imperative 665:10 implant 361:5 367:7 368:19 389:21 420:18 441:24 458:21 459:3 516:6 521:1 521:22 525:3 528:11 529:22,23 530:6,18,19,20 531:7 533:17 535:18 540:17 541:1 557:2 566:13 569:12 573:5 580:14 588:14,16 593:23 593:24 594:2 596:11 657:17 implantation 305:20 307:15 320:23 324:7,12 354:12 367:3,7 368:5,18 372:18 375:20 381:17 410:18 414:25 415:1 426:16 441:25 458:18 466:17 500:24 504:12 520:24 521:15 522:8 526:12 528:7,8,14 528:24 529:12 530:5 533:25 539:19 540:2 541:4 542:10,23 550:5 556:18 566:20 568:24 572:9,10,20 576:18 580:14 582:10,12 583:15 583:22 584:10 587:16 588:19 590:5,17,23,25	605:9 608:24 623:10 624:11 implanted 318:4 320:5 322:24 323:17 353:24 355:8 372:25 373:7 386:16 389:4 412:4 413:17 432:19 433:1 438:10 439:20 440:10 500:15 516:7 520:11,15,20,21 524:13,18,20 525:2 528:2 536:14,19 539:22 540:23 544:15 545:17 546:14 556:7 565:18 566:22 568:25 569:14 581:20 583:9 622:12 655:20 656:17 implanting 527:12 529:2,5 implants 331:7 368:6 517:20 521:3,24 524:14 627:11 657:9 implementation 344:20 602:25 importance 628:23 629:3 important 373:12 384:17 390:12 404:19 413:3 415:2,10 431:19 436:4,9,10 438:11 439:17 440:12 441:8 449:6 452:5 452:9 462:16 477:22 491:10 511:10 528:21 533:15 540:8 562:7 587:10 619:19 621:14 633:23 648:24	importantly 355:19 improve 387:2 428:12 497:13 inadvertently 386:16 536:19 inappropriate 406:21 450:22 inch 527:25 528:2 529:4 include 339:15 390:5 411:13,13 419:1 421:14,19 421:20 422:3 426:6 427:8 487:15 560:10 563:24 569:17 579:9 581:6 588:16 603:7 649:12,12 657:3 included 320:21 327:24 334:15 338:21 345:6 371:8 376:24 397:4 401:23 402:25 422:17,18 422:25 424:19 426:13 433:20 477:18 514:25 547:9 582:1 600:9 602:22 630:13 642:21,23,24 644:6 653:15 655:5,5 includes 337:11 541:10 including 354:19 385:16,23 392:22 393:25 418:11 419:10 445:7 497:5 501:15 507:15 554:7,22 556:2 559:8 566:9 580:7 595:25 inclusion 496:1 incompatibility 362:22 incompatible	349:16 362:23 363:21 inconsistent 451:24 incorporating 305:25 603:5 incorrect 543:16 increase 375:12,12 375:22 385:25 386:21 389:13 421:11 452:24 458:22 459:3 478:12 504:7,10 505:23 519:17 540:18 545:12,13 545:15,18,19 546:3,4,18,18 647:14 increased 321:5 372:23 387:9,24 410:23 411:19 421:10 432:24 459:11,16 460:12 468:22 503:1,4,6 504:15,19,22 544:16 551:1 564:24 569:13 573:13 577:14 583:9 614:18 615:17,23 616:2,6 617:3 increases 374:18 379:23 380:3,10 380:13,20 512:6 647:9 increasing 407:21 432:25 452:1 638:7 639:6 incubated 568:1 independent 301:12 453:20 463:9,14 574:19 index 303:2 445:16 445:16 indicate 331:10 332:10,24 333:1 352:3 357:18 425:2 440:24
--	--	--	---	---

Confidential - Subject to Protective Order

Page 686

493:3 494:2 622:15 625:20 626:19 627:14 633:8 indicated 392:14 433:24 442:23 449:4 460:8 461:12 468:19,20 478:7 527:8,17 529:25 554:18 indicates 343:20 493:5 625:23 628:6 indicating 434:10 468:23 485:8 indication 634:22 individual 387:13 470:24 479:3 481:21 544:12,18 544:20 559:3,5 574:22 609:15 612:9 654:6 individuals 460:21 induce 431:11 industry 611:16,18 612:8 inert 372:18 381:12 381:17 398:12 400:15 401:4 410:17 430:20 515:23,25 516:9 inexpensive 571:6 infected 420:15 infiltrate 556:18 infiltrates 589:24 591:24 inflammation 310:6,11 323:18 501:4 554:9 582:18 614:19 616:3,7 617:3 inflammatory 305:21 307:16 316:6,12 320:24 321:6 323:2 334:6 334:22 336:2,20 338:3 339:5,10,21	340:6 341:2,22 342:18,23 344:21 363:13 374:18 375:12 387:9,15 387:16 431:12 432:20 441:18 442:11 443:17 447:17 452:25 453:21 458:22 501:13 518:16 535:24 536:7 540:19 544:12,17 544:17,19,21 545:3,14,19 546:4 546:12,19 551:2 553:24 555:18,21 556:3,10,16,17,23 556:24 557:4 564:24 581:9 589:24 591:8,24 592:5 596:12 603:1 606:8 615:17,24 657:16 information 306:21 341:13 388:25 390:6,12,15,17,17 436:4,10,16,19,25 437:2 438:8 439:16,18 440:6,9 440:13,18 441:9 449:21 450:17,19 450:23 460:10,15 461:1,3,4 465:22 477:7 480:20 507:10 520:7 526:8 528:21 531:1,13 540:8 554:12 555:7,13 555:17 558:4 560:3 571:7,8,17 593:20 600:12 603:7 607:19 643:17 651:20 653:2,4 informational 594:20 619:19 628:20	inherent 512:3 642:11,16,17 643:15 inherently 456:17 458:14 initial 323:18 533:2 556:15 611:11 initially 536:1 613:5 initiated 387:5 initiation 354:4 injected 576:17 injured 590:5 input 440:3 554:21 inquire 326:6,12 inquired 595:2 inquiring 369:8 inquiry 328:8,13 insert 308:19 390:24 insertion 520:22 inside 354:20 434:16 588:11 insignificant 546:14 635:4 insofar 524:4 580:25 612:16,23 instance 549:13 550:10 616:13 institution 598:3 institutional 598:3 institutions 597:13 Instron 585:16,19 585:20,24 586:3 646:11 instruction 604:21 instructions 344:19 345:7 390:13 436:2 602:23 665:1 insufficient 480:8 642:4,10,12,13 643:12 645:11,14 645:16,17 646:3,7 648:6,10 integration 317:4 328:25 576:23	589:21 614:22 integrity 462:22 621:16 intend 551:19 intended 335:20 388:24 436:15 622:1 intention 421:11 496:1 524:13,24 549:11 610:23 INTERCEED 324:14 interest 357:10 465:6 interface 433:1 569:11 583:3,6 Interim 623:8 internal 418:12 448:21 451:5 516:22 520:6 550:10,17 552:3 598:7 interpret 634:19 interpretation 610:6 635:12 interpreted 634:17 634:20 interprets 633:25 interrupt 656:2 interruption 336:25 interstices 305:24 603:4 interval 397:25 398:2 405:5 intestinal 606:3 intracutaneous 321:8 567:1 575:21 576:2,5,16 intramuscular 315:14 316:2,22 320:17 324:17 426:16 500:23 572:13 574:25 608:2,7 intramuscularly 322:24	intraperitoneal 324:12 intrinsic 420:17 introduce 534:13 introduced 397:7 introduction 397:17 invasive 327:14 inventor 465:7 investigated 408:3 413:14 420:3 618:25 investigational 328:23 335:15 investigator 319:20 397:3 399:16 407:20,25 635:3 636:12,20 637:11 642:7 648:2 investigators 359:9 382:15 442:5 532:18 635:11 636:9 642:4 649:6 invited 543:17 involved 330:22,25 334:10,10 340:19 576:10,11 611:24 involves 580:21 involving 330:11 340:11 563:21 in-depth 485:20 486:19 in-house 407:23 421:1,14 423:4,21 638:9 639:8 IR 357:9 405:7,10 405:22 406:5 407:2,8 659:18 irritancy 575:25 576:4 irritant 468:11 ISO 321:8 429:11 455:25 472:1,2 473:16 481:24 578:12 isolation 651:20 issue 298:8 380:2
--	---	--	--	--

Confidential - Subject to Protective Order

Page 687

393:3 458:7 463:14 464:15 503:15 512:1 525:7 529:11 551:24 566:19 605:12 607:17 649:11 issues 431:1 447:11 493:23 508:15 518:8 521:13 552:7 565:13,22 568:15 580:25 618:24 619:8,9 iterations 567:5 598:16 612:7 IV 622:5 642:5,13 642:15 643:4,8,9 643:12,14,24 644:9 645:12,15 645:16,17 646:4,7 648:11,14,20,23 648:24 649:7,15 IVs 648:7 IV/DLG 643:9 IX-7 585:18 I-Stop 539:17 i.e 397:8 462:17	640:25 judgment 440:17 461:2 609:17 648:3 judgments 441:14 July 418:3 627:18 jump 594:10 jumping 592:21 jury 319:7,13 361:3 373:25 389:23 396:12 404:20 406:5 438:8 527:23 544:11 566:11 607:9,11 619:22 654:8 656:7 660:15 justification 597:14 justify 597:13	knew 371:25 425:10,19 614:10 knitting 431:1 know 312:24 319:19 321:11 331:18,22 332:3 336:8 348:9 350:18,20 351:8 354:7 355:24 356:21 358:3,3 362:11 364:21 369:18,25 370:8 376:10 380:18 382:14 384:22 389:2,5 398:10 404:16 406:23,24 413:23 420:3 424:1 427:14,20 429:17 435:9 444:1,2 445:12 446:13,14 451:19 458:5 463:25 465:3,5,14 473:22 474:6 477:7,12,14 480:10 484:4,7 485:4 486:16 487:14 492:2 497:4 503:12,24 514:16 516:21 517:8 521:10 522:20 525:6,15 525:18 527:2 528:13 529:9 535:2 537:4 539:4 539:18,21,25 551:3 553:5 557:2 563:12 580:19 587:5 607:11 630:24 634:2 635:19,23 640:6 643:19,20 646:6,8 648:16 650:24 663:8,15 knowing 487:20 knowledge 422:16 465:21 knowledgeable	343:6 344:6 345:14 429:4 431:8 457:21 572:13 574:24 601:8 602:2 608:2 649:10 known 351:13,25 353:18 354:14 375:25 409:10 562:24 567:20 606:3 knows 319:6 376:20 KREIS 295:3 296:2	555:21 556:22 563:8,17 598:25 604:6,13,20,24 605:18 647:8 658:23 large 321:17 325:2 333:11,11 334:11 338:17 339:22 488:7 636:10 larger 321:19 325:3 336:17 337:15 338:1,4 441:17 529:13 laser 301:20 541:11 541:25 lasted 354:5 late 559:24 662:12 launch 510:10 lauryl 499:24 LAWYER'S 668:1 layer 305:23 347:20 348:6 373:20 375:9 382:20 388:18 389:3,7 392:9,10 394:11 396:4,18 396:19 402:1 425:11,20 517:22 603:3 LA-10 478:18,22 483:21 484:8 488:25 489:6 502:21 503:9 LCM 301:19 541:25 543:5 leach 360:4,22 361:3 394:1 443:7 466:24 467:8 468:15,17,24 469:5,10 481:3 497:9 500:15 leachables 472:13 493:24 567:10 569:1,15,16 570:9 573:12 575:23 615:18,25 leachables/extrac...
J J 295:8 296:3 485:3 485:4,8 Janice 508:14 509:21 January 294:11 304:4 486:5 664:18 Jersey 294:22 304:22 job 598:4 Joerg 328:8 John 371:9 372:3 372:11 381:5,6,15 485:5,19 486:6 487:20 569:21 Johnson 295:12,12 jordi 553:2 judge 406:14,15	K K 539:3 Karl 485:4,5,19 486:7 569:21 Karl's 485:3,8 keep 388:8 487:21 589:4 613:5 614:8 618:2 keeping 340:10 Keller 296:15 kept 611:11 key 355:15 373:13 374:6 435:18 459:15 515:6 606:12 619:17 kind 357:12 358:1 398:21 437:2 504:24 509:14 512:1 518:4 530:25 541:2 565:6 582:4,14,23 586:24 595:23 630:10 kinds 443:2 551:22 565:4 610:21 Klinge 419:2,10 Klosterhalfen 419:2,10,19	knitting 431:1 know 312:24 319:19 321:11 331:18,22 332:3 336:8 348:9 350:18,20 351:8 354:7 355:24 356:21 358:3,3 362:11 364:21 369:18,25 370:8 376:10 380:18 382:14 384:22 389:2,5 398:10 404:16 406:23,24 413:23 420:3 424:1 427:14,20 429:17 435:9 444:1,2 445:12 446:13,14 451:19 458:5 463:25 465:3,5,14 473:22 474:6 477:7,12,14 480:10 484:4,7 485:4 486:16 487:14 492:2 497:4 503:12,24 514:16 516:21 517:8 521:10 522:20 525:6,15 525:18 527:2 528:13 529:9 535:2 537:4 539:4 539:18,21,25 551:3 553:5 557:2 563:12 580:19 587:5 607:11 630:24 634:2 635:19,23 640:6 643:19,20 646:6,8 648:16 650:24 663:8,15 knowing 487:20 knowledge 422:16 465:21 knowledgeable	L L 294:17 296:13 664:17 LA 498:8 503:6 lab 302:16 415:4 650:6 label 320:21 402:14 402:24 labeled 354:23 labeling 308:19 labels 309:4 laboratories 318:10 laboratory 310:20 320:15 322:8 477:19 567:21 574:13 laboratory-made 592:3 lack 549:14 563:13 ladies 313:2 361:2 373:24 389:22 396:12 438:8 527:22 544:10 656:6 660:15 laminated 324:13 language 306:21 321:1,2 322:3 340:25 455:21 460:22 549:18 553:22 554:11,12	555:21 556:22 563:8,17 598:25 604:6,13,20,24 605:18 647:8 658:23 large 321:17 325:2 333:11,11 334:11 338:17 339:22 488:7 636:10 larger 321:19 325:3 336:17 337:15 338:1,4 441:17 529:13 laser 301:20 541:11 541:25 lasted 354:5 late 559:24 662:12 launch 510:10 lauryl 499:24 LAWYER'S 668:1 layer 305:23 347:20 348:6 373:20 375:9 382:20 388:18 389:3,7 392:9,10 394:11 396:4,18 396:19 402:1 425:11,20 517:22 603:3 LA-10 478:18,22 483:21 484:8 488:25 489:6 502:21 503:9 LCM 301:19 541:25 543:5 leach 360:4,22 361:3 394:1 443:7 466:24 467:8 468:15,17,24 469:5,10 481:3 497:9 500:15 leachables 472:13 493:24 567:10 569:1,15,16 570:9 573:12 575:23 615:18,25 leachables/extrac...

Confidential - Subject to Protective Order

Page 688

567:11	311:25 312:3	668:2	little 304:24 380:18	348:16 350:1
leaching 359:24	313:9 365:12	lines 549:25	444:6 472:1	352:1 355:17
361:4,10,12 394:7	371:7 394:22	Linsky 461:20	514:17 535:24	357:23 358:10,11
445:7 446:10	403:13 406:25	list 306:6 307:24	564:19 592:21	360:6 364:16
447:10 457:11,25	415:25 437:14	308:3 311:13	617:19 648:23	368:18 370:13,21
467:22 474:19	444:5,8 445:19,19	317:22 334:5,15	662:10,12	382:25 383:23,23
481:8 493:17,20	446:20 499:6	336:17 337:15,15	lives 439:21 521:4	384:17 385:13
493:21 501:15	523:10 531:17	338:1,4,14,18,21	521:25	394:15 395:12
507:17 559:10	547:19 557:10	339:1,4,8,12,15	LLP 294:21	396:25 400:17
566:7,10,11,12,15	561:8 592:20	340:5 341:18,19	load 384:25 467:23	402:17 415:25
566:17,19,22	613:17 631:6,9	342:13,21 350:2	528:6 539:4,14,18	416:3 420:21
567:7 568:8,15,22	640:4 641:6	352:11 359:4,5	539:21 586:6	429:24 430:7,10
568:23 569:5,8	662:23,23 663:13	363:9,25 376:24	loads 527:12 529:3	470:24 471:3
570:24 577:17	level 390:23 458:21	421:15 422:4	529:12 530:4	474:2,20 479:14
579:9,12,20,24	482:24,25 529:2	426:9 547:10,12	538:20 540:1,2	485:2 507:2
lead 372:9 449:14	557:3 574:19	548:8,15,21,23,25	local 493:24 575:25	524:23 525:20
506:12	593:10 596:12	548:25 549:1	localized 479:16	532:2,5,10 533:12
leader 461:21	649:14 657:6	558:19 560:3	locate 328:2 476:23	533:18 539:9
478:1	levels 490:2 495:14	577:3 606:25	located 477:13	542:21,22 550:13
leads 424:3 583:9	502:5	654:24 655:4	589:11 592:9	550:14,14,16
leak 443:12	leveraged 579:3	659:25 660:16	location 344:16	566:23,25 568:7
lean 594:16	599:18	listed 308:23 311:1	345:3 602:19	575:24 584:9
learn 605:12	leveraging 341:10	312:17 313:13	locations 629:13	586:4,16 587:13
learned 386:24	LIABILITY 294:6	314:3 322:21	long 327:3 329:6	587:19,24 588:22
leave 524:24	Liebert 353:22	328:23 330:16	376:14 388:7	590:10 591:7,23
lecture 388:10,13	359:1,1,8,8,20	334:4 336:19	452:17 456:15	592:6 605:16
led 579:24	life 349:17 454:19	339:4 350:2	458:11 488:14	609:9,15,20 612:3
Lee 296:19	524:15 557:4	363:25 375:4,19	513:21 561:18	623:21 631:6
left 363:4 523:18	lifetime 561:20,25	547:8 558:12	610:24 611:23,25	635:14 636:2,15
626:2	light 368:22 397:5	559:12 564:7	630:7	637:5 640:4
left-hand 378:20	397:10 436:25	596:4,4 601:12	longer 489:8 618:2	641:13 647:16
legs 522:9	578:11 649:2	657:20 658:5	619:25	651:21 659:6
length 361:20	656:23 657:6	659:16,24 661:18	long-term 298:12	looked 314:4 316:5
386:14 457:7,15	662:7	listen 384:23 661:1	325:15 329:4	322:25 334:18,21
570:13 576:18	lightweight 333:11	661:2	334:8,9 341:5,11	335:8,24 338:15
585:11,16 595:2	333:18 334:11	listeners 374:5	342:4 375:20	355:3 358:12
595:11 597:6	338:16 339:22	lists 337:17,20	377:7 387:4	360:3 372:19
599:1 606:10	442:10 443:16	489:20 558:8	399:11 488:12	378:9 404:25
615:16 619:25	likewise 616:25	654:6	497:13 561:23	408:14 410:19
620:13 628:9	limitations 597:10	literature 308:25	562:1 596:22,24	424:10 438:3
651:9	limited 445:7	337:9,10,13 338:6	605:8,9	501:12 509:16
lengths 581:19	507:15 559:8	422:11 549:2	look 308:7 310:9	520:10 532:16
584:10	566:9	597:19,20,23	316:24 317:3	533:14 540:9
lesser 503:10	Lindemann 638:16	598:6 605:16	318:21 321:5	553:23 570:9
letter 300:10,14,19	line 303:6,9,11,14	litigation 294:6	323:14 325:18,19	582:2 657:22
511:8,17 513:5,13	366:21 494:8	381:22 382:9	325:22 330:10,11	660:17
let's 306:9 308:7	661:24 666:3	613:11 614:7,11	330:23 343:14	looking 313:23,25

Confidential - Subject to Protective Order

Page 689

316:21 317:6,7,25 321:16 326:3,17 326:21 332:15 341:7 344:23 348:10 357:4 359:3 365:17 366:24 367:6 370:18 395:17,18 415:4 426:14 427:16 532:13,18 536:14 539:5,7 561:16 569:11 572:9 575:22 581:23 585:2 588:20 589:20 635:18 637:3 640:1 641:3 642:8 655:19 looks 370:14 393:15 447:8 455:20 486:22,23 510:2 511:21 532:8 539:13 564:13 569:9 586:2,5 590:2 593:23 626:8 Los 296:14 lose 374:16 loss 355:20,21 382:16,17 417:10 435:17 454:14,15 507:16,21 508:15 508:21 509:9,22 512:15 516:15 520:10 527:5 528:22 532:19 533:13,21 534:20 537:13 538:13,21 540:10 542:8,21 559:9 580:7,10,13 580:25 582:1,5 583:25 585:11 586:9,20 606:19 616:4,8 619:1,16 621:13,19,23 630:20 631:2 639:21 643:18	losses 586:13 lost 326:6 328:15 336:24 537:13,21 538:1,5,13 540:17 544:6 lot 329:16 335:10 448:25 494:11 532:16 549:24 611:25 662:15 lots 654:11 low 479:19,24 480:7,17 481:11 502:5 516:11 539:12 557:3 596:12 lower 441:18 482:20 585:14 626:2 632:17,19 646:16 lowered 482:24 lubricant 498:8 Lubrol 314:5 360:4 393:18 394:1 466:20 467:3 468:15 469:4,14 470:5 478:11,14 478:16 483:23 489:1,7,7,10,15 497:5 Lunch 409:14,17 lying 541:1 lysed 475:6 <hr/> M M 378:13,14,14,15 476:14 machine 431:1 585:19,20,25 586:3 macro 453:24 macroparticle 518:4 macroparticles 518:22 519:10 535:15 536:16,18 macrophages 518:21	macroscopic 592:25 593:14,19 magistrate 552:12 640:25 Main 295:4 296:4 maintain 454:18 488:6 610:24 Major 510:6 majority 308:1,4 312:15,16 313:4 334:4 432:4 653:24 654:4 657:21 making 347:23 408:11 413:3 427:22 441:13 471:5 504:14 597:14 607:9 640:15 Manager 513:14 manner 322:15 483:2 520:21 577:16 579:2 609:23 manufacture 478:7 479:5,20,25 487:16 488:23 496:24 560:13 manufacturer 386:24 manufacturers 391:10 392:22 420:3 manufacturer's 416:21 manufacturing 393:19 416:19 440:3 485:21 494:5 496:15,19 498:23 500:14 504:6 March 570:5,7,8 584:25 Marian 477:11 mark 306:7,9,11 311:25 350:3 377:11,15,20	391:18 403:14 484:16 508:5,7 523:10 531:17 548:7 617:25 618:5 624:5 649:21 marked 303:13 305:6 307:8,10 350:5 378:4 391:19 403:15,18 461:24 465:25 466:6 508:10 510:23,25 512:24 513:2,9,12 514:5 514:8 523:1,12,13 531:19 541:5,8 547:10,12 548:11 548:12 573:16 617:6,9,22,22 618:3,6 649:23 655:1 market 562:23 563:7 575:19 576:7,14 marketed 351:17 595:15 marketing 402:10 517:9 519:24 masked 407:9,13 mass 540:23 646:9 646:12 material 315:21 343:8,20 344:8 345:8 347:11 351:4 354:13,24 355:16 361:16 364:8 372:18,22 373:1,9 381:17 386:7 399:6 401:14 402:14,25 404:25 409:11 410:17,22 411:12 412:6,21 413:19 421:21 424:5 426:2,3 430:20 433:1 435:22 442:10,11 443:16	443:16 447:18,21 448:15 450:9 452:22 456:17 458:13 459:7,8 464:12 469:24 478:6 479:8 481:20 489:10 539:14 540:23 556:1 560:12 562:8 569:14 583:9,17,19 604:17 655:20 656:17 657:10 materials 362:23 363:14 420:9,18 442:8 444:1 477:20 482:1 536:15 568:25 578:5 603:7 607:17 matter 294:15 301:23 354:24 380:3 436:4 457:10 487:24 535:23 557:25 558:12 563:6 613:12,15 614:7 max 528:3 maximize 567:10 maximum 481:25 483:14 MCM 541:16 543:5 MDL 294:5 mean 318:25 330:7 346:11 355:24 358:4 361:3 370:17 372:6 411:18 456:19 458:16 473:18 538:1 541:16 550:22 551:21 571:9,13 573:6 574:11 592:16 611:17 622:10 632:7 635:1 641:1 656:2 657:8,13
--	---	---	--	---

Confidential - Subject to Protective Order

Page 690

meaningful 449:16	melting 357:24	346:16 347:5,12	535:20 539:23	423:6 471:25
means 354:7 361:4	358:2,4,12,19	347:19,20 348:6	540:18,25 541:4	472:1,2,10
539:4 635:2	367:17	358:13 372:20	541:11,11,16,25	Michael 296:22
642:15 664:23	melts 358:8	388:19 389:3	545:8 549:15,21	Michelle 294:17
meant 317:24	membranes 502:23	394:1 410:20	550:4,6,12 551:1	664:17
413:24	memo 382:1 413:2	414:24 415:1,5,12	560:13,24 562:15	micrographs
measure 528:5	485:3,8,18 486:2	415:12,13 418:10	564:23 565:8,13	420:24
542:9 587:11	486:4,4,10 508:20	421:2,17 423:5,23	565:14,24 567:5	microns 592:11
619:24 620:7	511:14	426:2,3 428:12	567:13 568:16,19	microparticles
621:5,6,15,16	memorandum	432:16 434:11	570:24 572:11,14	518:5,21 519:13
627:25 632:8	569:21,25	436:20 442:8	572:21 573:1,3,7	microparticulates
642:18 643:16	memorized 394:21	451:7 452:13	573:19 575:1,1,2	581:23
measured 539:3,24	memory 326:20	453:13 454:6	575:3,4,4,7,7,10	microscope 368:22
586:9,13 592:10	416:6 474:6	455:4 456:1,4,6	575:12,17 576:12	397:10 657:6
592:10	MENDILLO 296:7	456:16 458:12	576:14,20 577:5,7	microscopic 421:2
measurement	Menneret 511:24	459:7,8 460:3	577:8,12,12,16	421:16 423:5,22
642:20 643:13,14	512:14 513:4	466:25 467:9	582:5 586:2 588:2	593:10
648:25	mentioned 335:5	468:6,7,13,15,18	588:2,5,10,23	microscopical
measurements	427:5 429:24	468:21,21 469:5	589:11,25 590:3,8	397:5
440:21	540:4 581:15	469:24 470:2,3,7	590:22,23 591:25	microscopy 298:21
measures 374:8,10	583:13 593:21	470:8,11,12,14,15	591:25 592:1,2,2	357:18 397:11,22
440:22	mesh 299:18 300:8	470:18,19 471:7	592:9 594:2 595:1	398:3 405:7
mechanical 301:21	301:9,20,21	471:21,22 472:3	595:7,13,15 596:1	410:20 618:16
323:23 356:24	305:20,24,25	472:24 473:11	596:6,14 597:7	628:11,16 638:15
398:22 424:6	307:15,21 309:25	479:2,5,10,19	598:14,16 602:25	638:16 656:24
430:17 435:19	313:23 316:4	480:2,11 481:4,14	603:4,5,13,18	657:23,23 658:7
437:5 452:9	318:3,7 320:4,11	481:23 482:5,7,21	606:18 614:24	659:18 660:1,17
510:15 523:7	320:19,24 321:9	483:2 486:14	615:9,25 616:8,18	microslides 613:2
541:10,16 585:15	321:17,25 322:23	491:16,21 492:3	616:23 617:4	microspectroscopy
588:1 592:1	322:24 323:17,24	498:24 499:8	618:21,24 621:24	357:9
mechanism 354:1	323:24 324:13,15	500:25 501:1,2,6	621:25 625:8	mid 334:9 431:25
359:17 424:14	324:19,22 327:15	501:14 502:8,20	634:13 639:18	556:6 560:15
425:16 476:10	328:11,24 329:6	503:8,17 506:1	652:3	middle 590:1
499:7 502:19	329:22 330:10,12	507:4 510:15,17	meshes 328:9 333:2	627:21
610:11	330:22,25 331:7,8	511:11,11,18	334:10,11 408:21	mid-duration
mechanisms	331:8,9,11,12,16	512:4,5,6,16	419:6,12,20	342:24
472:23 473:1	331:19,23 332:1,5	515:4,5,7,8,10,21	420:13,22 424:22	mid-term 325:14
medical 370:12	332:13,16,23	516:8,23 517:6	427:20 494:6	329:3
378:8 432:19	333:5,5,10,12,17	518:3 520:21,21	497:7 506:12	migrate 535:10
437:23 439:20	333:18,21 334:5,8	522:23 523:8	576:21 579:5	536:1,25
440:2 441:16	334:21 335:16	524:17,18,19,21	586:1,5 652:2	migration 536:6
450:17 472:5	338:17,17,22,23	524:22,25 525:2	mesh-related	mil 331:12,14,23
514:18,20 554:20	339:22 340:12,16	525:10,11 526:3	312:25	332:12,16 336:3
599:8	340:16,19 341:1,9	526:12 527:18,20	message 370:14,19	338:17 577:4,12
mediums 567:12	341:20 342:2,15	528:2,11 529:4,13	met 350:21 369:24	596:1
meet 596:6	342:20,22 344:21	529:15,24 533:10	481:18	mild 316:11 323:6
melt 650:24,25	345:16,21 346:6	533:24 535:16,17	method 314:1,2	323:8,13,18

Confidential - Subject to Protective Order

Page 691

453:13 473:17 475:8 501:3 573:15 596:9 Miller 605:11 milligrams 482:16 483:6,9,10 499:13 499:14,17,18 500:3 milliliter 482:16 483:7,10,11 499:14,15,17,19 500:3 millimeters 524:20 mimic 467:22 482:8 526:10 528:8 529:3,14,23 530:6 531:7 mimicked 540:1 mind 306:13 587:7 587:15 628:20 minds 440:5 mine 547:15 minimal 305:21 307:16 320:24 323:13,19 334:6 336:21 338:3 339:6,10 340:6 341:1,22 342:17 342:22 344:21 389:15 447:16 573:14 592:5,19 603:1 minor 493:6 minute 319:10,11 319:11 370:24 371:17 377:14 379:21 380:3 385:25 453:20 463:24 546:25 minutes 662:19 663:2 mismatched 549:15,21 mismatching 550:6 550:12 551:2 misreading 638:2 misrepresent	449:21 450:23 Missouri 369:23 372:12 381:15 410:16 misspoke 335:18 misspoken 629:2 MN 631:20 632:3,7 632:22 model 323:24 325:8 420:19 523:9 models 328:24 335:16 moderate 316:6,12 318:17 323:1,6,8 323:18,20 456:1 470:23 473:13,17 475:4,13 573:15 moderately 473:19 molecular 355:18 355:20 373:14,17 374:7 382:13,16 383:9 384:18 389:12 413:1,4 417:10 435:18 436:5,25 440:21 440:23 449:9,12 451:19 452:6 454:15 555:14 606:11,19,23 607:2 619:4,8,13 619:22,24 620:3,5 620:9,24 621:1 622:16 625:13,14 625:19,21 626:17 626:21 627:6,14 627:25 628:16,22 630:19 631:1,20 632:4,9,9,11,23 634:2,4,10,11 635:20 639:22 641:20 642:11,19 644:3,6,10,16,18 644:20 645:20 646:14 647:6,9,11 647:13,17,23 648:1,21	molecules 634:11 641:21 644:22 moment 311:24 325:23 359:4 383:24 391:9 398:9 444:21 532:5 645:23 656:16,21 money 464:22 465:5 663:5 monitor 564:12 monofilament 561:10 606:1 655:10 monofilaments 540:24 Mont 487:5 Montecatini 486:14,22 487:7 month 510:22 months 325:9 341:6 378:16 379:16,16 426:17 moon 583:2,4 morning 304:18,19 304:20 327:4 581:9,15 Morristown 294:22 move 319:15 326:15 341:15 342:6 376:3,12 388:5,12 406:10 406:11,25 426:2 440:25 444:12 445:14 449:17 454:25 469:1 472:18 493:10 494:22 515:3 557:6 563:1 607:6 663:13 movement 361:4 550:4 566:12 567:13 648:3 MSDS 362:21 363:20 364:7 MUELLER 296:12 multiple 558:23	multi-center 463:19 464:25 465:17 multi-component 415:12 multi-page 558:7 muscle 318:5 320:5 520:23 522:23 528:3 588:3 590:4 590:8 muscles 466:16 musculature 525:1 527:19 Muse 626:2 627:1 MW 632:3 M.D 296:15 <hr/> N N 297:2 624:5 name 335:13 350:17 367:5 417:20 525:25 532:3,15 names 638:24 NAMSA 455:24 462:8 470:20 476:6 nanoparticles 518:20 nature 459:15 479:1 NDA 308:18,20 309:14 342:5 386:11 536:16 556:4 560:18,19 561:6 562:5,10,25 563:18 565:16 570:4 578:24 581:8 582:7,14,17 584:7 603:21,21 605:1 NDAs 341:4 necessarily 445:13 655:18 necessary 392:23 429:18 432:13 440:4 482:2	540:14 552:11 557:1 665:4 necrosis 317:8 need 348:23 349:1 349:23 357:14 404:14,16 425:16 441:9 444:8,10,14 445:13,14 460:14 462:21 463:23 471:1 473:24 486:19 488:6 494:9 551:3 552:14 571:18 573:24 583:14 605:16 618:25 628:11 634:17 646:8 662:19 663:3 needed 393:3 530:5 needs 341:3 354:14 441:24 510:7 562:7 564:12 609:25 648:3 650:17 negative 334:22 467:9 470:16,19 576:3 608:11 negligible 576:4 neither 641:18 never 371:16 395:9 437:25 438:3 634:3 654:5 Nevertheless 412:3 new 294:22 304:22 325:7 391:15 486:22,23 560:19 560:22 600:9,18 604:1 606:9 652:5 Newton 539:3 Newtons 528:5,6 NGP 315:11 NICHOLS 296:12 nine 402:9,9,9,24 403:8 nitric 362:25 363:2 363:7 non 552:2
--	--	---	--	---

Confidential - Subject to Protective Order

Page 692

Nonabsorbable 298:13	November 511:4 511:24,25	634:19 644:25 645:18	409:6 411:4,15,23 412:8,15,23	521:5 522:1 523:25 525:4,13
nonpolar 483:13	Novo 487:5	numerous 617:2	413:10,21 414:3,9	526:5,15 527:6,15
nonresponsive 341:16 342:7 441:1 449:18 455:1 472:19 493:11	Novofil 405:8 407:6 623:10 624:7	nylon 309:8 379:25 380:11,17	414:14 415:17,22 416:9 417:1,14 418:14 419:13,22 420:10 421:7,23 422:5,19 424:23 425:5,13,22 426:11 427:1,12 428:7,23 429:6 430:5,12,21 431:3 431:13,21 432:6 432:21 434:1,21 435:14,24 436:11 436:22 437:19 438:19 439:4,8,12 439:23 440:14 442:13 443:9,20 444:8,8 448:1,23 449:23 450:4,12 450:25 451:8 452:2,15 453:2,22 454:8 455:7 456:20 458:23 460:5,23 461:9 462:9 463:4,20 465:1,9,19 467:12 469:7 474:10 475:17 476:2 480:3,14 483:24 484:5 487:17 488:1,16 490:9,22 491:5 492:11 493:1 494:17 495:23 496:12,16 496:25 497:21 498:3,12 499:1,16 500:17 501:21 502:13 504:8 505:14 506:3,13 506:21 507:6 508:17 509:12,24 510:18 511:19 512:9 514:2 516:17,25 517:25 518:17 520:2,16	530:8,23 531:9 534:4,21 535:12 536:9 537:7,16,23 538:7,15 540:11 540:20 541:19 545:21 546:8,20 549:8,16 553:25 554:14 555:10,23 556:12 606:23 631:4,22 632:14 633:10,21 634:14 635:5 636:19 637:19,24 638:12 639:10 641:22 644:23 646:17 649:18 651:7,14 652:18 657:25 658:14,19 659:3 660:3,7,19
nonsterile 481:17	nowadays 567:25	O 354:8		objection 310:23 312:18 316:8 317:13 400:25 457:5 498:18 512:19 560:25 562:16 563:1,10 563:13 564:25 566:3 570:16 572:2 578:25 579:15 580:1,11 584:5 591:9,14,22 594:5 595:16 597:3 598:1 599:2 600:20 603:10 604:3,8 605:2 607:6,23 609:10 613:9 614:6,12,25 615:20 616:10 619:11 620:11 621:2 622:21 624:15 625:17 629:5,15
non-absorbable 314:15 352:21 368:5 377:8 564:10,12 657:11	number 304:6 305:6,14 306:12 308:9 315:3 325:10 340:15 352:2,24 353:9 362:1 363:25 367:5 370:3,4 377:9,25 381:4 391:18 397:2 399:19 409:24 418:9 433:12 446:7,7,22 451:16 455:14 473:6,19 474:8,18 495:3,8 508:8,14 510:24 513:3,12 514:8 515:6,7,9,9 516:14,21 522:5,9 522:15 523:13 525:7 534:15 541:8 548:3 549:6 553:16 563:19 568:8,9,20,21 569:4,18 570:4,4 570:22 577:18,24 584:15,18,19,20 589:6 597:10 613:20 614:1,16 617:21 618:14 624:19 630:12 632:8,16,22 633:1 633:9 635:24 636:17 637:5 641:21,24 644:22 645:2 647:1 655:9 655:16,17 663:20	object 309:17 310:16 313:6 316:14 317:1,10 318:12 321:13 323:3,10 326:1,8 328:3,16 329:8,13 329:24 330:13,17 331:20 332:7,17 333:13 334:12 335:2 336:4,10,22 339:16,24 341:24 343:1,12 344:12 345:23 346:7,24 347:7,21 348:7 349:12,21 352:7 355:5 356:6,14 357:6 358:5,16 360:23 361:18 362:6 363:16 364:18 365:19 367:1,18,25 368:9 368:15 369:1,11 371:11,14,22 373:3,10 374:3,20 374:25 375:15 378:25 380:7,15 381:23 382:10,23 383:16 384:4,11 385:5,18 386:3 387:11 388:20 389:8,24 390:9,19 392:12,25 393:6 394:4,13 395:22 396:6,20 398:14 398:18,25 400:10 400:19 401:6,19 403:10 405:25 407:15 408:6,22		objections 406:12 406:20,20
non-colored 561:16				
non-cytotoxic 464:10 471:22 472:9 478:8 482:13 483:7 499:14 501:1				
non-GLP 322:13				
non-in 573:2				
non-ionic 478:19 502:21				
normal 471:6				
normally 407:10				
Notary 294:19 664:18 667:21				
note 415:11 423:11				
notebook 302:16 309:13 650:6 652:7,11				
notebooks 361:10 445:11 474:12 559:13,17 600:15 652:21 653:6 658:6				
noted 414:11 489:25 582:3,6 667:9				
notes 611:6,10 612:12 613:5 614:8 625:3,7 630:14 662:10 668:1				
notice 306:20 457:25				
Novafil 624:4				
	numbered 307:25 627:17 numbers 625:3 633:3 634:3,16,17			

Confidential - Subject to Protective Order

Page 693

objective 327:23 487:8 objectives 587:25 588:18 objects 363:14 observable 580:17 observation 354:12 384:17 387:22,23 393:15 401:24 402:18 407:6 413:13 593:14,19 594:4 609:19 629:17 observations 383:18 384:6 385:12 386:11 393:10 397:5 418:23 437:3 440:20 449:6,6 589:14,18,19 593:1 609:16 612:2,10 observe 610:4 observed 347:24 354:11 373:19,22 386:12 387:23,24 397:9 398:5 399:22 407:10 408:17 412:11 414:6,8,13 437:3 456:18 458:15 501:5 518:7 519:9 533:17 536:20 555:19 573:10 581:7 584:11 590:16 593:1 612:5 618:20 634:12 647:6 obtained 338:7 405:11 406:5 486:14 598:11 659:18 obviously 376:17 480:21 514:18 540:13 558:8 586:17 588:15 occasional 379:17	occasions 451:16 occur 354:3 387:3 388:1 408:15,20 409:5 459:14 483:18 591:1 611:23 occurring 408:4 521:2,23 occurs 414:25 496:18 512:6 October 352:21 404:23 445:20 447:25 448:5,10 618:10 622:3 623:7 626:1,3 627:1,10 ocular 313:10 Oddly 370:21 offending 473:21 475:15,25 offensive 510:7 offer 356:9 520:23 527:20 551:19 552:6 offering 357:1 551:25 offices 294:20 486:23 oh 340:17 370:17 446:14 523:15,18 547:13 558:16 642:3 644:8 647:2 Ohio 318:10 oil 362:16,18 okay 307:13,23 308:7 309:1 312:3 315:24 344:25 350:22 353:14 361:7 365:12 372:6,8 381:14 384:24 391:16 392:4,20 393:12 394:20 406:16,22 407:5 409:14 410:2 416:4,4 441:5 446:4,4,20 447:3,14 448:7	449:20 458:3 463:1 464:3 467:18 470:7 475:23 480:10 485:8 486:18 489:14,17,19 495:12 503:15 509:1,4,7 510:9 515:15 516:14,21 517:17 521:12 523:15 525:2 532:8,17 551:9 553:8,9,12 557:23 562:22 567:23 574:1,4 580:21 586:15 587:10 589:8 591:3 594:3 594:10 599:22 612:12,15,22 622:15 624:22 626:5 627:19 630:9,17,24 637:8 637:10 640:1 641:12 643:10 644:12,18 645:10 647:2 654:21,25 655:4 656:21,22 657:2 659:8 old 335:25 336:2 once 350:21 576:5 ones 578:15 607:13 one-month 315:10 one-third 639:12 639:15 open 308:24 511:12 511:13 605:15 operation 513:17 513:17 ophthalmic 315:6 426:17 638:15 opinion 364:5 537:9 opinions 549:6 551:12,25 opportunity 355:8 376:18 403:20 464:14 552:2	566:21 611:20 664:11 opposed 590:19 opposite 465:25 option 552:9 order 294:9 560:7 560:23 562:23 563:6 646:9 ordered 319:21 organize 549:3 organs 439:22 440:12 orientation 424:4 original 338:20 360:18 362:9 448:8 461:22 483:2 486:21 487:4,10,21 488:6 489:2,23 540:6 550:5 568:19 570:18 604:21 610:18 611:20 612:2 665:11 ought 464:14 547:14 outer 347:20 358:13 373:25 392:9 394:11 395:7 402:1 424:5 outlined 347:10 outlining 578:5 outside 496:10 536:2 542:3 562:17 563:14 571:11 574:19 620:11 overall 320:2 434:15 473:5 545:1 OVERHOLTZ 295:3 296:2 overlap 550:2 654:12 overlapped 514:17 overlay 471:21 472:8 overlying 505:9	overrule 442:1 oversight 322:16 322:20 598:4,5 overwhelming 312:16 657:20 over-tensioning 512:2 owe 553:2 Owens 514:14,16 owl 571:16 oxidation 353:17 353:23 354:20 357:18 358:15 359:9,17 367:23 368:2 372:24 392:24 393:2,20 405:13,24 406:7 407:3,9 410:24 411:20 415:2 434:18 435:8 442:7,9 443:1,4 443:15 490:4 491:3 492:10 494:5 495:19,21 496:3,5,23 497:19 498:23 658:18 659:1,14,20 660:18 661:22 oxidative 353:17 354:1 oxide 313:20 503:14 oxidizer 349:11 353:21 358:23 364:3 oxidizers 362:24 363:15,19 364:6,9 oxygen 363:1 O'KEEFE 296:12 O2 349:10 353:20 364:2 <hr/> P <hr/> P 296:8 package 308:19 390:24 393:21 416:18,23 417:10
---	--	---	--	---

Confidential - Subject to Protective Order

Page 694

435:6,8 442:25 467:24 479:4 481:6,22 489:22 544:3 569:17 570:13 622:14 623:22 packages 417:5 443:2 444:2 packed 309:4 page 297:13 298:5 299:5 300:5 301:5 302:5 303:6,9,11 303:14 312:4 352:23 353:9 362:20 370:5 378:11 386:20,22 397:1,20 399:24 400:3 401:12 410:6 446:12 455:22 459:20 471:24 477:4 486:12 524:23 543:2 550:4 570:22 585:13 587:25 588:25 589:6,7,13 618:7 623:1 625:11 626:4,24 627:16 635:17,18,20,24 636:14,15,15 639:5 642:9 644:4 648:8 652:7,11 659:9 666:3 668:2 pages 302:15 623:5 624:19,21 667:5 paid 419:11 465:15 465:16 488:13 662:25 663:5 pale 544:14 pancreas 606:8 paper 359:20 415:25 416:3 453:19,25 462:18 463:2,8 464:1 471:25 472:7,16 593:16 605:15 papers 606:17	paragraph 345:3 353:4,6,9 378:20 394:21 502:6 622:5 parameter 587:14 parameters 355:18 384:18,19 408:25 413:4,14 449:14 521:11 parenthetically 387:6 Pariente 301:16 525:20,23 526:1 526:12 527:4,9 531:18 532:17 538:25 584:14 585:1,10,24 594:11,19 part 307:20,25 315:21 330:7 346:15 348:17,18 367:7 379:3 389:10 412:17 424:19 429:12 430:19 455:19 456:23 457:11 464:5,7 473:4 514:20 515:20 519:7 531:2,14 540:23 542:16 544:2 545:8 564:1 575:11,20 597:14 597:25 598:5 599:13 600:23 601:9,18,23 603:21 604:20 606:7 636:10 638:25 participated 637:16 639:2 particle 507:16,20 508:15,21 509:9 509:22 512:15 516:15 520:10 527:5 528:22 532:19 533:13,21 534:20 536:22,25	537:12 538:13,21 540:10 542:7,21 544:18,20 545:1,4 546:12 559:9 580:7,10,13,24 582:1,4,14,16,17 583:25 585:10 586:9,13,20 616:4 616:8 particles 387:21 510:16 515:10 518:3 521:17 523:23 525:11 526:3 532:25 533:16 535:9,15 536:6 537:2,14,22 538:6 540:17 541:4 544:5,8,13 545:5,7 546:11 581:7,13,13 584:3 584:7,9 585:25 588:11 590:8,11 590:14,16,22 particular 331:19 338:13 351:13 420:14 550:15 588:18 649:11 particulars 583:14 particulate 591:2 particulates 538:2 540:25 580:16 588:16,20,24 parts 600:10 pass 557:8 663:16 passage 498:9 520:22 522:23 passed 576:5 passes 596:10 paste 455:18 pasted 456:25 457:3 pathologist 569:9 569:10 589:20 593:25 608:17 609:2 610:14,15 610:18 611:1,24 612:1,6,16,19,19	612:25 613:1 pathologists 608:23 610:3 611:19 pathology 325:25 328:2 335:21 592:12 610:13 patient 383:14 415:1 454:19 521:12 557:5 587:17 594:17 patients 437:25 438:5 439:19 441:10,14 513:16 520:22 528:24 621:21 pause 305:9 pcombs@tcspllc.... 295:11 PDS 324:14 peak 539:4 586:6 peel 374:1 382:22 383:13 452:24 peeling 383:20 452:22 453:9,15 PELVIC 294:5 pelvises 389:5,22 438:10 pending 365:14 366:11 388:9 394:24 453:5 493:14 535:5 Pensacola 295:4 296:4 people 450:18 460:10 649:10 perceived 428:12 546:13 percent 329:22 474:25 475:5,10 475:14,24 476:7 526:2 533:2,20 537:22 538:1,5,12 538:18 540:16 541:15 624:8 percentage 534:20 542:6,22 perceptible 545:16	perception 372:13 372:17 381:16 410:17 perchlorates 362:25 perform 622:1 performance 477:20 performed 355:13 405:4 455:24 473:2 585:15 perimeter 657:17 period 310:7 328:25 335:17 354:4 458:18 493:21 582:2 590:25 611:22,24 612:1 656:4,5 permanent 389:21 517:19 557:2 permanently 389:4 438:10 440:11 permanganates 363:5,7 permeable 309:4 permeation 622:6 permit 450:23 peroxide 362:25 Perretti 294:20 person 343:6 344:6 345:14 385:15 408:13 429:3 431:7,8 457:21 458:8 477:1,9,15 491:9,24 493:16 507:19 517:10 572:12 574:24 593:6 601:7 602:1 608:1,14 610:1 633:24 636:22 638:17 personally 364:12 364:13 365:8,11 365:16,23,25 366:23 367:14,15 380:1 457:14 582:10
---	---	---	--	---

Confidential - Subject to Protective Order

Page 695

personnel 548:24 548:25	536:8 578:14,15 613:11 614:8	581:8	375:21 378:10,14 378:15,18,22	556:1,8
perspective 418:8 462:19 554:17,25	622:23 626:20	pointing 650:24	379:15 380:2	poor 400:8
555:4 582:20	placed 472:6,7,15	points 332:13	381:12,16,20	population 594:17
594:14,22 597:1	606:7	515:6	382:7,16,21	pore 321:12,17
606:21 607:21	plaintiff 599:1	policies 322:18	385:17,23,24	324:25 325:2
petri 567:24	plaintiffs 295:6	574:17	386:11 387:1,7,14	331:3,18 333:11
petro 362:16	296:6 306:20	poly 579:3	387:15 390:8	334:11 338:17
ph 294:24	345:2 558:3	polyethylene	392:10 394:12	339:22 552:1
phagocytes 544:23	600:11	353:12	395:7 396:13,18	pores 321:19 325:3
phase 327:21	plaintiff's 337:11	polyglactin 575:6	400:8,16,17 401:5	porosity 330:8,9
596:10	plant 486:25 487:5	polymer 353:16	402:7 408:15,20	336:16 507:16
phases 574:20	plates 567:25	355:13 356:16,18	410:17,19 415:13	551:23,24 552:5
PHILIP 295:8	platform 388:8	356:23 357:25	415:16 416:7,14	559:9 594:25,25
photo 420:23	426:3	372:14 383:13	416:15,16,21,22	595:3 596:3 597:6
photograph 541:9	plays 434:18 442:7	384:3,10 385:4,16	418:10 419:12	598:20
541:10 544:9	Plaza 294:21	389:20 398:17,21	424:21 425:11,20	portion 337:5
photographs	please 304:9 319:2	400:16 401:4,5	432:15 433:22	portions 379:19
301:19 420:23	327:2,9 331:6	402:2 424:4	434:13,19,24,25	posed 519:3
physical 440:4	342:9 377:23	454:14 485:7	435:2,5,12,19	position 346:4,19
623:9 624:3	384:25 388:10,13	487:9 494:8,10	442:8,21 443:1,7	346:21 347:4,10
physicians 388:18	391:25 406:2	496:8 619:14,15	444:4 453:12	360:20 376:8
389:18 390:18	423:17 437:12	619:25,25 620:3,8	456:1,4,6,15	381:21 382:8,12
436:10,19 460:2	446:24 459:21	620:10,14 621:7	458:12 472:23	382:20 383:25
505:21 517:6,19	484:14 509:17	622:23 624:17	473:11 478:6	384:2,9,23 409:2
554:13	511:2,7,14 513:15	625:18 632:25	479:2,4,10,19	409:4 438:14,22
Ph.D 294:14 297:4	513:24 514:10	633:9 638:21	480:2 481:22,23	438:24 439:2,15
304:11 664:11	557:11,22 584:14	642:18 643:16,18	482:3,7,21 487:2	439:22 462:18
667:14	607:10 622:25	649:1 656:9	489:1 491:3 497:7	463:2,8 464:13
pick 557:21 563:18	629:19 634:6	polymers 353:19	499:8 500:25	485:6 543:14,15
581:3	638:23 660:20	620:2	501:1 502:20	positive 465:17
Picture 378:14	661:10 665:3,7	polyoxyethylene	503:2,5,8,12,21	571:12,23 572:6
pictures 511:8	plenty 494:20	499:23	504:18 506:11	576:11 608:5
piece 519:20 528:2	PLLC 295:3,7	polypropylene	519:11 560:13,21	positively 332:12
529:4,13,15	296:2	311:2 314:14,21	560:24 561:10	possession 653:1
535:24 539:23	point 310:12	316:3,25 318:3,7	562:4,13,24 564:3	possibility 479:15
585:20 593:16	341:12 351:14	320:4,18 322:23	565:5,7,23 572:14	534:7 658:24
599:10	353:3 357:24	322:24 324:19,22	575:5 578:23	possible 405:12
pieces 358:13	358:2,4,7,13,19	341:7,8 347:12	579:4,20 603:12	406:6 407:2 440:6
376:23 453:16	367:17 386:17	349:20 352:17,20	603:13,13,18	468:17 487:10
515:5	392:22 434:13	353:5,12,23	604:22 605:13,19	534:12 535:2
pigment 498:15	475:8 565:15	354:13 357:23	637:18 652:16	536:6 537:3
pigmented 561:10	586:8,12 611:22	358:15 359:10,12	655:10,15	610:24 659:13,19
655:10	612:5 650:25	360:21 362:12,21	polypropylenes	661:22 662:2
pipetted 472:16	658:4,10	363:21 364:8,8	416:16 442:24,24	possibly 372:24
place 462:19 530:1	pointed 434:23	372:13,14,18,20	polypropylene-b...	410:24 411:20
	486:10 533:22,24	373:20 374:1,15	321:25 362:13	post 466:16 533:4,8
		374:17 375:6,11		590:24

Confidential - Subject to Protective Order

Page 696

Postlethwait	542:20 544:2	653:5	489:8 491:4	499:22 500:2,6,11
298:15 308:22	551:6,25 554:3,6	preparing 363:12	494:12 497:4	500:13 501:15
311:10,13,22	554:16,19,23,25	presence 349:10	503:24 523:14	502:3,21 503:6,9
350:16 377:2,8	555:1,2,4,19	353:20 364:2	532:14 599:18	504:2,5
378:7 379:15	559:5 565:25	502:21 606:6	651:24	produce 423:12
380:1 386:18	575:9 579:25	621:16	pristine 372:22	produced 307:5
391:9 392:21	580:9,12,25 582:4	present 584:10	400:18 410:22	404:11 423:16
453:19,25 582:7	582:20 583:20	588:17 591:2	411:11	producing 423:25
Postlethwait's	586:19,23 587:2,5	596:25	proactive 516:2	product 333:24
378:21 380:6	587:7 594:14,18	presently 387:3	probability 512:7	334:1 354:6,10
potent 502:21	594:21 596:25	preserve 610:21	probable 502:19	362:24 391:15
potential 364:3	597:9 599:8,10	press 351:11	probably 483:12	416:12 436:3
389:19 424:21	602:3,12 606:12	presumably 392:21	485:2 520:20	442:16 469:24
456:8,18 458:14	606:21 607:21	pretty 424:14	541:2 585:6	470:2,3,22 476:6
461:7,14 468:11	614:17 618:24	425:16 522:16	problem 510:16	476:16,17,18,25
478:23 479:2,7	619:9,18 628:20	565:2 595:5 620:4	513:21 547:14	477:8,15,19
500:11 559:21	633:24	prevent 392:24	problems 506:2,12	488:10 497:19
566:21	preclinically 509:3	393:19 417:12	509:9,21 511:18	509:23 512:4
potentially 349:11	519:23	494:5 496:3	512:15	520:7 525:8 526:4
353:20 358:22	preclinician 620:6	497:18 498:23	procedure 294:17	554:22 559:6
potentiation	620:25 621:14	prevented 417:10	512:3 521:15	560:16 569:25
381:20	622:20 624:13	previous 414:17	530:20 533:1,4	570:18 576:7
power 378:17	625:16 628:15	463:11 598:8	539:6 540:18	577:21 579:8,11
powerful 349:11,16	629:3	602:14 647:9	procedures 322:18	587:6,16 592:14
349:16,19 353:21	predate 494:14	previously 304:12	574:17	594:15 601:10,24
358:22 364:3	predated 560:11	361:17 372:24	proceed 304:9	production 303:8
practical 533:9	premarked 361:25	373:7 412:4	333:16	318:6 331:25
practice 294:17	370:2 446:6,21	413:17 466:24	Proceed's 333:10	332:4 423:4
611:16,18 612:9	461:18 462:1	522:25 540:5	process 386:13	468:13 471:7
practices 322:8	472:21 484:18	597:16	387:2 393:19	490:20
574:14	preparation 397:8	Pre-implant 533:6	416:19 430:25	products 294:5
pre 533:4	397:18 434:2	533:8	480:12 481:12	344:20 345:7
preclinical 325:6	prepare 341:14	primary 325:17,21	485:21 496:15,19	362:13 389:1
327:14 341:13	457:19 550:18	326:18,25 327:23	496:24 500:15	420:4,14 440:10
343:6 344:6 355:2	prepared 329:11	355:3 364:17	504:6 525:3 528:7	442:20,22 445:6
420:16 438:6	330:4 357:16	365:17 366:24	528:14 536:7,17	456:16 458:13
440:1,4,19 441:12	358:1 434:5	367:22 368:4	563:4 581:18	468:13 477:25
450:16,16 460:9	457:18,22 464:5	369:9 464:24	586:8 590:5 592:4	478:10 502:4,8
460:10 463:14	464:17 465:15	569:6	603:22 606:8	507:14,20 509:11
476:19 488:8	474:11 543:11,19	principle 432:18	processes 493:7	523:23 546:7
506:25 508:21	543:20,22 544:1	441:16,21,23	processing 478:19	558:21,23 559:2,4
509:6 517:14	549:6,14 550:9	442:1 545:24	Procol 314:4	559:21 566:8
520:5 524:6	551:5,12 552:4,18	546:1,2,2,17,23	393:18 394:1	567:4,4 578:3,5
526:19 528:23	568:13 601:2,15	583:13	470:5 478:17,18	578:11,19 580:6
529:10,14 530:7	608:18 617:11	prior 361:21	478:22 483:21,22	587:8,11 595:1
530:13,17,22	650:9,10,16,18,21	402:10,13 403:8	484:8 488:25	599:9 601:18
531:5 542:11,17	651:8,19 652:22	403:21 404:24	489:6,9,15 498:8	602:4,23

Confidential - Subject to Protective Order

Page 697

professional 294:19 661:12,15 661:15 664:17 professionals 440:2 Professor 652:13 652:13,14,15 Professors 419:1 profile 462:20 556:7 595:12,14 595:22 596:6,8 598:21,23 program 578:18 585:18 progressive 458:17 project 299:12 301:19 324:18 461:21 Prolene 299:8 302:11 305:20 307:15,21 309:9 309:25 311:2 313:10,20 314:11 314:14,21 315:2 315:19,25 317:8 318:7 320:24 321:9,12,20 322:22,23 323:17 323:23,24 324:13 324:19,22 329:22 331:8,8,11,15,16 331:19 332:1,5,16 332:22,24 333:1,2 333:5,17 334:5,21 335:1 336:1,3 338:17 339:9 340:15,19,25 341:4,8,8,20,21 342:2,4,5,15,17 342:22 344:21 345:16,21 346:6 346:16,22 347:5 347:12,18 348:6 348:10,13 352:12 352:16,20 354:18 355:4,25 356:4,13 357:5,19,23 358:13 360:4,21	362:4,5 372:25 373:7 374:11 375:6,20,25 376:25 382:15 386:10 387:14 388:19 390:7 391:14 392:23 393:22 394:10 395:6,19 396:3,3 396:13 398:3,24 399:8,12,17 400:6 400:8,16 401:5,24 402:8 403:23 405:5,9,11 406:5 407:20 408:3,5,15 408:20 412:4 413:9,17 414:19 415:13 416:20,24 417:6 422:9 424:21 425:11,20 426:4,8,15,22 427:6,11,17 428:6 428:17,18 429:10 430:20 431:11,11 432:15 434:24 435:12 436:20 438:9 448:21 451:6 452:1,13,23 453:12 454:2,6 455:4 460:3 466:13,24 467:19 468:6,6,7,7,21 469:17,23 470:1 470:11,14,15,18 471:7,20 478:7 481:4 485:10,14 485:21 486:14,20 486:21 487:15 488:19,23 490:13 490:18 491:3 492:8 494:6,15 498:24 501:1,6 505:12 506:1,11 506:18 515:23 516:9,23 517:6,22 518:7 523:8,8 524:21 536:15	556:3 560:13,20 561:6 562:15,24 563:7,9 564:3,11 564:23,23 565:5,7 565:16,17,24,24 568:10,16 570:18 570:24,24 573:3,7 577:4,11 579:3,5 581:8 582:9 588:2 588:2,5 591:5,20 592:1,1,2 595:7 595:13,14 596:5 597:7 598:14,16 599:18 602:25 603:11,12,13,17 603:25 604:7,22 604:25 605:19 606:2,18 614:24 615:3,9,12,19,25 616:4,8,15,17,23 617:4 618:12,21 618:24 622:4,6,9 622:17 623:9 624:4,9,14 625:12 625:12,23 626:9 626:12,13,18 627:2,4,14,20 628:6 629:7 630:21 631:2,17 631:19 632:12,12 632:19 633:5,6 637:18 638:6 639:6,18,20 642:5 642:12,13 643:13 643:23 644:5,21 645:12,15,16,17 645:18 646:4,7,15 648:7,15,20 649:7 650:1 651:6,13 657:12 659:19 promote 498:1,9 pronounce 486:15 pronouncing 350:17 417:19 Pronova 426:2,3,7 426:14,21 427:10 427:17 428:4,11	428:18,22 429:9 429:19,23 430:3 430:16,19 431:12 proper 406:8 properties 435:19 493:8 550:25 551:1 624:3 proposed 553:6 proposition 583:10 propounded 667:8 protect 491:3 492:9 495:21 496:4 protective 294:9 443:3 protects 490:3 495:18 496:22 protocol 318:1,1 320:2 429:11 540:14 prototype 324:18 332:5,10 427:19 prove 593:11 proven 515:23,24 516:6 provide 388:24 416:19 420:7 428:2 429:22 440:5 450:16 454:11 460:10 476:24,25 571:7 599:7 600:11 653:2 provided 306:19 329:18 336:13 337:11,16,18 339:2 341:13 352:16 367:6 378:23 379:2 390:23 440:18 447:24 455:19 460:25 477:8 543:10,13 609:3 651:3,12 provides 436:9 PSE 572:15 public 294:19 598:6,7 664:18	667:21 publication 311:9 359:6 391:9,12 392:21 publications 420:24 pull 317:19 360:9 414:16 473:22 474:23 pulled 586:3,5 pulling 585:22 pullout 323:23 325:18,22 523:8 purchase 486:21 purchasing 517:19 pure 353:25 359:16 purpose 317:20 318:1,2,2,23 319:23 320:1,3,12 321:4 562:3 purposes 429:17 496:4 562:6 565:16 653:17 pursuant 294:16 push 535:25 put 351:11 361:13 390:13,16,16 440:2 450:19 460:14 462:23 472:3,16 479:13 488:3 512:13 517:15,20 521:3 521:24 542:5 544:3 586:2 593:15 618:1 653:20 PVDF 397:22,24 398:10,10 399:5 400:15,24 401:4 401:14 407:21 430:18 431:10,12 623:9 624:5,9 638:7 639:7 PX 466:20 467:3 P.C 296:7 p.m 495:7 629:24 663:24
---	--	---	---	---

Confidential - Subject to Protective Order

Page 698

Q	384:24 385:1,6,19 386:4 387:12 388:9,21 389:9,11 389:25 390:2,10 390:20 392:13 393:1,7 394:5,14 394:24 395:2,3,10 395:16,21,23 396:7,21 398:15 398:19 399:1 400:11,20 401:7 401:20 402:21 403:11 406:1,21 407:16 408:7,23 409:7 411:5,16,24 412:9,16,24 413:11,22 414:4 414:10,15 415:18 415:23 416:10,14 416:25 417:1,2,15 418:15 419:14,23 420:11 421:8,21 421:24 422:6,20 422:24 424:24 425:6,14,23 426:12 427:2,13 427:21,25 428:8 428:24 429:7 430:1,6,13,22 431:4,14,22 432:7 432:22 434:2,22 435:15,25 436:12 436:23 438:20 439:6,24 440:15 442:14,18 443:10 443:21 448:2,24 449:24 450:5,13 451:1,9,15 452:3 452:16 453:3,5,7 453:23 454:9 455:8 456:21 458:24 460:6,24 461:10 462:10 463:5,21 465:2,10 465:20 467:13 469:4,8,11 474:11 474:16 475:18	476:3 480:4,15 483:25 484:6 487:18 488:2,17 490:10,23 491:6 492:12,19 493:2 493:13,15 494:18 495:24 496:13,17 497:1,22 498:4,13 499:2 500:18 501:22 502:14 503:2 504:9,24 505:15 506:4,14 506:22 507:7 508:18 509:13,18 509:25 510:19 511:20 512:10 516:18 517:1 518:1,18,23 519:2 519:12 520:3,17 521:6,20 522:2,3 524:1 525:5,14 526:6,16 527:7,16 528:16,18 529:18 530:9,24 531:10 533:23 534:5,22 535:4,13 536:10 537:8,17,24 538:8 538:16 540:12,21 541:20 542:14,19 542:19 545:22 546:9,21 549:9,17 554:15 555:11,24 556:13 571:14 583:7 586:11 591:17 609:11,13 609:14 612:21 615:3 620:18 630:23,25 631:5 632:15 633:11,22 634:6,15 635:6 637:20,25 638:13 638:23 639:11 640:10,12,15,19 641:23 643:3 644:24 646:18 649:19 651:8,11 651:15 652:19	658:1,15,20 659:4 660:4,8,20 questioned 597:5 questioning 494:8 608:21 questions 303:13 319:14 331:5 361:9,12 376:18 431:19,19 437:19 437:20 464:19 469:19 492:17 506:25 544:2,4 558:5 569:5,18 592:23 614:16 617:15 618:15 620:15 630:1,8 644:3 645:4 650:19 661:16 662:15 663:12,13 667:7 quick 308:8 349:2 360:15 571:5 quickly 323:14 592:21 618:1 630:9 quit 640:16,17 quite 621:5 655:19 quote 343:20,23	594:12 595:6 598:12 rabbits 310:1,7,11 315:7 321:9 341:7 426:16 520:10,11 522:5,16 524:18 526:13,13 527:14 528:1,22 529:5 539:23 575:24 590:15 radical 496:5 Ragland 626:8 raise 552:20 618:24 619:9 Ramshaw 369:18 369:20,22 372:11 381:15 410:15 414:23 415:21 433:17 Ramshaw's 433:21 ran 482:19 500:5 608:14 range 405:14,15,17 533:1 634:4 ranging 539:16 RANSOM 296:8 rat 318:4 320:5 341:6 466:16 522:25 561:11,19 561:20,25 573:18 574:7 595:25 597:18 rate 353:22 359:9 rates 516:11 rats 310:6 315:15 316:3 320:18 321:25 324:17 426:17,18 500:24 572:9,14,20 raw 469:24 478:6 574:21 610:12,24 612:10,12,15,24 rbaggett@awkol... 296:5 RDCS 337:13 reach 609:5 627:9 reached 628:3
----------	---	---	---	--

Confidential - Subject to Protective Order

Page 699

reaction 305:21	596:5,9,11,13,13	318:20 319:8,8,25	349:4,7 366:2,7	512:21 652:4
307:16 310:22	596:15,18,22,25	400:4 420:14	377:11 391:3,6	refers 464:7
313:21 315:7,11	598:14,17,21,23	425:8 598:13	409:16,19 410:1	reflect 395:13
315:14,18 316:3	603:1,16,20 608:3	610:20 663:9	433:6,11 434:9,14	574:22
316:23 317:4	655:9,19 656:17	665:5 666:5,7,9	444:7,16,17,20,23	reflected 359:14
318:3,6 320:4,18	reactions 545:17	666:11,13,15,17	445:1 461:23	375:23 396:16
320:25 321:23,24	567:1 573:15,16	666:19,21,23,25	495:1,7,13 507:23	451:21 454:14
322:22 323:16,20	592:5 596:19,20	reasonings 451:15	507:25 508:3	619:16 657:16
334:6 375:20	598:15	reasons 462:16,21	512:23 542:22	reflecting 408:25
379:23,24 380:4	reactive 575:22	Reassure 515:19	546:24 547:2,5,20	reflects 351:24
380:10,13,18,20	reactivity 321:8	515:22	547:22,24 548:2	524:5 613:1
386:1,22 387:16	567:2 576:16	recall 305:3,16	553:15 557:13,16	refresh 326:20
387:16,24 388:2	reactor 489:2,3	306:1 318:8	610:3 613:6,19,25	509:5
389:14,15,16	read 317:20 318:23	323:12,13 335:7,7	629:21,24 636:6	regarding 305:17
426:4,8,17,22	335:13 337:4	335:23 360:7	664:7	334:5 336:19
427:6,11,16 428:5	344:3 353:2 359:1	369:6,8 371:8,21	recorded 528:11	338:2 343:6 344:6
428:17,22 429:5	371:18 372:2,4,7	375:2,4 377:3	609:23 611:5,12	345:14 352:12
429:11 430:4	378:13 391:12	381:18 383:18,20	628:17	379:14 391:9
441:24 447:17	406:2 411:17	395:12 415:9,24	records 601:9,17	397:21 407:6
452:19 453:12	462:11 467:16	470:1 505:1,6,9	601:23	421:16 422:8
458:19 459:4,11	485:22 487:5,12	506:8 520:18	reduce 498:9	424:20 442:4
459:16 460:13	508:22 514:1	525:23 526:1,7	reduced 426:4,7,21	445:5 446:10,23
467:25 468:2,3,22	516:13 532:4	532:13,22 566:23	427:10 428:5,22	447:6,16 449:22
493:24 501:2,5	591:17 592:9	593:4 597:21	442:11 443:17	450:3 493:17
502:11 504:15,20	631:23 634:21	599:11 605:18	490:2,7,25 500:9	507:19 509:11
504:23 505:23	638:10 660:20,21	608:25 618:12,17	reduces 453:13	511:11,11,16,18
519:10,17,19	660:23 664:11	631:7 658:23	reducing 500:6	513:5 543:8
536:21,23 541:2	665:3 667:5	recalled 659:12	reduction 443:18	550:11 551:24
542:21 544:15	reading 396:22	receipt 665:12	494:15 495:14	552:1 553:23
545:1,6,8,9,15	406:9 464:1,2	received 371:20	621:24 632:12	555:8,18,21
546:12,13 555:25	509:15 624:7	507:3 511:5 512:1	633:9 634:10,11	572:13 602:4
556:3,7,15,16	646:25 660:22	513:3 514:23	641:8,20 644:20	608:2
557:4 561:9,14,16	reads 344:14	560:16	644:21 647:15	regions 355:25
562:4,8,10,12,19	585:15 624:2	recipient 371:13	reevaluate 612:6	356:4,25 357:1,2
564:2,7,15,16	ready 410:7 550:23	recipients 514:14	referee 350:16	Registered 294:18
565:2,4 569:11,13	551:3,4	reclassification	reference 347:23	664:17
572:10,14 573:1	real 308:7 360:14	314:14 352:20	408:11 413:3	regulations 322:12
573:13 576:4,20	442:2 522:11	375:24 388:4	471:5 477:23	574:14 597:12
576:22,24 577:1	realized 513:22	reclassified 352:16	519:13 520:19	regulatory 440:2
577:14 579:25	realizing 578:13	recognize 510:14	521:11 527:9	450:17 451:17
581:10,10 582:14	really 400:17	recommendation	550:15	460:17 520:6
582:15,19,23,25	402:21 427:24	460:16,19,20,21	referenced 373:16	554:21
583:1,2,5,10	485:20 486:18	reconcile 628:14	399:16	reinterpret 609:22
588:15,23 589:20	489:15 495:25	record 304:3 305:8	references 352:15	relate 307:21 509:3
590:3,4 591:1	519:23 524:3	305:11 306:17,18	referred 572:19	568:15 578:22
592:25 595:8,12	609:19 651:19	307:1 310:10	referring 428:21	580:25
595:14,22,23	reason 312:22	337:2,5,7 348:19	469:23 471:23	related 309:1,23

Confidential - Subject to Protective Order

Page 700

311:10 314:8,16 320:10 325:25 326:25 333:25 336:19 376:25 386:13 421:21 423:24 445:5 446:22 448:11 493:23 494:13 507:14,20 558:20 559:21 566:8 580:6 581:18 599:9 649:16 relates 294:7 508:20 relating 440:19 relationship 432:24 459:12 relative 363:18 relatively 325:1 341:12 424:6 453:13 483:13 571:6 592:19 596:9 relax 663:12 relaxed 541:15 relay 436:3,15,18 released 375:11 391:15 535:1,10 537:3 545:3 releasing 387:21 relevance 468:12 480:21 relevant 338:8,11 338:12,25 339:14 339:20 340:2 432:17 468:6 469:11 500:23 530:14 547:9 548:9,17 571:18 579:4 587:17 599:21 603:15,16 622:13 648:22 reliable 420:8 621:5 relied 438:4 461:12 relies 307:6 462:19 rely 442:2 454:18	494:11 531:6 636:8 relying 439:17 remain 524:14 remained 393:23 remaining 313:3 379:22 remains 406:21 remember 423:12 433:17 441:19 457:8 474:12 495:15 569:21 570:1 remind 326:25 423:8,12,14 441:22 516:4,7,9 516:10 517:6,18 Reminder 301:8 RENÉE 296:3 repair 294:5 426:15 454:18 470:16 621:22 repeat 309:14 337:24 366:17 390:1 509:17 586:11 620:21 repeated 598:9 report 298:21 325:25 328:2 333:10,24 335:21 394:16 395:12,13 396:16,22 397:3,4 402:12 404:8,8,14 408:18,25 412:18 413:12 414:11 527:9,18 529:25 549:7,19,25 550:3 550:14 552:1 574:21 592:11 611:7 612:11,16 612:18,22 613:7 617:25 618:6,11 618:19 622:3 623:8 634:21 636:16,23 637:2 637:11,12,14,23 638:25 659:2,6	660:23 reported 386:12 402:3 404:4 408:18 449:12 595:22 reporter 294:18,19 337:4 397:15 444:18 664:17,18 664:24 reports 392:15 418:10,16 453:11 471:2 506:6 507:3 635:11 represent 554:2 612:23 617:20 representation 606:23 607:8,13 representations 404:20 406:4 representative 464:4 491:20 represented 653:13 654:5 representing 295:6 296:6,10,15 379:7 404:6 406:18 607:1 represents 595:23 612:24 643:15 reproduction 664:22 reproductive 439:22 440:12 reps 510:7 request 303:8 578:2 requested 337:5 664:9 requesting 425:3 require 597:12 required 416:18 424:2 429:11 528:10 530:19 621:11 requirement 646:13 requires 598:6	reschedule 663:4 research 597:20 598:2 researcher 397:15 464:24 researchers 648:14 reserve 552:7,10 557:8 reserved 628:10 reside 535:17 resin 314:6 362:13 362:21 393:18 443:2 479:4 481:22 482:1,4 485:10 487:2,9,15 488:23 490:3,7,13 495:19 496:22 497:14 resistant 353:16 398:20,21 407:22 435:8 605:20 638:9 639:8 resources 477:19 487:7 respect 372:22 378:21 383:25 410:22 411:11 417:5 468:14 554:3 562:15 567:19 582:15 592:24 596:5 607:15 616:20,25 627:11 661:11 respectively 503:11 respond 423:9 427:20 544:1 responded 542:14 responding 599:10 responds 418:4 response 306:20 311:3 313:11 316:7,12 317:4 320:12 321:6 323:2 334:23 335:24 336:1,2,20 338:3 339:6,11,22 340:6 341:2,22	342:18,23 363:13 374:19 375:13 387:10 429:4 431:12 432:20 441:18 442:11 443:17 452:8,25 458:19,22 459:17 466:15 467:10 469:15 475:5,9 478:12 501:13 518:16 535:24 540:19 544:12,17 544:17,20,21 545:14,19 546:4 546:19 551:2 553:24 555:18,21 556:11,17,23,25 560:4 564:24 566:17,19 572:6 583:20 584:2 588:1 591:1,5,20 592:18 595:21 603:8 607:16 608:24 614:5 615:17,24 responses 453:21 467:4 responsibility 440:1 450:15 477:14 506:24 554:19,20 responsible 460:11 responsive 307:3 558:4 602:5,8,13 rest 439:20 521:3 521:24 524:15 644:3 restate 660:11 restroom 391:1 result 354:19,19 363:13 374:17 377:1 401:25 415:1 435:17 443:18 454:11,12 462:7 480:24 503:8,10 518:6 519:14 523:23
---	--	---	--	--

Confidential - Subject to Protective Order

Page 701

536:7 550:5 551:2 569:14 573:12 577:11 593:18 594:8 608:11 609:6,24 643:9 resulted 479:10 481:18 482:16,20 493:8 500:3 581:9 resulting 355:10 536:17 results 337:9 342:2 342:4 396:25 417:7,8 429:23 434:15 441:25 451:18 455:15 458:9 462:7 465:7 465:17 473:10 475:9 554:4 570:25 571:2,3 578:9 592:12 598:11 602:3 609:23 610:6 612:25 622:15 626:19 628:17 633:25 639:13,16 643:4,8 652:12 retain 387:7 611:10 retention 605:9 623:16,18,19 retrieve 565:22 retropublic 448:9 return 665:10 revealed 320:11 373:1,8 395:20 396:8 399:8 412:5 413:18 569:2 review 351:11 353:1 359:15 403:22 422:23 506:9,17 543:18 549:1 555:1 565:22 574:20,20 593:2,7,11 609:3 612:23 reviewed 358:18 359:6 363:11 423:1 433:25	507:9 562:9,14 568:10,13 603:21 611:1 614:23 reviewing 609:2 reviews 298:6 350:8 351:7,9 352:23 revisit 612:2 re-read 610:15 rid 545:4 ridiculous 640:20 right 306:3 307:17 308:1,5,23 309:2 309:15 311:15 312:1,6,25 313:5 313:11,14,25 314:9,16,19,22,25 315:4,8,12,15 316:7,25 317:12 317:19 318:11 319:21,25 320:21 320:25 321:6,24 322:1,4 323:25 325:8,21 326:22 327:8,12 329:4,23 334:1 339:6 341:2 345:1 346:16 349:20 350:11 351:4,15 353:1,5 354:7 357:20,24 358:23 359:3,23 359:25 361:25 364:21 376:12 377:2 379:13 381:12 383:11 384:10 386:2,20 390:8 391:13 392:6,15,24 393:5 393:20 394:3,9 397:18 400:9,18 401:9,18 405:19 407:25 408:5 410:11 413:9 416:6 417:23 418:4 419:2 431:20 434:16 435:13 436:14	441:21,22 447:19 448:10 450:11 452:1 453:21 458:22 463:7,19 467:22 470:10 473:7 474:6,24 475:1 476:8,11 478:17,24 480:12 482:9,10,11,13,17 482:21 483:7,11 485:23 486:9 487:25 490:21 491:4,17 492:15 493:17,20 494:16 495:22 496:11,24 499:15 502:5,24 503:17 508:16 509:23 510:17 511:18 514:20 515:25 517:24 521:8 522:6,19 524:10 525:12 528:3 534:3,11 536:8 537:22 538:14 540:3 541:17 543:9 545:10,19 546:19 549:15 559:24,25 560:5,8 577:1 585:8 590:1 611:7 631:17,21 633:9 633:16 635:4,10 635:16 636:9 637:2,7,12,18 638:3,21 639:3,9 639:18,22 641:21 642:13,22,24 643:24 644:7,10 644:14 645:12,15 645:20 646:2,3 647:1,7,13,18,19 647:21,24,25 648:7,20 649:3,17 651:17 652:25 653:17,22 654:4 654:11,16,22 655:17 656:3,12	658:10 660:6,10 660:15 661:23 right-hand 585:14 590:6,7 rigidity 550:7 Riker 294:20 rings 532:15 Rippy 476:14 477:1,9 rises 648:1 risk 333:9,24 444:17 504:7,11 577:20 578:20 579:7 596:25 600:24 605:23 risk/benefit 438:4 439:18 441:10 Robin 626:8 role 434:18 438:6 442:7 477:22 room 403:21 roughly 354:21 roughness 372:24 410:24 411:20 rounded 475:6 rule 479:22 601:8 rules 294:16 376:20 406:24,24 run 487:9 622:6 646:12 648:14,19 running 444:6 662:5 663:3 runs 560:7 rwuller@freeark... 296:10 R&D 338:7,20 417:24 422:14 485:9 549:2	481:21 485:13 488:9 594:15 610:11 sale 603:25 Salthouse 311:17 312:1,2,5 sample 397:7,18 532:25 533:4 585:16 642:5,10 642:13 643:12 645:11,15 646:4 646:13,15 647:6 647:13 648:6,11 samples 524:21 527:13 528:11 624:4,8 627:12 634:3 643:23 646:7 San 296:16 Santonox 360:3 393:18,25 466:20 467:3 468:15 469:5,14 470:5 478:11,13,15,22 482:15,20 483:4,6 483:9,10 490:1,2 490:6 491:2 494:3 494:4 495:14,18 495:21 496:1,22 497:5,25 498:22 499:12 569:20 save 617:24 saw 453:19 564:23 saying 332:25 371:21 382:19 411:19 470:13,17 487:20 504:25 538:10 589:4 628:5 643:11 says 307:14 308:18 325:9 345:25 347:2 359:15 372:3,11 380:9 386:21,22 395:19 396:23 397:19 399:14 410:15 411:10,18 414:22
--	---	---	---	---

Confidential - Subject to Protective Order

Page 702

420:25 425:15 446:12 450:9,11 462:15 463:23 473:4 474:7 478:3 478:5 485:12 489:6,22 490:24 497:2,12 498:6 500:21 510:4 511:7 515:2,6,12 517:18 532:24 541:14 543:1,24 589:24 602:11 604:16 618:11 622:5 623:8 624:7 625:12 633:7,13 639:5,19 642:14 643:25 645:16,16 646:3 659:13 660:24 scales 608:22 609:5 scalloping 657:17 Scandinavian 463:19 464:24 465:17 488:13 scanning 298:20 340:14 397:11,22 398:3 618:16 628:10,16 638:15 657:22,23 scavenger 496:6 schedule 577:18 662:19 scheme 475:20 568:6 609:21 schemes 592:12 Scherer 294:20 science 310:21 450:16 597:10 648:5 649:1 sciences 476:19 scientific 320:15 418:8,21 432:18 441:16,21,23 442:1 546:17,23 551:22 scientist 431:17 441:12 517:12	620:14 628:21 633:24 636:8 scientists 450:16 638:20,21,22,24 scope 329:9,25 330:18 335:3 341:25 343:16 349:13 356:7,14 357:7 362:7 364:19 369:12 371:15 373:4,10 388:21 389:9,25 390:20 393:1 398:19 399:1 401:7 416:10 418:15 420:11 426:12 427:13 428:24 429:7 430:6,22 431:4 436:12 439:24 440:15 443:21 448:2 454:9 455:8 456:21 460:6 462:10 463:5,21 465:2,12,20 467:13 484:6 487:18 488:2,17 490:23 491:6 493:2 494:18 510:19 511:20 512:10,13 514:3 516:18 518:1 520:3,17 521:9 522:2 524:1 525:5 526:6,16 527:7 528:16 536:10 537:8,17,24 538:8 538:16 540:21 541:20 551:20 552:23 554:1 561:1 562:17 563:14 620:12 scores 609:18 scoring 473:17 474:7 568:6 SCP 498:15 screen 336:24	414:17 scrutiny 574:19 sealed 516:4 search 337:9,13 338:20 422:13 597:20,23 598:6 searched 558:25 searches 337:10,18 337:19 338:6 422:11 549:2 sec 547:20 second 370:23 410:6 423:1 453:10 471:24 474:5 483:16 508:6 517:12 519:12,20 591:11 641:6 643:6 section 336:19 338:2 353:15 386:20 388:16 390:6 397:1,21 455:11 458:9 459:18,25 460:1 460:15 467:17 559:9 561:5 563:25 565:12 566:6,15 567:7,8 569:3 570:3 573:24 579:13 580:4 582:1 583:25 594:24,25 594:25 597:19 599:7 601:20 605:6 627:13 638:16 639:23 644:6 645:3 655:6 657:7 658:6 661:19 see 311:12 313:3 321:5 338:14 339:1 340:14 354:15 359:5 370:17,17 371:24 392:18 393:9 394:18 398:6 399:17,22 400:5,6	400:7,12 405:14 405:19 407:13 408:9,10,12 411:8 411:25 415:7 418:5 419:7 420:4 424:8,16 427:21 428:13,14 431:1 434:20 442:12 448:17 459:7 460:7 461:1 462:13,24 466:17 467:5,24 473:14 479:12 485:16 489:4,12 490:5,8 490:11 497:15,23 498:10,17 499:25 501:7 504:18 505:3 506:5 509:15 510:8,11 511:7 512:8 513:7 513:18,25 515:4 515:12,13,15,24 516:1,12,16,19 526:21 532:4 535:7,8 539:11 541:14,25 542:2 543:1,24 544:5,6 544:6,8 558:11 578:19 581:15 589:9 593:14,17 593:19,25 594:1 610:17 614:21 617:12 623:12 626:9 628:1 636:4 639:21 640:5 644:5 645:15 650:15 656:4 seeing 414:23 415:3 506:9 seen 310:6 350:9 351:9 361:16 367:4 371:16 374:23 397:6 407:12 412:21 414:2 427:3 428:20 453:9 454:2 462:12	466:11 478:11 484:11,20 485:11 485:24 486:9,10 486:11 492:7 505:25 508:19 509:22 516:15,22 523:24 531:25 541:12 565:5,7 573:15 582:9 592:11 595:23 639:22 647:13 650:13,14,22 651:18 653:4 657:6 659:1 selected 622:13 self-limiting 456:18 456:19 458:15,16 464:11 SEM 367:10 368:8 368:14 397:10,21 399:20 408:25 638:17 655:11,11 655:23,23 656:7 656:24 sense 346:9,12 sensitive 456:9 567:16 sensor 585:17 sent 370:13 sentence 307:20,20 343:15 406:2 separate 379:20 484:25 646:2 separately 617:25 September 321:10 462:7 626:7 Series 585:17 serious 621:20 set 470:21 597:23 610:16 setup 585:18 seven 299:7 302:6 302:10 395:19 399:8 404:4 417:6 515:18 583:8 622:7 623:24 624:10 629:7
---	--	---	--	---

Confidential - Subject to Protective Order

Page 703

seven-year 347:24 348:11,13,24 373:15 383:1,19 384:7,13 385:12 386:6 403:14,18 403:22,25 404:10 405:5 407:19 411:2 413:8 417:7 418:18,19 435:11 554:7 564:18 581:24 617:12,14 618:11 622:3,17 623:10 624:5 625:22 627:13 628:7 629:11 630:11 633:13 634:25 656:13,25 658:8,12 severe 318:18 470:23 473:13,17 475:23 479:11 480:1 482:17 500:3,5,10 504:13 505:8 573:11 severely 318:9 456:1 470:23 499:13 500:7 502:4,9 severity 453:14 473:13 609:17 Sewell 609:21 sexual 439:21 440:11 sfrancisco@bon... 296:15 shards 452:23 shed 518:3,5 586:1 588:10 649:2 shedding 532:25 sheep 325:7 sheet 361:17 456:5 481:21 665:6,8,11 667:10 sheets 558:13 shipment 386:25 shit 510:9 short 337:3 341:12	349:5 366:5 391:4 433:9 444:24 495:5 496:7 501:11 508:1 547:3,25 551:8 557:14 613:23 629:22 shorten 507:17 Shorthand 664:18 short-term 310:15 310:21 320:14,16 323:1 324:8 325:11 329:1 334:8 340:22 342:14,24 501:9 show 305:20 307:15 320:23 344:20 348:5 357:17 359:21 374:15 379:6 383:1 389:14 399:7,10,21 401:15,25 405:22 427:5,10 428:4,21 469:13 494:14 505:25 506:18 526:17 543:15 602:25 617:9 624:8,9 661:21 showed 310:5 316:6 318:16,17 318:18 323:1 328:10 329:21 358:14 360:3 361:22 390:7 392:9 399:12 405:12,23 406:6 407:2 416:8 419:7 419:21 421:6 423:4 426:7 430:3 451:6 455:25 461:7 491:16 492:8 505:12,18 506:11 507:3 508:23 554:8 598:17 644:19 651:4,5,13,23	652:2,9,12,14,16 652:24 659:13,19 660:1 661:21 662:2 showing 319:24 378:15 386:8 402:7 406:4 625:19 shown 347:17 401:1 426:5,24 608:22 shows 362:21 378:13 399:16 400:17 418:10 539:12 544:5 564:2 624:2 shrink 329:22 479:17,20,23,25 480:7,13,17,17,19 481:2 504:1 Sibylle 513:4 Siddighi 296:16 side 583:4 side-by-side 541:9 541:10,14 543:5 sign 664:11 665:7 signature 626:7 signed 476:23 612:9,24 627:1 637:2,11,12 638:19,25 significance 384:15 500:22 612:18 622:19 624:12 625:15 649:1 significant 374:12 376:2 437:4 454:12 605:25 606:14 622:16 624:10 625:19,24 628:7 630:22 633:13,18 634:25 635:4 641:25 648:5 657:5 significantly 467:4 467:10 468:3 signs 401:25	similar 354:2 359:17 411:1 470:21 472:12,17 512:1 519:11 524:22 536:23 562:14,20 577:16 581:10 583:5 623:25 simplify 330:24 simply 335:21 459:1 629:16 simulate 528:24 592:3 594:17 simulates 587:17 single 343:15 454:16 479:6 648:19 sir 402:19 411:22 419:25 427:11 449:20 451:23 452:21 454:21,22 457:18 458:5 466:5 468:14 536:13 545:14 660:10 sit 506:9 site 398:6 420:15 550:5 588:14 590:17 593:23 598:19 625:12,12 626:9,13 627:3,23 631:16 632:21 641:7 645:1 sitting 332:3 364:21 416:6 474:6 527:2 539:25 situation 522:21 six 378:12 426:16 625:12 sixth 376:21 542:13 six-month 315:17 590:20 size 313:19 315:3 321:12 324:25 325:2 331:15 524:18 552:1	622:11 624:4 sizes 331:18 skeletal 522:23 588:2 590:4 skin 515:16 536:5 575:24 576:17 slide 611:21 612:7 slides 326:6 328:14 610:12,16,21 611:2 612:6,17,23 slight 405:12 406:6 407:3 473:17 481:18 659:13,20 662:2 slightly 472:11,11 472:19 490:2,25 sling 538:5,12 Slings 301:15 sloughing 387:20 slow 327:9 444:5,9 small 331:2 379:19 515:4 smaller 641:24 645:1 smear 469:16 Smith 508:13 509:21 510:4 514:24 sodium 363:1 soft 323:24 324:13 324:19 331:8 332:22,25 333:2,2 333:17 523:8 524:21 533:1 535:1,10 537:3 539:6 588:2 592:1 softer 515:21 software 585:17 sold 487:2 solely 489:7 solubilized 483:15 solve 513:21 somebody 543:7 649:12 655:5 soon 510:5 662:5 SOPs 322:18 574:16
---	---	--	---	---

Confidential - Subject to Protective Order

Page 704

sorry 306:7 316:20 336:22 340:17 344:22 350:23 353:8 370:25,25 377:22 392:3 399:25 404:2 446:11,15 448:5 461:25 484:15 509:14 514:11 518:13 523:4,13 523:17 538:4 547:13 549:20 584:21 589:5 591:9,12 594:10 621:3 623:2 625:1 629:1 642:12 643:10 644:12 656:1	507:13 558:20 566:7 580:5 599:8 631:7 specimen 406:6 specimens 405:11 659:19 spectra 405:11,23 406:5 407:2 659:18 speeches 607:10 Speedwell 294:21 spent 602:18 spirit 322:14 spite 577:15 splinter 535:22,25 535:25 spoken 552:12 spokesperson 383:12 385:3,15 409:3 439:15 458:7 spreadsheet 611:5 stability 315:3 498:1 620:7 624:14 629:7 stabilization 416:19 stabilize 481:23 stable 356:12,18,22 398:12 430:20 stack 585:6 637:6 staff 548:24 stages 445:7 507:15 559:8 566:9 580:7 stand 343:17 standalone 382:2,4 standard 427:15 429:10 568:5 574:16 611:16,17 612:8 standards 578:12 578:13,14,17 stands 420:5 623:15 stapled 531:22 start 345:1 365:13 492:18 510:7	563:21 589:12 started 379:18 490:6 559:25 Starting 539:15 starts 329:7 state 594:22 665:5 stated 320:2 358:24 401:21 448:15 496:2 499:3 626:20 statement 304:25 305:3 312:20 320:20,22,23 334:5 336:20 346:13,14,15 354:23 373:6,9 376:25 380:6,23 391:8 409:11 412:7,20 413:16 413:20,24 420:5 422:9 426:21 435:21 441:15,19 447:15,21 450:9 451:23 488:5 490:11 597:15 602:24 626:22 659:13 states 294:1 345:3 602:4 603:25 steam 662:5 stearate 497:12 steps 328:1,6 sterile 397:13 456:5,7 473:11 sterility 314:1 sterilization 313:21 314:2 sterilize 503:16 sterilized 313:20 503:14 Steve 515:1 stiffer 550:7 stimulate 386:1 stimulates 379:22 Stipulations 303:10 stomach 606:7 stop 319:10,11	365:12 437:12,12 437:13 439:9 492:20 640:9,9,13 661:3,10 storage 497:14,19 498:24 straight 437:20,21 straightforward 319:7 strains 520:12 526:11 strand 387:14 454:17 646:10 strands 386:14 647:10 straw 619:3 streamline 360:18 Street 295:4,9 296:4,8 strength 323:23 355:19,22 356:10 357:1 373:14,17 374:8 382:14,17 383:9 384:19 387:7 389:13 413:2,5 417:11 424:3 435:18 436:6 440:22,23 449:10,13 451:20 452:7,10,18 454:15,19 523:8 555:14 565:19 585:21,23 588:1 605:10 606:11,20 619:2,5,8,16 620:4 621:9,10,13 621:15,20,23,25 623:16,17,18,19 623:23 624:9 628:17,22 stress 424:7 stresses 520:12 526:11 stretch 424:1 513:19 stretched 515:11 515:13 516:15	stretching 423:25 512:6 521:17 530:19 strike 319:16 334:19 339:2 341:15 342:6 350:24,25 364:13 369:7 371:1,1,5 376:3,13 388:5,12 406:10,11 440:25 444:12 449:17 454:25 464:22 469:1 472:18 490:16 493:10 494:22 502:17 557:6 563:2,17 579:21 583:17 586:22 589:11 607:7 629:2 636:4 string 370:7 424:19 514:13 strong 362:24 363:15,18,19 364:6 407:9,13 622:22 624:16 strongly 456:16 458:13 studied 331:8,19 354:14 364:13,13 380:2 381:19 434:12 studies 297:15,20 305:19 307:3,15 307:21 308:1,2,5 312:16,17 313:4,5 313:5 317:23 318:16 320:23 322:13 329:17,21 330:8,9,11 334:7 334:8,8,9,9,10,14 335:11 336:16,18 336:19 337:12 338:2,7,10,11 339:4,4 340:4,7,7 340:11,18 341:5 341:11,19,19 342:4,14,14,20,21
---	---	---	--	--

Confidential - Subject to Protective Order

Page 705

342:25 343:7,19	543:8,10,14,15	311:6,7 312:8,11	416:8 426:16	588:25 589:19
344:7,17,20 345:4	547:7 548:8,15,16	312:25 313:14,16	429:11,23 433:25	590:18,19,20,20
345:14 346:5	551:6,25 552:15	313:17,19,24	435:10,11,11	590:21 591:4,19
347:11,17,18	552:17 554:3,6,8	314:3,4,11,12,12	440:19 441:25	592:25 594:11,13
348:5 354:17	555:2,20 556:5,19	314:18,19,22,24	442:3,4,6 451:18	594:13,19 595:6,7
355:2 358:11	558:8,9 559:5,20	315:3,4,7,8,11,11	461:7 466:19	595:10,18,22,25
359:11 360:2	560:10 561:4,5,13	315:15,15,18	467:2,18 468:10	597:7,18,21,24
364:16 365:17,18	561:14,18,24	316:3,5,17,23,24	469:4,18,22,23	598:18 605:12
366:24,25 367:4	562:10 563:18,19	317:7,15,17,20	471:2,5,24 472:8	608:3,5,6,7,16,17
367:23,24 368:3,4	563:24 564:1,5,15	318:2,2,8,14,21	473:23 474:2,17	609:21 610:6,13
368:25 369:9,14	564:20,21 565:3	319:21 320:1,3,9	474:23 479:12,19	610:15,18 611:1
374:14,23 375:3,3	565:10,11,21	320:12,13,14,18	483:19 490:6,14	612:1,10,20,25
375:4,18 383:24	566:23 567:3,9,19	321:4,11,22,23,24	490:19,20 492:7	613:1 617:12,14
387:4,25 389:14	568:9,14,21,22,25	322:6,9,11,15,19	500:24 501:4,9,10	617:18 622:4
390:6 405:4	569:7 570:8,10,21	322:21,22,25,25	501:12 520:19	623:14 624:6
408:14 415:15	571:10,18 574:15	323:1,9 324:3,6,8	521:14 522:25	629:10,11 630:12
418:12,20 420:9	575:12 579:11	324:12,17 325:8	523:5 524:10,11	636:9,12 637:16
421:4,15,15,21	581:25 582:4,10	325:12,14,15,18	525:20,24 526:2	639:3 642:5
422:3,14,23 423:4	583:25 584:1,3,6	325:25 327:1,14	526:12,18,19,23	643:24 649:11
424:10 425:4,17	587:8 592:8	328:10,20,23	527:4,9,10,18	651:4,12,24,25
426:5,6,8,14,18	594:16 595:3,24	329:2,3,4 331:11	528:1,23 531:18	652:12,25 653:6
426:24 427:4,4,10	596:3,17,17 597:7	334:17,18,20	531:25 532:9,10	655:9,9 656:14
427:15,16,18,19	597:11,16 598:5,8	335:4,8,9,14,15	532:13,17,22	657:1 658:8,12
427:21 428:3,4,16	598:14,19,24	335:19,19,22,23	533:15 534:16,25	659:16,24,25
428:20 429:2,8,16	602:3,12,13,20,25	336:8,13,13	538:13,23,24,25	660:14 661:2,18
429:20,23 430:3,8	603:15,20 605:5,9	338:15,17 339:1,8	539:23 542:24	Studying 327:20
430:10 431:24,25	606:24,25 607:2	339:13,14,20	543:20 554:8	stuff 524:4 553:15
432:5 433:20	608:24 609:16	340:2,15,21,22,24	561:9,19,21,22,25	subacute 323:18
434:6,7 446:10	610:22 611:23,25	341:3,11,20 342:2	562:1,2,4 564:7,9	subchronic 324:11
448:21 451:6	614:20,23 615:6,8	342:14,15 347:25	564:17,19 565:17	325:13
459:14 462:17	615:12,15 616:13	348:3,10,11,13,18	566:20 569:9	subcutaneous
463:10 470:14,21	616:21 617:2	348:24 355:7	570:4 572:8,14,18	623:10
470:25 471:6,20	649:8 651:5	358:14 359:1,1,8	572:20,25 573:18	subcutaneously
473:6 478:3,25	652:15 653:6,15	359:11 364:22	574:6,7,9,11,12	353:24
480:1 488:15	653:20,21 654:3,6	367:7 368:5,13,18	574:12,18,20,25	subject 294:9
491:10 494:11,13	654:10,10,14,24	373:12,15,21	575:13,22 576:2	299:18 300:8
494:20 504:12	655:4,12,16	377:2,3,7 378:8	576:18,20,24	301:8,23 343:8,21
505:25 506:9,10	657:19,21,21	379:13,14 382:6	577:1,11,15	344:8 345:8,17
506:17 516:22	658:5 659:17	383:1,19 384:7,14	579:25 580:14	346:1,22 347:13
519:18,24,24	660:1,16 661:19	385:12 386:6,18	581:24 582:7,12	354:24,25 381:11
520:5,9 522:13	study 298:13,20	391:10,11,13,22	582:14,17 583:15	402:15 403:1
524:7 526:10	299:8 302:7,11	392:5,8,17 394:9	583:21,23 584:8	405:1 409:12
527:3,5 529:14	308:10,12,14,16	395:5 396:4 399:9	584:14,15 585:1	447:18,22 448:16
530:4,7,17,22	308:22,23,24	399:10,16 401:17	585:10,11 586:5	448:22 450:10
531:6 532:16	309:1,5,5 310:2,5	402:6,7,23,23	586:19,21,23	454:7 455:5 457:9
533:3,21 534:15	310:7,8,13,15,18	403:23 411:2,6	587:15,20,23,25	457:22 557:25
540:9 542:7,11,20	310:21,22 311:1,3	413:14 414:2	588:9,9,13,19,21	558:12 604:17

Confidential - Subject to Protective Order

Page 706

607:18 614:11 subjective 374:8 449:6 submission 445:20 446:23 447:5,24 448:8,11,14 449:22 455:6,20 462:23 463:11,12 463:18 467:8 520:6 556:4 568:19 581:8 582:7 submitted 307:24 307:24 448:11 561:5 627:17 submitting 463:17 Subscribed 667:17 subsequent 391:11 Subsequently 387:1 substance 344:16 345:4 358:8 504:13 566:13 571:14 583:6 602:20 604:7 667:9 substances 361:5 416:17 566:13 567:13 substantiate 379:1 substitute 315:20 substituted 489:6 substituting 488:25 substitution 489:9 subtle 393:14 Suburethral 301:15 success 515:20,22 suffer 347:19 sufficient 332:12 471:1 480:23 590:25 648:4 656:8 suggest 331:12 374:10 375:5,19 387:19 406:22 424:15 453:11,16	456:16 458:13 492:15 504:12 620:3 621:5 suggesting 396:11 396:15 406:13 456:8 544:10 645:25 648:1 suggests 502:18 579:19 Suite 295:4,9 296:4 sum 451:17 summaries 470:25 635:10 summarize 351:13 summarized 405:10 summarizes 397:4 summarizing 661:1 summary 299:13 323:9,16 471:5 473:1 502:18 516:2 517:5 625:21 635:10,14 635:15 637:3 Summers 295:9 Sun 362:18 Sunoco 361:16 362:16 Superior 294:16 296:16 supervision 664:24 supplemented 348:20 supplier 362:9,10 478:15 487:4 suppliers 362:9 support 303:2 307:6 339:5 340:5 342:21 343:7 344:7 345:15 346:5 354:23 429:8,18 434:15 464:10 519:20 543:14 595:3 supported 378:9 626:21 supporting 352:11	364:1 379:13 559:4 supposed 447:2 sure 312:3 321:23 326:16 348:21 404:5 446:17 461:2 475:3 486:13 508:20 517:13 532:7 580:18 598:7 654:8 surface 347:19,24 348:6,10 358:13 368:21 372:21,23 373:19,20,22,25 374:2,9,16,17 375:9 382:20,22 383:2,12,19,20 384:1,3,14 385:16 386:7,8 387:20,20 388:18 389:3,7,20 390:8 392:9,10 393:5,10,14,15 394:11,11 395:7,7 396:4,17,19 399:7 400:9,23 401:15 402:1,8 405:22 407:22 408:10,12 408:14,17,19 409:4 410:21,23 411:7,10,14,20,22 412:12,14 414:5 416:8 417:12 418:11,21,24 421:2,6,17 423:5 423:23 424:5 425:11,12,20,21 432:19,24 435:12 435:17,23 436:5,6 436:20 438:9 439:16 440:9,20 441:8,17,18 442:4 443:18 448:22 449:7,11,11,22 450:3 451:6 452:13 454:2,7 455:5 466:14	467:21 469:16 481:4,7,13 491:16 492:8 497:6 500:14 503:21 504:3,6 516:24 517:7,22,22,23,24 518:6 519:14,15 536:5 545:12,18 546:3,18 555:8 593:20,25 618:20 618:23 619:7 628:9,19 629:12 638:8 639:8,18 656:8,24 657:3,16 surfactant 478:19 502:22 surgeon 450:20 555:13 surgeons 388:25 389:2 436:4,16 437:2 454:11,18 510:8 557:2 606:9 surgical 321:25 333:10 427:18 477:18 535:1 537:2 surrounded 535:19 surrounding 361:5 383:14 394:2 431:24 433:1 459:2 468:24 521:18 550:8 566:14 567:14 569:2,12 580:15 588:24 589:22 657:10 SUSAN 296:13 susceptible 353:17 424:6 425:12,21 430:17 435:6,12 435:16,23 436:20 442:25 516:23 517:7,23 suspect 521:12 sustained 596:15 suture 298:19 308:1,2,5,10,12	308:14,16,20 309:4,5,10,16 311:2,3,6,14,15 312:8,11,17 313:5 313:11,14,16,24 314:4,12,12,18,21 314:22,24 315:2,4 315:8,11,15 334:7 340:7 341:5 342:4 342:5 348:10 351:4 352:17 354:13 372:25 373:7 375:25 378:18 379:18,18 386:11,14,15 387:17 391:14 392:24 393:14,23 394:2 397:10,12 399:5 401:14 407:23 412:4 413:17 426:14,15 427:6,17 428:18 428:19 429:9,10 429:19 432:4,15 449:8 453:12,16 454:16,17 456:16 458:13 466:13 467:19,23 468:6,7 468:13 469:17 488:19 493:6,8 494:15 503:2,5,18 519:9 536:15,16 536:18,19,21 560:21,24 561:6 561:10,16 562:4,6 562:13,24,24 563:7,7,9 564:11 564:12,13,23 565:6,16,17,20,23 565:24 566:1 568:16 570:12,18 570:18,24 575:22 579:4 581:8,11,17 581:19,19,20 582:9,24 603:12 603:16,25 604:7 604:22 605:1,20
--	---	---	---	---

Confidential - Subject to Protective Order

Page 707

606:1,2,2,5,18 615:12,19 616:4 616:15 621:18,19 622:9,11,11,13,17 623:22,24 625:8 626:12,13,17,18 627:3,11 628:6 629:7 631:17,19 632:13 638:9 639:9,20 643:13 644:17 sutures 298:14 309:2,23 311:10 314:8,15,16 352:21 353:12 377:8 378:10,22 379:5 386:24 387:4 392:10 394:11 395:6 396:3,4 397:7,24 398:5 399:13 400:9 402:8 405:5 405:21 407:10 408:3 424:1 431:10,11,11 490:15,18 492:8 492:23 497:7 556:3 560:21 564:11 565:13 578:23 579:21 582:5 599:18 605:14,22 615:4 622:7 624:5,14 625:23 626:9 629:13 630:21 631:2 644:17 648:15 655:11 swaging 386:13 536:17 581:18 Swine 328:23 335:15 Switzerland 511:10 513:15 sworn 304:12 664:6 667:17 symptoms 459:6 504:18 614:17	system 294:5 446:23 456:9 473:17 474:7,24 500:23 567:17,18 567:19 629:8 systems 420:19 502:23 567:16 T T 297:10 298:2 299:2 300:2 301:2 302:2 409:24 666:1 tab 308:9,12,14,16 308:18 309:13 311:12,14,14,17 311:19,21,22,23 312:1,5,11,24 313:10 317:21,22 323:15 324:5 325:10 330:20 335:12,20,21 340:9,9 348:13,20 352:6,19 377:19 565:15 577:2,2,24 577:25 578:1 584:8 603:6 605:11,17 table 397:4 399:15 539:11 586:4 589:14 624:2 tabs 297:17,22 306:24 307:5 574:5 take 305:5 325:19 328:1,5 329:6 349:2 360:6 371:17 395:11 437:14 471:3 532:5 551:16 557:10 613:17 629:18 640:4 taken 294:16 438:25 530:1 625:7 626:20 takes 609:25 talk 329:17,19	348:3,23 357:17 365:22 404:13 431:7,9 434:5 442:19 445:19 464:17 469:18 482:11 486:20 491:9 509:5 517:14 524:6 539:6 543:8 549:6 549:14 550:9,24 551:5 552:18 561:8 568:14 581:12 584:7 592:20 600:17 601:2,15 608:18 640:6,8 641:6 642:3,7 645:21,22 649:3 650:11,17 650:18,21 651:8 651:19 652:21,22 653:5 talked 304:24 336:15,16 433:16 448:25 519:8 552:5 568:18 569:16 570:13 581:13 582:13 598:25 606:10 608:4 609:22 610:25 611:4 628:8 talking 310:2 335:10 337:20 353:5,7,11 377:4 382:1 387:19 415:11 417:4 421:9 444:2,3 453:9 461:14 484:21 485:25 486:1,3 495:13 506:6 522:7,10,11 523:2 525:8 530:10 576:25 613:10 talks 384:14 388:17 486:13,24,25 605:19 635:20	tape 304:6 366:3,8 433:4,7,12 494:24 495:2,8 512:2,17 512:17 513:6,15 513:18,19,22,23 513:23,25 516:4 516:15 520:10,13 521:17 524:19 540:23 544:15 545:2,17 546:14 548:3 584:11 592:4 613:20 614:1 663:20 target 516:3 task 610:2 technical 578:1 TECHNICIAN 296:18,21 TECHNOLOGI... 294:24 Telefax 300:13 TELEPHONE 296:1 tell 327:18 332:15 332:21 437:17 561:12 580:8 586:9 609:8 611:17 619:22 620:6,25 643:2 645:7 650:17 655:8 telling 373:24 374:5 389:22 438:7 656:6 temperature 354:2 358:8 359:18 421:11 479:16,20 479:24 480:7,17 480:18,22 temporary 556:11 ten 298:19 299:7 302:11 354:4 373:1,8,16 412:5 413:18 622:4 tensile 355:19,21 356:10 373:14,17 374:7 382:14,17	383:9 384:18 387:7 389:13 413:1,4 417:11 435:18 436:6 437:1,1 440:22,23 449:10,13 451:20 452:6,10,18 454:15,19 555:14 565:18 585:21 606:11,20 619:1,5 619:8,16 620:4 621:9,10,13,15,20 623:18,20,22,25 628:17,22 tension 512:5 520:23 tensioning 512:16 512:17 tensions 528:12 ten-year 348:13 391:22 392:5 403:22 411:2 412:22 417:7,7 418:20 435:9,10 490:6,14,19 491:14 492:7,24 554:8 590:20 618:11 624:6 term 334:9 341:12 358:1 363:19 501:11 terms 327:5 344:15 374:11 407:23 449:11,12 473:19 493:24 519:12 530:19 550:7 553:22 573:6 638:9 639:9 terrible 444:7,16 444:17 513:23 test 318:15 321:9 455:15 456:8,9,11 470:20,21 472:8 473:10 482:19 500:6,10 529:10 538:20 567:2 571:13,24 575:9
---	--	---	--	--

Confidential - Subject to Protective Order

Page 708

575:16 576:6,12	423:21 460:2	449:20,25 450:6	333:13 334:12	411:15,23 412:8
576:16 584:18,25	473:2 480:10	451:2 452:12,12	335:2 336:4,10,22	412:15,23 413:10
585:25 598:12,12	571:3,4,5 577:4	457:2 461:21	337:17,23 339:16	413:21 414:3,9,14
608:15,19 643:22	578:6 591:5,20	468:18 478:13,16	339:24 341:24	415:17,22 416:9
648:11,20 650:25	600:16 607:3	478:18 487:4,19	342:10 343:1,12	416:25 417:14
tested 318:9 467:9	614:17 624:1	487:20 488:4	344:1,12,25	418:14 419:13,22
470:7,16 482:12	655:17 657:24,24	491:10 497:11	345:23 346:7,24	420:10 421:7,23
482:16 483:20	text 539:5	504:22 508:23	347:7,21 348:7,19	422:5,19 423:7,13
492:9,23 499:13	Thank 378:3	512:20 514:17	349:1,12,21 352:7	423:17 424:23
500:2,7 537:12,14	406:24 410:7	522:16 523:18	353:6 355:5 356:6	425:5,13,22
567:15 586:1	441:5 447:1 466:9	531:11 532:2,15	356:14,19 357:6	426:11 427:1,12
testified 304:12	499:19 531:23	537:25,25 538:9	358:5,16 360:12	428:7,23 429:6
392:9 400:15	539:1 553:19	539:9 540:24,25	360:23 361:8,13	430:5,12,21 431:3
430:18 443:6	589:5 663:17	541:13 552:9,12	361:18 362:6	431:13,21 432:6
466:24 471:10	thanks 418:7	553:5 555:9 557:1	363:4,16 364:18	432:21 433:8,13
620:13 656:15	489:17 628:13	557:2 558:16	364:23 365:4,12	434:1,21 435:14
testify 445:4	663:18	560:1 576:19	365:19,24 366:4,9	435:24 436:11,22
465:15 502:17	theoretical 443:22	582:25 583:12,12	366:10,19 367:1	437:8,12,14,17
507:19 543:11	443:23 444:12	583:22 586:14,16	367:18,25 368:9	438:12,15,18,24
568:14 663:6	thereof 549:15	590:24 595:5	368:15 369:1,11	439:5,9,23 440:14
testimony 297:4	thermal 490:3	599:3 611:9 619:2	370:7,8,10,11,15	441:2,5 442:13
319:13 401:4	495:19 496:23	620:17 629:6	370:24 371:2,9,11	443:9,20 444:5,14
437:18 497:4	thickness 589:10	630:12,15 631:24	371:14,22 372:4	445:10,23 446:3
503:25 664:7	592:7	634:7 638:4	373:3,10 374:3,20	446:11,18,24
testing 429:13	thin 305:23 603:3	643:19 644:8	374:25 375:15	447:1 448:1,23
437:1 440:4,24	thing 379:10 478:9	649:20 654:17	376:9,20 377:4,10	449:23 450:4,12
445:5 447:10	489:16 562:7	659:21	377:14,23,25	450:25 451:8
455:23 457:20	658:24	thinking 316:16	378:3,24 379:4,9	452:2,15 453:2,6
458:9 473:16	things 330:9	488:19 524:3	380:7,15 381:1,23	453:22 454:8
476:5 478:5 479:9	440:19 487:24	third 353:9 397:21	382:10,23 383:4	455:7 456:20
481:17,24 502:3,8	504:17 534:13	500:10	383:16 384:4,11	457:2,6,13,24
507:14,16,20	537:14 543:18	thirty 665:12	384:25 385:5,18	458:23 459:20
519:22 529:24	think 316:11,16	Thomas 294:14	386:3 387:11	460:5,23 461:9,23
537:19 558:20	321:16 325:8,20	295:7,8 297:4,6	388:10,13,20	462:9 463:4,20,24
559:1,9 563:21	326:16 332:9	304:7,11 306:13	389:8,24 390:9,19	464:3,16 465:1,9
565:18 566:8	343:14 344:1	306:18 307:2	391:23,24 392:12	465:12,19 466:3,8
576:13 578:6,18	351:10,24 352:18	309:17 310:16,23	392:25 393:6	467:12 469:7
578:23 580:6	361:20,22 366:17	312:18 313:6	394:4,13,23 395:3	471:10,14 473:24
581:1 585:15	366:19 369:24	316:8,14 317:1,10	395:9,22 396:6,20	474:10,15,20
586:25 587:2,3	372:4 379:9 385:7	317:13 318:12	398:14,18,25	475:2,17 476:2
595:4 599:9,14,18	385:11 389:2,5,11	319:1,5,10,17	399:24 400:10,19	480:3,14 482:5
601:3,9,17,23	389:18 393:2	321:13 323:3,10	400:25 401:6,19	483:24 484:5,13
602:3 623:9,20,22	394:6 395:2	326:1,8,17,21	403:3,10 404:1,3	484:21,25 485:25
623:25 625:8	404:19 412:17	327:2,9,18 328:3	404:9 405:15,25	487:17 488:1,16
646:11 648:14	415:2 432:13	328:16 329:8,13	406:8,15,17,23	490:9,22 491:5,12
656:11	434:10 441:12	329:24 330:13,17	407:15 408:6,22	491:18,25 492:11
tests 355:12 421:1	443:11 445:25	331:4,20 332:7,17	409:6 410:5 411:4	492:16 493:1,18

Confidential - Subject to Protective Order

Page 709

493:21 494:17	604:4,10 605:4	331:13,24 332:14	407:18 408:8	490:12 491:1,8,14
495:4,9,23 496:12	607:4,10,14,25	332:20 333:15	409:1,9,14,20	491:22 492:2,4,13
496:16,25 497:21	609:12 613:14,17	334:16 335:6	410:3,8 411:9,21	492:21 493:10,12
498:3,12,18 499:1	613:22 614:2,4,15	336:7,14 337:14	412:2,13,19 413:6	493:19 494:1,22
499:16 500:17	615:2,22 616:11	337:21,25 339:19	413:15,25 414:7	495:11 496:9,14
501:21 502:13	617:8 618:5,9	340:3 341:15,17	414:12,18 415:19	496:20 497:3,24
504:8 505:14	619:21 620:17,23	342:6,8,12 343:4	416:1,13 417:3,18	498:7,14,20 499:5
506:3,13,21 507:6	621:8 622:24	343:24 344:2,23	418:17 419:17,24	499:18,21 500:20
508:17,23 509:12	623:3,4 624:18	345:12 346:3,10	420:20 421:13	501:23 502:15
509:24 510:18	625:2,5,25 629:9	347:3,15 348:1,15	422:2,7,21 423:3	504:16 505:16
511:2,19 512:9,19	629:18,25 630:15	349:8,18,25 350:3	423:11,15,18,20	506:7,16 507:1,11
514:2,10 516:17	631:4,22 632:14	350:7 352:9 353:8	425:1,9,18,25	507:23 508:4,12
516:25 517:25	633:10,21 634:7	353:13 355:11	426:19 427:7,23	508:25 509:2,19
518:11,13,17,23	634:14 635:5,19	356:11,17 357:3	428:10 429:1,14	510:3,21 511:3,23
519:2,5 520:2,16	635:25 636:19,24	357:15 358:9,20	430:9,15,24 431:6	512:12 513:1,11
521:5,9 522:1,14	637:6,19,24 638:4	360:13 361:1,11	431:16 432:1,10	514:7,11,12
523:2,5,15,25	638:12 639:10	361:15,24 362:15	433:15 434:4	516:20 517:2
525:4,13 526:5,15	640:9,13,16,20,24	363:6,23 364:20	435:1,20 436:8,13	518:9,14,19,25
526:20 527:6,15	641:2,9,22 642:23	365:6,15 366:12	437:6,9,15,22	519:4,6,21 520:8
528:15 529:17	643:5 644:2,8,23	366:14,22 367:9	438:13,17,21	520:25 521:7,19
530:8,23 531:9,23	645:6,19,24	367:21 368:7,12	439:1,3,7,11,13	522:4,15,18 523:4
533:6 534:4,21	646:17,22,25	368:23 369:5,15	440:7,25 441:6	523:6,17,20 524:8
535:4,12 536:9	647:20 648:8	370:20,25 371:4,6	442:17 443:13,24	525:9,17 526:9,22
537:7,16,23 538:7	649:18 650:3,6,8	371:12,19 372:1,6	444:11,20 445:2	527:1,11,21
538:15,22,24	650:20 651:7,14	372:10 373:5,18	445:12,18,25	528:19 529:19
539:1 540:11,20	652:4,18 653:7,11	374:13,22 375:7	446:5,14,19,25	530:15 531:3,16
541:19 543:2,10	655:24 657:25	376:3,4,12,16,22	447:3,4 448:4	531:21,24 533:7
543:17 545:21	658:14,19 659:3,9	377:6,12,16,20,24	449:2,17,19 450:2	534:8,24 535:6,21
546:8,20 547:12	660:3,7,19,23	378:6 379:2,7,11	450:8,21 451:4,12	536:12 537:11,20
548:4 549:8,16,20	661:5,10,13 662:6	380:12,19 381:2	452:11,20 453:4	538:3,11,19,23,25
550:18 551:8,18	662:11,23 663:11	382:3,18 383:6,10	453:18 454:4,20	539:2 540:15
552:9,16,19,23	663:17,21 664:10	383:22 384:8,21	454:25 455:2,12	541:7,23,24 543:4
553:2,9,12,16,19	667:14	385:2,9,21 387:8	456:24 457:4,9,17	543:6,13,23
553:25 554:14,24	Thornburgh 295:3	388:5,6,12,15,22	458:3,4 459:5,22	545:25 546:16,24
555:10,23 556:12	297:5 304:17	389:17 390:3,14	459:24 460:18	547:6,13,17,19
557:10,20 561:3	305:12 306:15	390:21,25 391:7	461:5,16,25 462:2	548:6,14 549:12
562:21 563:5,11	307:12 309:21	391:17,21 392:1,2	462:14 463:6	549:18,22 550:20
563:16 565:9	310:19,25 312:23	392:16 393:4,11	464:7,20 465:4,13	550:21 551:9,10
566:5 570:20	313:8 316:10,19	394:8,17 395:1,4	465:23 466:1,5,10	551:15,21 552:14
572:4 579:6,17	317:5,11,16	395:14 396:1,10	467:14 469:1,2,12	552:17,20,25
580:3,20 584:12	318:19 319:3,6,15	396:24 398:16,23	471:17 472:18,20	553:4,10,14,18,20
584:16,20,23	319:18 321:18	399:3 400:1,2,13	474:4,14,18,22	554:5 555:6,16
591:16 592:15	323:7,21 326:5,11	400:22 401:2,8,22	475:12,22 476:4	556:9,20 557:6
594:9 595:19	326:19,24 327:7	403:7,13,17 404:2	480:9,25 482:6	560:25 562:16
597:4 598:10	327:16,25 328:7	404:7,12,22	484:3,9,15,17,23	563:1,10,13
599:4 600:22	328:18 329:10,20	405:16 406:3,10	485:1 486:3,8	564:25 566:3
601:22,25 603:19	330:3,14,21	406:16,19 407:1	487:23 488:11,21	570:16 572:2

Confidential - Subject to Protective Order

Page 710

578:25 579:15	Thread 299:16	663:3,4	535:10,19 536:21	432:12 434:8
580:1,11 584:5,18	300:6 301:6	times 439:6 531:12	537:3 541:2	445:22 453:7,11
584:22 591:9,13	threat 519:25	540:4 583:8	542:21 544:13,15	454:3 484:10,11
591:22 594:5	three 325:9 327:21	611:23 653:8	545:6,7,9,15,16	489:23 506:10,18
595:16 597:3	331:4,7 341:6,6	654:15 661:25	546:13 550:8,24	519:16 524:5
598:1 599:2	360:12 373:11,12	tiny 535:24	550:25 555:25	525:21 527:2
600:20 601:20	426:14 427:4	tired 630:7 662:13	556:7 561:9,14,16	530:18 532:11
603:10 604:3,8	428:16 429:23	662:14,16,17,21	562:4,8,9,12,19	539:25 543:22
605:2 606:22	439:6 440:19,22	663:16	564:2,6,15,16	544:2 550:17,19
607:6,12,23	449:5 466:16	tissue 305:23,25	565:1,3 569:11	551:13 553:8
609:10 613:9,15	492:17 531:12	310:21 311:3	572:9,14 573:1,13	558:5,9,15 564:22
614:6,12,25	561:15 574:2,23	313:11,21 315:7	576:20,21,23,24	568:18 579:14
615:20 616:10	623:5 626:9,13	315:10,14,17	577:1,14 578:7	583:8 600:17
619:11 620:11,20	627:3 636:20,24	316:3,22 317:3	580:15 582:15,18	601:15 602:9,15
621:2 622:21	637:1,1,10,12,15	318:3 320:4,18,25	582:25 583:1,2,9	608:19 609:24
623:1 624:15,25	638:20 639:2	321:6,23,24	583:19 584:2	617:16 618:6
625:4,17 629:5,15	three-dimensional	322:22 328:24	588:15,22,24	630:7 647:3
630:5,16,18 631:8	596:14	334:6 335:16,24	589:10,20 590:3,4	651:25 661:25
632:1,18 633:15	three-folder 352:6	336:1 343:9,22	590:25 591:5,20	663:1
634:5,8,18 635:8	three-month 325:6	344:9 345:9,18	592:7,25 593:15	told 368:24 369:6
635:23 636:1,22	time 310:11 312:14	346:2,17 347:14	595:8,12,14,22,23	369:13 423:7
636:25 637:9,21	323:19 332:4	355:1 361:6	596:5,11,15	424:18,20 464:16
638:1,5,18 639:14	351:14 388:3	364:11 375:19	598:13,15,17,21	650:8
640:11,14,18,21	389:16 391:3	377:1 379:24	598:23 603:3,5,16	Tom 371:2 403:4
641:1,5,13,16,19	418:11 419:4,18	380:4,10,13,20	603:20 604:18	418:7 641:10
642:2,24 643:1,6	448:7 453:14	383:14 386:22	605:13,20,24	tomorrow 553:8
643:7 644:5,11	461:12 468:20	387:24 388:2	606:6 607:19	tools 600:12
645:3,8,21 646:1	476:16 481:10	389:6,14,15 394:2	608:23 614:22	top 360:7 386:19
646:20,23 647:2,4	484:19 486:17	402:16 403:2	655:9,19 656:16	386:22 502:7
647:22 648:9,12	494:20 510:5	405:2 426:17	tissues 305:21	593:16,24 633:4
649:21,25 650:5,7	513:21 519:5,17	427:6,16 428:17	307:16 468:25	topic 307:4 338:13
650:10,12,16,23	519:19 525:24	429:4,4,10 432:25	566:14 567:14	343:14,19,20
651:10,16 652:6,8	542:13 552:8,10	433:2 441:24	569:2,12 589:22	351:13 354:24
652:23 653:9,12	552:11,21,21,23	447:17,23 452:19	603:1 657:11	457:25 458:2
655:25 658:3,16	552:25 557:9	452:25 453:12,17	title 350:22 351:1	topics 338:8,12
658:21 659:7,15	560:1,20 561:17	458:19 459:2,3,11	593:16	359:23 422:16
660:5,9,21,25	562:8 563:21	459:16 460:13	titled 297:14,19	431:9 446:10
661:7,11,14,17	564:4,13 565:2,4	466:15 467:3,10	302:6 557:24	458:1 547:8,9
662:9,16,21,24	565:20 567:4	467:25 468:2,22	559:6 576:25	548:9,17 549:24
663:15	573:4 578:20	469:14 478:12	577:19 622:3	549:25 558:3,12
Thorpe 296:15	590:25 596:18	493:24 498:9,9	623:6	654:13,18,20
thought 316:20	598:17 602:18	501:2,5,13 502:10	today 304:4 329:12	total 451:18
366:12 383:6	605:23 606:1,4	504:15,19,22	330:5 332:3 353:1	totality 404:4,6,9
416:11 456:24	607:9 611:22,24	505:9,23 515:16	357:17 358:11	454:11
522:7 645:24	612:1,5,14 617:24	519:10,17,19	359:2 394:18	totally 393:9
656:1	618:16 641:10,10	521:18 533:10	426:10 428:3	462:13,25
thousand 419:6,20	662:11,20,25	534:1,3,11 535:2	429:3 430:3,11	toxicity 324:11

Confidential - Subject to Protective Order

Page 711

462:18 463:2,8 toxicology 351:10 TR 300:8 track 340:10 trained 610:1 training 613:3 transcript 294:13 344:4 664:12,22 665:13,14 transcription 667:6 transient 305:22 307:17 320:25 334:7 336:21 338:3 339:6 341:1 341:22 342:23 556:15 596:10 603:2 transitions 596:12 transitory 340:6 342:17 447:17 translate 437:4 translated 513:13 571:19 573:12 translation 300:18 505:23 511:9 trauma 414:25 treat 661:12,14 treated 437:25 treatment 503:7 trial 296:21 325:6 463:19 464:25 465:18 553:1,11 Triclosan 320:19 321:5 trilaminate 324:18 trivial 452:8,8 true 373:2,9 412:7 412:20 413:19 535:9 546:1 583:11 633:19 646:18 664:6 truth 519:22 640:23 try 330:24 360:18 384:22 406:12 513:24 516:3 526:10 529:3,9,22	530:2,3 544:23 545:4 590:10 610:20 617:24 630:8 636:4 660:12 trying 320:7 326:19 336:23 395:13 415:24 436:3 454:10 477:5 528:21 531:6 553:4,7,14 627:20 662:13 Trzewik 550:11 Trzewik's 550:16 tubing 479:17,20 479:23,25 480:8 480:13,18,19 481:2,12 504:1 turn 352:22 362:2 362:19 378:11,19 409:21 424:4 447:11 455:13 622:25 626:4 630:11 631:9 turned 338:10 TVT 316:4 317:8 318:4,9,22 319:24 320:5,8,11 321:20 324:15,20,23 325:3 327:14 330:24 331:16,23 333:6,21,24 334:1 334:19,21,23 338:22 339:22 340:5 341:1,21 342:17,22 344:19 345:7,16,21 346:6 346:16,22 347:12 351:17,20 352:12 354:18 355:4,7 360:22 362:5 372:14 377:1 385:17,24 388:19 389:3,7 402:10 408:21 422:10 436:20 443:8 445:6 447:6 448:8	448:21 456:7 460:4 461:22 462:6,15 464:12 465:7 468:21 469:24 470:3,12 470:15,19,22 471:22 472:23 473:5,11 476:6 479:21,25 480:11 481:17 487:16 490:21 491:15 492:10,25 499:8 500:25 501:2 502:20 503:13,25 504:3,19 505:4,12 505:12 506:18 507:14,20 508:15 509:11,23 510:15 512:17 513:5,15 515:3,7,8,18,20 515:22 516:23 517:23 523:22,24 524:19 525:8 526:3,3 537:13,21 538:5,12,20 539:16,19 540:2 540:18 541:11,11 558:20,23 559:2,3 559:6,21,22 560:11,13,24 563:22 566:8 567:5 568:19,19 571:24 572:10,15 572:21 573:1,19 577:16,21 578:3,4 578:18 579:5,8 580:6 584:11 588:6 592:2,4 595:4,13 599:9,22 600:10,16,18 601:18 602:4,23 608:8 TVT-A 600:7 TVT-E 600:5 TVT-O 510:10 515:3 599:25 TVT-Retropubic	445:21 446:23 448:12 529:2 TVT-S 315:22 TVT-Secur 600:2 TVT-X 325:7 twice 453:7 646:5 two 318:16 337:10 337:19 338:6 341:5 347:10 354:22,22 365:2 375:5 378:17 379:17 384:19 395:19 396:3,8 399:8 440:20 447:16 459:15 462:16,18 466:20 467:2 471:6 480:10 489:24 501:14 502:8 515:6,9 516:21 518:7 519:3 561:19,21 590:12 606:12 619:17,20 625:3 627:3,23 631:16 634:16 641:7 645:1 647:10 649:6 650:19 661:8 two-week 587:20 587:23 588:9 590:18,19,24 591:4,18 594:12 two-year 399:10 561:25 562:2 565:17 type 520:12 549:3 types 355:12,12 typical 323:17 typically 420:13 T-2017 446:7 T-2111 362:1 T-2130 587:19 588:9 589:1 T-2132 472:22 499:6 T-2133 523:1 589:13 594:13	T-2242 576:25 592:24 597:18 611:1 T-2247 595:6 T-2248 297:14 307:9 T-2249 297:19 307:11 T-2250 298:6 350:6 T-2251 298:12 378:5 T-2252 298:18 391:20 T-2253 299:6 403:16 T-2254 299:11 466:7 T-2255 299:16 508:11 T-2256 300:6 511:1 T-2257 300:13 512:25 T-2258 300:18 513:10 T-2259 301:6 514:6 T-2260 301:12 531:20 585:12,14 594:12 T-2261 301:19 541:6 T-2262 301:23 548:13 564:7 565:12 580:5 T-2263 617:7 T-2264 618:4 T-2265 302:15 649:24 T-263 302:6 T-264 302:9 T-305 484:18 T-3185 461:19 T-4012 370:3 <hr/> U <hr/> ulceration 459:12 504:24 505:9 614:18 615:8,12
--	--	---	---	---

Confidential - Subject to Protective Order

Page 712

616:20,22 ulcerations 459:9 504:20 505:5 507:5 Ulmsten 318:4 464:23 465:6,16 572:15 573:19 608:6 ultimate 610:12 ultimately 603:24 604:5 613:6 ultra 516:11 ULTRAPRO 331:9 334:25 335:24 336:3 338:16 339:9,10 ultrasonic 592:2 ultrasonically 524:21 unacceptable 583:20 584:2 unappreciable 546:15 uncertain 480:22 unchanged 393:23 uncracked 407:7 undeniable 662:3 undergoes 503:25 520:13 undergoing 520:12 understand 327:5 369:3 383:25 404:12,13 415:3 415:20 417:1 419:9,25 420:17 424:14 425:16 468:11 477:6 479:1 483:22 489:15 491:11 493:22 501:25 513:20 530:3,3 542:3 558:22 562:22 609:11 612:21 625:6 635:12 648:23 651:21 653:11 662:6	understanding 337:9 356:2,3 357:13 362:3 419:16 420:1 477:23,24 489:13 494:4 530:21 632:25 understands 654:9 understood 365:21 556:1,14 underwent 606:18 unequivocally 397:6,16 uneventful 452:7 unimportant 452:14 Union 578:3 unit 322:16,20 513:14,16 United 294:1 603:25 University 369:22 372:12 378:8 381:15 410:16 updated 309:22 578:2 upfront 510:8 upside 400:3 Urology 295:12 USDA 597:11 use 306:21 344:19 345:7 388:25 390:13,25 436:2 439:18 456:15 458:12 479:16,23 489:22 535:1 537:2 539:23 562:16 566:1 585:24 597:13 598:3 602:23 603:24 604:21 605:23 647:8 useful 334:24 388:25 436:17,24 437:2 440:5 450:19 454:16 533:25 555:12	user 436:3 450:20 users 436:16 440:6 460:8 USP 473:16 474:7 474:24 481:18 568:5 usually 459:13 512:2 <hr/> V <hr/> vaginal 506:20 517:21 valid 590:21 594:3 validated 489:9 493:7 value 594:18,21 values 533:1 variant 324:21 variation 567:4 variety 608:22 various 294:8 349:10 353:20 364:3 468:11 485:9 487:3 558:10 574:20 598:16 608:24 609:5 varying 443:2,3 vast 307:25 308:4 312:15 313:4,4 334:4 432:2,4 653:24 vendor 489:11,16 verbatim 344:15 verge 556:15 version 309:20,22 309:23 331:11 466:3 578:12 versions 577:8 versus 314:5 489:2 543:5 590:4 632:9 633:5 viability 568:5 vicinity 379:22 533:17 535:18 video 305:10 337:2 337:6 349:4,6	366:2,7 391:2,5 409:16,19 433:5 433:11 444:22,25 495:1,7 507:24 508:2 547:1,4,24 548:1 557:13,15 613:19,25 629:20 629:23 VIDEOGRAPH... 304:2 305:8,10 337:1,6 349:3,6 366:1,6 391:2,5 409:15,18 433:3 433:10 444:22,25 494:25 495:6 507:24 508:2 547:1,4,23 548:1 557:12,15 613:18 613:24 629:20,23 663:19 videotape 296:18 304:7 366:4,9 433:8,13 495:3 613:21 614:2 663:20 videotaped 294:14 495:9 548:4 Virginia 294:2 295:9 486:25 virtually 380:18 viscosity 642:11,16 642:17 643:15 visibility 498:16 visible 564:14 visualized 368:21 580:15 vitally 462:15 vitro 318:15,17,18 456:2,12 460:2 461:7 470:12,12 478:23 480:23 483:5 502:23 504:11,14 505:17 505:19 532:8 567:8,16,17,18,20 568:9 571:3,5,13 571:19,24 573:2	575:13,17 577:15 587:7,11 vivo 298:19 318:21 319:25 320:8,10 347:17 354:20 364:16 398:9 399:5 401:13 434:16 442:7 461:14 495:22 497:10 500:23 504:12,15 505:4 526:22,24 535:17 568:21,22 569:9 570:3,8,10,21 571:3,15,18,20 572:21 573:8,20 576:6,13 579:24 587:8,11,15 594:16 608:9,13 629:8,8 volume 294:6 297:16,21 298:8 304:6 306:18,23 307:2 317:24 366:3,8 415:5 433:7,12 482:20 495:2,8 500:6 548:3 613:21 614:1 volumes 306:14 volunteered 614:6 vs 296:15 301:21 Vypro 321:9,9,12 575:1,2,3,4,7,9,12 575:15,17 576:20 577:7,8,12,14 <hr/> W <hr/> wait 370:24 377:14 394:23 463:24 493:14 waiting 463:17 walked 429:3 430:2 430:11 530:17 wall 506:20 want 312:14 326:22 329:17 335:9
--	--	--	---	---

Confidential - Subject to Protective Order

Page 713

356:19 364:24	515:20 524:22	642:11,20 644:3,7	330:19 335:10	360:25 361:22
366:11 372:8	560:8 563:20	644:10,16,18,20	369:24 373:13	362:8 363:18
376:6 377:10	568:8,21 570:7,22	645:20 646:14	387:25 392:6	364:25 365:21
384:22 394:15,24	581:5 596:14	647:10,11,13,18	428:16 447:15	367:3,20 368:2,11
395:11 434:13	603:17 632:7,24	647:23 648:1,21	448:19,25 453:10	368:17 369:3,13
445:10 446:1,16	642:3 649:16	weights 625:13	454:2,3 464:16	370:18 371:16,24
447:11 464:6	660:13	627:7 634:4	471:2 478:9,11	372:8 373:11
469:20 473:25	weak 405:13	welfare 597:12	494:24 502:1	374:5 375:2,17
474:15,20 492:18	weakening 343:9	wells 567:25	516:22 519:8	377:13,18 378:2
492:19 508:5	343:22 344:9	well-funded 415:4	550:1 551:6	380:9,17 381:25
518:2,24 519:3	345:9,17 346:2	went 342:2 461:2,3	569:16 574:25	382:12,25 383:5,8
526:20 529:9,11	347:14 355:1	461:3 483:20	579:2 592:11	383:18 384:6,13
532:5 543:20	402:15 403:2	495:12 558:25	595:5 615:6	385:7,20 386:5
550:22,23 552:1	405:2 447:23	563:9 595:10	616:13,22 617:2	387:13 388:11,14
573:25 606:22	604:18 607:18	615:16 628:8	630:6 644:19	389:10 390:1,11
612:1 617:18	wealth 516:10	weren't 368:25	650:8 651:9 653:7	392:14 393:2,8
618:1 628:12	weaved 513:24	381:9 404:5	655:1 661:24	394:6,15 395:11
630:10,11,24	week 611:21,21	434:12 501:19	white 399:21	395:24 396:8,22
640:6,16,21,22,23	weeks 324:2 590:12	570:14 649:7	whoa 378:24,24,24	398:20 399:2
640:24 644:2	weigh 533:4	657:21	663:11,11,11	400:12,21 401:1
645:7 652:21	weighed 532:25	West 294:2 295:9	wholly 387:18	401:21 403:5,12
654:8	534:9	296:8 486:25	width 524:19	406:13 407:17
wanted 404:5 510:9	weighing 533:24	we'll 306:11 348:2	Wilshire 296:13	408:24 409:8
543:18 645:4	weight 355:18,21	350:3 361:13	withdraw 360:17	410:2 411:6,17,25
wants 372:4	373:14,17 374:7	372:2 383:23,23	394:24 444:11	412:10,17,25
warn 388:18 454:5	374:10 382:14,16	404:17 416:2	WITKIN 295:3	413:12,23 414:5
455:3	383:9 384:18	423:9 437:19	296:2	414:11,16 415:24
warts 487:10,14	389:12 413:1,4	445:14 484:15	witness 303:5	416:11 417:16
488:23	417:10 435:18	485:2 507:17	305:2 309:19	418:16 419:15
Washington 296:8	436:6 437:1	509:5 552:10,13	310:18,24 312:19	420:12 421:9,25
wasn't 333:5 335:1	440:21,23 449:9	640:17 649:21	316:16 317:3,14	424:25 425:7,15
390:15 442:18	449:12 451:19	653:9 663:4	318:14 321:15	425:24 426:13
493:13 540:8	452:6 454:15	we're 304:2 312:3	323:5,12 326:3,10	427:3,14 428:9,25
552:18 630:21	533:2,11,12,18	313:25 315:2	327:4,10,13,20	429:8 430:7,14,23
631:3 633:2,18,20	534:1 538:1,5	326:3 337:1,20	328:5 329:15	431:5,15,23 432:8
634:23 635:1	555:14 606:11,19	366:1,6 388:7	330:19 331:7,22	432:23 434:23
640:2 644:5 647:6	606:24 607:2	404:13 409:15,18	332:9,19 334:14	435:16 436:1,24
647:11,12,15,16	619:4,9,13,23,24	433:10 438:18	335:4 336:6,12	437:18 438:16
660:22	620:1,3,6,9,25	469:3 479:14	337:8,22,24	439:25 440:16
waste 312:14	621:1 622:16	487:21 488:7	339:18 340:1	441:4 442:15
watch 571:16	625:14,19,21	494:25 495:6	342:1 343:3,17	443:11,22 445:15
water 397:13 482:4	626:17,22 627:15	522:10,10 529:15	345:25 346:9	446:1,4 448:3,25
482:7 483:13	627:25 628:17,22	548:7 613:24	347:1,9,23 348:9	449:25 450:6,14
way 310:13 325:24	630:20 631:1,20	630:7 645:21,22	348:21,23 349:14	451:2,10 452:4,17
356:10 357:4,8,22	632:4,9,11 634:2	650:9,20,20	349:23 353:11	453:8,24 454:10
371:7 390:4	634:10 635:20	663:20	355:7 356:8,15,21	455:10 458:25
420:16,16 442:5	639:22 641:21	we've 329:18	357:8 358:7,18	460:7,25 461:11

Confidential - Subject to Protective Order

Page 714

462:11 463:22	633:12,23 634:16	450:24 578:24	487:4 501:24	zero 313:20
464:2 465:3,11,21	635:7 637:8	613:12,15 614:7	508:25 509:20	zones 355:25 356:4
469:9 471:12,16	638:14 639:12	633:3 649:12	518:12 523:6,17	<hr/>
474:1 475:4,19	641:3,12,15,18,24	wound 459:9,10,16	524:2 535:8 538:4	\$
480:5,16 484:1,7	644:9,25 646:19	460:14 504:20,23	550:13 568:17	\$225 663:8
487:19 488:3,18	647:21 648:10	505:4,7,24 506:1	584:4 585:6 590:2	\$400,000 488:13
490:11,24 491:19	649:20 650:22	506:12 571:21	591:7 593:13	<hr/>
493:3,22 494:19	652:7,20 658:2	573:4,10 614:18	605:25 624:24	0
495:25 496:18	659:5,11 661:4,6	614:22 616:14	626:16 652:24	0 533:2
497:2,23 498:5,19	661:16 662:4,12	woven 540:25	656:13 660:12,12	012 539:16
499:3,20 500:19	662:14,18 663:7	wrap 662:4	662:9	041 539:16
504:10 506:5,15	663:16,22 664:5,7	write 353:15	year 298:19,21	05588123 370:4
506:23 507:8,13	664:10 665:1	358:21 370:7	299:7,8 302:7,10	09888218 624:20
508:19 509:1,14	witness's 319:13	412:3 424:13	302:11 304:4	09888222 631:12
510:1,20 511:21	woman 540:3	537:5	373:16 399:9	<hr/>
512:11,20 514:4	woman's 500:15	writes 379:16	511:4 613:14	1
516:19 518:2,12	517:21 524:15	381:14 397:3	622:4	1 304:6 306:18,23
519:7 520:4,18	women 438:10	513:13	years 341:6,6 373:1	306:24 308:9
521:10 524:2	440:12 507:4,5	writing 505:1	373:8 378:17	312:24 340:9,14
525:6,15 526:7,18	521:2,23 524:12	written 344:15	379:17 392:20	366:3 429:12
526:24 527:8,17	525:3 528:9,14	395:13 405:9	398:9 399:5	539:11 586:4
528:17 530:10,25	529:3,13,22 530:6	511:17 646:5	401:13 402:9,24	655:9 659:9
531:11 534:6,23	women's 295:12	662:3	403:8 404:4,24	1-centimeter
535:14 536:11	296:10 389:5,21	wrong 344:24	412:5 413:18	527:13 539:23
537:9,18,25 538:9	438:10	446:15 515:5	415:5 419:7	1-32 297:17
538:17 540:13,22	wondering 414:22	631:23	465:16 487:3	1.5 539:22
545:23 546:10,22	word 556:24 557:7	wrote 364:1 397:16	489:24 515:18	1:08 433:5
549:10,21 554:2	562:17	451:25 456:6,14	516:9 561:19,21	1:14 433:11
554:18 555:5,12	words 345:20	456:22 457:1	578:14 579:14,18	1:26 444:23
555:25 556:14	411:25 488:3	458:8,11 476:11	579:22 584:1	1:34 445:1
557:8 561:2	573:14	511:25 636:22	608:23 622:7	10 340:15 402:11
562:19 563:3,15	work 483:3 559:25	WULLER 296:8	623:24 624:10	403:5 524:20
565:1 566:4	560:14 580:22	<hr/>	629:7	538:12 570:5,7
570:17 579:1,16	584:8 597:15	X	Yep 311:20 377:24	654:15
580:2,12 584:6	609:24,25 630:10	X 297:2,10 298:2	408:12 627:22	10th 296:13
591:12,15,23	633:25 646:10	299:2 300:2 301:2	yesterday 304:25	10/15/92 299:6
594:6 595:17	worked 381:7	302:2	305:7,17 306:3,7	302:9
598:2 599:3	510:13	<hr/>	310:3 316:6,18,23	10/18/04 300:19
600:21 603:11	working 415:21	Y	322:25 326:7	10:16 349:3
604:9 605:3	works 648:5	yarn 575:6	327:6 328:1,14	10:20 349:7
607:24 613:12	worldwide 516:7	yeah 309:19 313:12	336:15 423:7	10:44 366:1
614:14 615:1,21	worry 404:17	325:11 327:22	553:5 585:5	10:52 366:7
619:12 620:21	worse 513:19	362:8 370:21	592:23 608:21	100 475:24
621:4 622:22	worst 485:15	381:25 390:4	609:22	100,000 516:7
624:16 625:18	wouldn't 312:19	392:3 405:17	York 486:22,23	10575419 352:24
629:6,16 630:17	325:12 380:24	462:13,25 471:12	<hr/>	10575759 377:9
631:6,24 632:16	411:14 424:15	473:22 480:5	Z	108 354:5

Confidential - Subject to Protective Order

Page 715

10993 429:12 578:12 10993-5 455:25 11 395:3 570:8 654:15 11/10/04 300:13 11/12/04 300:7 11:18 391:3 11:24 391:6 11:48 409:16 115 296:8 12 342:23 352:21 550:4 595:2,3 596:4 598:19,24 12th 511:25 12-week 325:8 12:43 409:19 13 340:11 342:20 13-week 328:25 335:17 1380 295:9 14 311:12,14,23,25 312:2,5 324:6 466:16 526:14 528:3 592:13 624:8 14-day 524:9 15 311:14,19,21,24 312:1,11,24 404:23 471:18 618:10 622:3 1560 405:14 1568 471:19 1569 471:19 16 311:17 313:10 392:20 664:18 16104 299:12 1634 309:14 16374 308:19 1650 405:15,17 17 295:4 296:4 18 378:16 379:16 379:16 431:23 558:16,17 182 315:14 320:17 19 556:4 623:7 196 479:12	1960 337:18 1960s 431:25 556:4 1964 560:5 564:6 570:5,7 578:24 581:4 582:2 591:6 591:21 603:6,7 606:17 1965 488:20 1969 308:19 377:8 378:8 391:13 1973 309:15,23 310:2 340:15 341:3,11 342:2,15 595:6,22 597:7 598:12,18 1980 337:19,19 1985 298:9 351:15 351:24 353:19 392:17 393:16 1987 651:4 652:1 653:2 1990 352:21 402:11 402:23,24 403:6 448:20 451:5 1991 490:1,5 491:4 494:14,14,21 495:14 1992 348:14 404:23 409:13 448:20 451:5 618:10 622:3 623:7 624:1 626:1,3,7,25 627:1,10,18 628:4 1995 626:9,12 641:4 1997 445:20 448:5 448:10 578:14 1998 560:2 1999 402:14 <hr/> 2 2 304:6 307:2 308:12 317:21,24 352:17 366:3,8,8 397:1 433:7,7,12 483:6 495:2,8 499:14,17,18	548:3 613:21 614:1 627:18 635:18 636:15 639:5 2/27/04 299:17 2:40 495:1 20 558:16,17 653:14 654:2,3,9 654:10 667:18 200 295:4 296:4 2000 321:2,10,10 337:19 613:14,14 2001 322:1 2002 323:25 584:8 2003 484:12 486:5 584:25 2004 508:9,15 511:4,25 2007 410:13 418:3 447:25 448:3 560:8 581:5 582:2 2008 627:23 628:4 631:16 641:7 645:1 2010 570:8 2012 398:4 2013 577:19 578:15 578:17 579:9 606:17 2014 294:11 304:4 664:18 2018 398:4 2019 627:3 202-1010 295:5 296:5 21 626:7 2105 446:22 459:23 471:15 213 296:14 2130 585:3 2133 523:13,13,19 584:22,24,24,25 587:21 589:2 591:4,19 218 625:3 22 352:6 220 626:4,6	222 631:13 639:23 642:9,10 224,000 631:20 2241 306:6 337:16 547:11 2242 611:12 2246 305:6,14 2247 595:13 598:12 2248 306:12,23 307:25 308:9 2249 307:2,25 2250 350:4 363:25 2251 378:2,3 386:19 2252 391:18 397:2 2253 403:19 2254 465:25 466:8 2255 508:8 2256 510:24 2257 513:3 2258 513:12 2259 514:8 2260 531:18 584:13 584:24,25 585:2 586:19 594:19 2261 541:8 2262 548:11,15 553:18 557:22,24 566:6 654:24 655:6 660:16 2263 617:10,11 622:25 623:3,6 630:15,16 2264 618:5,8,10 622:2 630:12 635:21,25 636:2,3 636:18 637:5 2265 649:22 23 366:21 486:5 232 631:10,11 2327 294:5 233-2686 296:9 24 482:8 24-week 324:17 25 321:10 516:9 25301 295:9 27 508:8,14 550:4	28 310:8 318:5 320:6 342:3 352:19 466:16 28-day 310:11,18 316:2,22 322:22 342:14 468:21 469:3,18,22 501:4 501:10 572:8,13 573:17 574:7,25 608:2 288 352:23 353:9 29 447:25 448:5,10 294 667:5 <hr/> 3 3 298:8 303:9 308:14 345:3 352:17,19 354:23 433:12 446:12 482:16 483:10 495:3 499:13 524:24 527:23 586:17 587:25 3-centimeter 527:13 539:22 3.5 332:16 333:18 500:3 3/2/04 301:7 3:00 495:7 3:18 507:25 3:24 508:3 30 329:22 589:4 665:12 30(b)(6) 307:3 363:12 385:15 457:19,23 507:13 548:9 551:20 601:8 30(b)6 663:7 300 295:9 304 295:10 297:5 307 297:14,19 31 340:7,9,14,18,21 3186 461:24 462:1 32 306:24 317:22 322,000 625:13 323,000 625:13
---	--	---	---	--

Confidential - Subject to Protective Order

Page 716

324,000 625:14 631:25 632:2,4 647:1 32502 295:4 296:4 33 307:5 348:13 584:8 589:2 33-44 297:22 331,000 646:24 334,000 646:21 34 307:5 568:9 35 568:20 570:4,4 570:22,22 588:25 589:7,13 350 298:6 36 323:15 3699 296:13 37 324:5 482:8 378 298:12 38 581:4,25 584:1 391 298:18	341:19 342:14 604:11 4466 585:16 46 559:17,20 564:20 565:11,21 466 299:11 49 347:11 354:22 375:4,18 387:25 453:11 519:16 579:14,18,22 606:16 607:15	59 377:13 606:16 <hr/> 6 6 331:23 336:3 338:17 400:6 418:3 483:9 577:18,25 578:1 605:11,17 614:1 663:20 6-mil 597:7 6/21/07 331:12 6:23 613:19 6:34 613:25 6:55 629:21 60s 556:6 560:15 60,000 631:20 632:3 633:5 617 302:6 618 296:9 302:9 62222 296:9 63 366:21 630 297:5 64 601:12,16 6483 399:20 649 302:15 65 471:24 668 667:6 69 446:13	540:16 8/10/90 298:18 80s 563:20 8220 648:9 8221 626:25 644:1 645:9,10 8222 627:16,17 83-477 299:11 85-219 302:12 348:14 850 295:5 296:5 877.370.3377 294:24 895 378:11	
<hr/> 4 4 308:16 398:6 400:14 474:18 495:8 573:24 574:23 608:1 4/0 622:8,11,13,17 625:23 626:14 628:6 631:17 4:14 547:2 4:25 547:5 4:26 547:23 4:42 548:2 4:53 557:12 40 325:10,19 559:19 606:24,25 659:17 4012 381:4 409:24 403 299:6 41 330:20 335:20 455:22 414-1805 295:10 42 335:12,21 423 303:9 43 341:19 342:13 584:1 44 338:14 340:4	<hr/> 5 5 308:18 331:12 332:12 446:12 548:3 565:15 577:2,4,12 584:25 596:1 613:20 5th 626:25 5-0 313:19 399:5 401:14 5/0 624:4 5:17 557:16 50 329:22 474:25 475:10,21 476:6 541:15 500-Newtons 585:17 508 299:16 510(k) 445:21 446:22 447:24 448:8,11 449:21 455:5,20 463:11 463:12,17 467:7 470:25 471:14 560:2 563:4 568:19 511 300:6 513 300:13,18 514 301:6 515 447:13 53,000 633:6 531 301:12 541 301:19 5419 353:10 548 301:23 557 297:6	<hr/> 7 7 568:8 592:12 7-centimeter 585:16 7:00 629:24 7:33 663:19,24 70 475:5,14,20,21 475:24 70s 563:19 73 595:18 738-5842 296:14 749 315:3 <hr/> 8 8 294:11 304:4 577:19 8.5 526:2 533:2 537:22 538:1,5	<hr/> 9 9 626:1,3 627:1 9th 511:24 9/11/97 462:3 9/22/87 302:17 9:07 294:22 304:5 9:10 305:11 9:45 337:2 9:56 337:7 90 426:18 561:22 90s 559:24 563:20 90-day 324:11 90010 296:14 91 395:3 566:16 568:21 570:23 579:18,23 91-day 315:6 321:23 576:19 577:1,11 592:24 595:25 597:18 610:25 910 575:6 917.951.5672 294:24 96 567:25 97 560:1 97-0197 572:15 99 354:12	